

UNITED STATES OF AMERICA
COMMODITY FUTURES TRADING COMMISSION

TECHNOLOGY ADVISORY COMMITTEE MEETING

Washington, D.C.
Monday, February 10, 2014

1 PARTICIPANTS:

2 Opening Remarks:

3 CHAIRMAN SCOTT D. O'MALIA (TAC)

4 ACTING CHAIRMAN MARK P. WETJEN

5 Panel I: Swap Data Reporting, CFTC Data
6 Priorities and Path Forward:

7 JOHN ROGERS
8 Chief Information Officer
9 Office of Data and Technology

10 SAYEE SRINIVASAN
11 Chief Economist
12 Office of the Chief Economist

13 ANANDA RADHAKRISHNAN
14 Director
15 Division of Clearing and Risk

16 VINCE MCGONAGLE
17 Director
18 Division of Market Oversight

19 DAN BUCSA
20 Associate Director, Surveillance
21 Division of Market Oversight

22 GARY BARNETT
23 Director
24 Division of Swap Dealer and Intermediary
25 Oversight

26 Panel II: Commission's Concept Release on
27 Automated Trading

28 SEBASTIAN PUJOL SCHOTT
29 Associate Director
30 Division of Market Oversight

31

1 PARTICIPANTS (CONT'D):

2 ROB CREAMER
3 President and Chief Executive Officer
4 Geneva Trading

5 CAITLIN KLINE
6 Derivatives Specialist
7 Better Markets

8 ERIC BUDISH
9 Professor
10 University of Chicago

11 STUART KASWELL
12 Executive Vice President and Managing
13 Director, General Counsel
14 Managed Funds Association

15 Panel III: Swap Execution Facilities/Made
16 Available to Trade Determinations

17 VINCE MCGONAGLE
18 Director
19 Division of Market Oversight

20 STEPHEN BERGER
21 Director, Government & Regulatory Policy
22 Citadel

MIKE HENNESSY
Assistant Vice President, Treasury &
Capital Markets
Federal Home Loan Bank of San Francisco

SUNIL HIRANI
Chief Executive Officer
trueEX

ALEX EVIS
Head of Market Structure Strategy, Global
Credit
Goldman Sachs

1 PARTICIPANTS (CONT'D):

2 Other SEF Issues:

3 SCOTT FITZPATRICK
4 Executive Director
5 Tradition

6 Closing Remarks:

7 CHAIRMAN SCOTT D. O'MALIA (TAC)

8

9 * * * * *

10

11

12

13

14

15

16

17

18

19

20

21

22

1 P R O C E E D I N G S

2 (10:05 a.m.)

3 CHAIRMAN O'MALIA: All right. Can we
4 find our seats and get started. Well, I'd like to
5 thank everybody for making their second trip back
6 to Washington for those who made it down here the
7 first time, and thank you very much for
8 rescheduling on a short notice so we could get
9 this in before the comment period ends and before
10 the TAC, the mandatory trading requirements take
11 in, go into effect this Saturday. So it was
12 important we try to squeeze this one in. And I
13 apologize for starting on a Monday, but the
14 schedule is what the schedule is. So thank you
15 very much for making all the arrangements.

16 So today we have a very interesting
17 three sets of topics. We have data, we have a
18 concept release on automated training, and we have
19 SEF issues. And we're going to focus primarily on
20 the made available for trade determination that
21 the Commission recently put out several
22 submissions. Now of course I was trying to hold

1 up a little bit so I could talk about Acting
2 Chairman Mark Wetjen's efforts to be helpful on
3 the data front. I'm going to go ahead and say it
4 anyway even though he's not here, and hopefully
5 somebody will repeat it to him so I get credit for
6 that, but he has been a tremendous force in terms
7 of kind of refocusing the Commission's attention
8 on data.

9 And I appreciate his support and his
10 willing to set up, willingness to set up this
11 cross-divisional data group and so we can begin to
12 identify and correct any problems we have in our
13 data so we can be quite effective in our
14 surveillance, risk management, and oversight
15 responsibilities. I also appreciate the strong
16 support for the comments made by Deputy Secretary
17 of Treasury, Mary Miller. In her last two public
18 comments, she's addressed this issue head on, said
19 she's going to roll up her sleeves and fix this
20 issue, so I'm grateful for her support. And she's
21 committed the resources of OFR. So that will be a
22 great leverage tool for us and provide some

1 additional assistance to attack this data
2 challenge.

3 So we have, we continue to work on our
4 Office of Data and Technology SDR effort led by
5 John Rogers working with the four SDRs that we
6 have permitted to make sure that they're quite
7 effective in transferring the data to the
8 Commission, but there's a lot of work that has to
9 be done. We have the relevant division directors
10 as well as our economist and representatives from
11 the Office of Surveillance and we'll hear from
12 them as to their challenges and what's going
13 right, what's going wrong with our data, and we'll
14 see if we can help them out with fixing their
15 problems. And certainly we'll want to learn more
16 about their priorities as well.

17 Panel two, we've got a great discussion,
18 something this committee has tackled I think from
19 the very first meeting we had three and a half
20 years ago, and that's the, what are the
21 appropriate risk management controls for automated
22 trading. And so we will attack that again, and we

1 will talk about it. We, as everyone knows we have
2 completed the comment period, well, we just
3 reopened it again until the end of this week, but
4 for the concept release on the advanced
5 testing and supervision of automated trading, we
6 received dozens of very good comments, and we will
7 have a handful of those people that commented talk
8 about the proposal here today.

9 And I am mindful of one of the questions
10 that Larry Tabb actually posed to me. And one
11 question that we'll be asking is, what is the
12 problem that we're trying to fix with this. I
13 think that's a good question and probably will be
14 quite helpful in forming our discussion and our
15 next draft proposal if there is to be one.

16 Panel three, the SEF issue, made
17 available for trade has been a hot topic in a lot
18 of publications, discussion around the Commission
19 as well. DMO has certified several made available
20 for trade submissions. The go-live date is next
21 week or this weekend I guess is the actual date,
22 but this is an important topic that people are

1 trying to understand, and we have linked these
2 benchmark products with -- these package
3 transactions linked products, and there were a
4 number of comments in the commenters that
5 submitted comments that said, we have some
6 concerns about operational, technical, and
7 jurisdictional challenges with these made
8 available for trade submissions and want to
9 understand that.

10 Now I was a little frustrated when the
11 first submission came out that it included package
12 transactions because it never addressed this issue
13 in the comment memo to the Commission. And in
14 fact, in that document, the staff recommendations
15 to the commission said, regarding package
16 transactions, such requests are not appropriate
17 for consideration within the scope of the
18 Commission's process for reviewing a MAT
19 determination. Well, if it's not then, when?

20 So that is one of the reasons why we had
21 some urgency in scheduling this meeting.
22 Thankfully the staff has also expressed an

1 interest in reconsidering the package transaction
2 and figuring out some of the technical and
3 operational challenges with implementing package
4 trades and we're going to have a package trade
5 roundtable on Wednesday. So that, I'm looking
6 forward to that. We'll obviously be able to
7 discuss it extensively here and certainly on
8 Wednesday. So maybe by the end of the week we'll
9 have a pretty clear picture of what these products
10 are and how we should address them going forward
11 in a temporary relief process.

12 So those are the three panels we have
13 today. Again, let me thank everyone for making
14 adjustments to their schedule to be here following
15 our snow day. Let me turn it over to the chairman
16 for any comments he may have.

17 ACTING CHAIRMAN WETJEN: Thank you,
18 Scott. Thanks for assembling the TAC group again
19 today.

20 As usual a very good and interesting
21 agenda for the day and number of topics that the
22 agency could stand to benefit from this committee

1 in talking through. A couple of points I wanted
2 to make with regard to data, we've had the SDR
3 reporting obligation now in place for a little
4 more than a year, and we've been getting some
5 useful information through the reporting
6 obligations; but there has been a sufficient
7 passage of time now where it's become clear that
8 we could probably do even better.

9 And so Scott's been, Commissioner
10 O'Malia has been very, very vocal about this, and
11 I appreciate his commitment to making sure that we
12 solve all of the regulatory challenges and
13 problems we have that stem from our rules or
14 otherwise, including this one. But it has become
15 clear that we need to make sure that the quality
16 of the data we are getting is as good as it should
17 be and as good as what was envisioned by our
18 rulemakings and frankly by what Congress, I think,
19 envisioned for this agency. So it's entirely
20 appropriate, given the passage of time now since
21 the beginning of the reporting obligation, that we
22 take another look at this and see how we can

1 improve upon what's already been provided to us
2 through the reporting, the regulatory reporting
3 from the marketplace.

4 So we look forward to the work of this
5 group we've assembled here internally. We look
6 forward to the comment that we expect to get from
7 market participants including the folks in the
8 room. And we'll continue that process all in
9 effort again to make sure we maximize the utility
10 of the information we get. One of the things that
11 was most important to me is that we, we're not
12 going to be able to enforce these new reforms as
13 well as we like unless we have high quality data,
14 it gives us the very best picture of what's
15 happening in the marketplace. So we're looking
16 forward to that process being complete.

17 Secondly on the made available to trade
18 submissions, and equally importantly if not more
19 importantly the trading mandate on February 15th,
20 this is obviously a critical moment for the
21 marketplace, but also a critical moment for the
22 agency; it's one of the last remaining deadlines,

1 truly hallmark deadlines under Title VII. And as
2 Commissioner O'Malia alluded to, we've been
3 spending a lot of time in recent weeks, but even
4 longer, sorting through some of the issues that
5 have been brought to us and trying to figure out
6 how we can do two things.

7 First is to maximize the level of
8 trading activity on the SEF platforms or on a DCM,
9 that's priority number one and that's what the
10 rulemakings in Congress directed us to do; and
11 then two, make the transition as orderly as we
12 can. And there have been a number of key issues
13 raised including with respect to package
14 transactions that clearly present some challenges
15 that we need to think through carefully. And all
16 in effort, again, to make sure that as much
17 transactions, as many of transactions as possible
18 can take place on regulated platforms; but also to
19 make sure that the February 15th day comes as
20 relatively smoothly as possible.

21 So looking forward to the discussion
22 later on today and at this meeting on that

1 subject. And also looking forward to the
2 roundtable as well on Wednesday. And I think
3 we've learned a lot already, but I expect we'll
4 learn some more by the end of the day Wednesday.
5 So with that I'll turn back over to Commissioner
6 O'Malia. Thank you very much again for everyone
7 being here.

8 CHAIRMAN O'MALIA: All right. We're
9 going to start with our first panel, which is made
10 up of our division directors, our economist, the
11 Office of Surveillance, and the Office of Data and
12 Technology. We're just going to let them present
13 on what they, what's going right and what's going
14 wrong with the data. And then we'll have a Q and
15 A after that and walk through that in our first
16 panel.

17 So I -- let me, John Rogers, our head of
18 -- Chief Information Officer in the Office of Data
19 and Technology. He'll be followed Sayee
20 Srinivasan, our Chief Economist. Then Ananda
21 Radhakrishnan, the Director of Division of
22 Clearing and Risk. Followed by Vince McGonagle,

1 Division of Market Oversight. Dan Bucsa will
2 represent the Office of Surveillance. And Gary
3 Barnett will clean up with the Office of Swap
4 Dealer and Intermediary Oversight.

5 So I will turn it over to you for, what,
6 six presentations. So thank you very much.

7 MR. ROGERS: Thank you, Commissioner
8 O'Malia. Good morning, everyone. Thank you. Can
9 you hear me? A little closer, okay, all right
10 good morning, everyone. Oh, that's better.
11 Before I start, I will say that all statements and
12 opinions are my own and do not necessarily
13 represent the view of any Commissioner or the
14 Commission. I'd like to start by talking about --
15 first technology challenge, using the remote here.

16 So I'd like to start -- I'm going to
17 talk a little bit about three different subjects,
18 specifically the harmonization effort that we
19 have going on with the SDRs here at the
20 Commission, a little bit about data that we

1 collect under Part 20, and then lastly an update
2 on what we are doing with the global trade
3 repository feasibility study working group. The
4 data that we deal with in harmonization is data
5 housed at the SDRs. And the, it's something I've
6 been here and spoken on a couple of times.

7 And here the key elements that we're
8 looking at as it relates to harmonization, just as
9 a fresher, we are focusing on standardization
10 because obviously the ability to standardize on
11 the data will approve the ability to aggregate and
12 analyze the data, it will improve the data
13 quality. And an example of that would be the LEI,
14 which we've been working on for some time.
15 Another example would be UPI. Another key
16 priority for harmonization is consistency. And
17 that's consistency within the SDR, itself, and
18 then that's consistency across the SDR. So
19 typically as we talk about the fields that we're
20 looking at in our harmonization initiative, we're
21 getting together and talking about what these
22 fields mean and making sure that we all have a

1 common understanding of that.

2 Another aspect of what we're looking at
3 is in terms of capability. Not only do the SDRs
4 house data, but they also have portals that allow
5 us to utilize that data. And so as we look at the
6 portals, we look at each one of them and see
7 things that we like in each and make
8 recommendations as to how those portals can be
9 improved. So we're trying to achieve the highest
10 common denominator of standardization.

11 The other considerations that we have,
12 I've already mentioned the impact of global
13 standards LEI and UPI, we're certainly looking at
14 it from the perspective of how we might use the
15 data within CFTC, so that's potential data uses.
16 And we're very much aware of the impact on the
17 people or the entities that are submitting data to
18 us and focusing on the relationship of the SDRs
19 providing data to CFTC and a bit less on the
20 front-end process, but it actually does bleed into
21 the front end of how data is submitted to the
22 SDRs. And I'll touch on that just a little bit.

1 The other characteristic I would like to
2 talk about as far as the SDR data is concerned, a
3 lot of times people think about it as Part 45
4 data, but really it's much more than that, it's
5 really Part 43 data, 45, 46, and 49, as well as
6 optional data that will be flowing into the SDR.
7 We're looking at all of that data. From the data
8 that is flowing into the CFTC, we get what is
9 called, what we call Part 20 data, futures
10 equivalent swaps positions for commodity data. We
11 are receiving that on a nightly basis here at the
12 Commission, performing validations on that data
13 here, and providing feedback to entities as to the
14 quality of that data.

15 Now it's a little bit different than the
16 activities that are occurring on the SDR data
17 since the SDR is the entity that is holding the
18 data, and so that validation is happening on that
19 end. Excuse me.

20 What we've done as far as a team is
21 concerned, is we have an interdivisional team made
22 up of the representatives of the people to my

1 right and left and their representatives and we
2 are meeting with all of the SDRs. We have
3 meetings that happen with all the SDRs in the room
4 with us to talk about harmonization, to talk about
5 how we can develop consistency in the data across
6 SDRs, but we're also talking to each SDR
7 individually so that we can focus very
8 specifically on the things we can see that relate
9 to an SDR.

10 So a little bit more detail when we're
11 meeting with SDRs individually and then raised up
12 at a higher level to ensure consistency when we
13 talk to the SDRs as a whole. We're focused on the
14 content of the data, not necessarily the delivery
15 method, because each SDR has a different method of
16 receiving the data into the SDR and we're really
17 not talking about data coming back to CFTC at this
18 point but rather the data that's in the SDR, so
19 it's really not, the subject is not XML versions,
20 FpML versus FIXML and that sort of thing, but
21 rather more on what do we see in the data and how
22 meaningful that is to us and how do we standardize

1 across that.

2 Our work has been focused on credit so
3 far, although there has been a little bit of a
4 move into other asset classes actually taken up by
5 the SDRs, themselves; but as we move past credit,
6 we'll be moving into the interest rate asset class
7 second, and then on from that point. The SDRs are
8 responsible for providing action plans for each
9 phase and by asset class to basically record when
10 they will deliver the capability that we've agreed
11 upon through our harmonization activities.

12 The outcomes that we are looking to get
13 is to basically get each of the SDRs to provide
14 data that allows us to compare across SDRs even if
15 it means creating additional data elements within
16 the SDRs so that we have that harmonized data
17 element, because we're, as I said before, focused
18 on data view at the CFTC and not elsewhere. So
19 some examples of checks that we would be doing in
20 harmonization would be an LEI analysis where we're
21 looking at just how many well-formed LEIs there
22 are in existence in the swap standard repository.

1 Another would be a USI analysis, and how is the
2 USI both traceable among transactions, but then
3 how well is it persisting within the records that
4 are submitted to the SDR. So we would present the
5 results of that to the SDRs as we go through the
6 harmonization effort.

7 As it relates to Part 20, the
8 validations are things that we've internally built,
9 coded into our systems. We're constantly adding
10 new validations into that data. We are rejecting
11 data that is incomplete so that it can be
12 resubmitted to us by the reporting party. And
13 this is actually the first instance that we've had
14 here at the Commission where in an automated
15 fashion we're examining data and pushing it back
16 if there are problems with that. And we're
17 maturing that process as we go along.

18 An example of some validations we would
19 do under Part 20 are to make sure that what is
20 reported is only those commodities that are
21 covered under Part 20. Another validation would
22 be that the sum of the counterparty records

1 notional values is not greater than the principals
2 record of notional value that either should be,
3 you know, roughly equivalent. So if it turns out
4 that someone is reporting a counterparty, a total
5 counterparty value that's greater, that's a
6 problem.

7 In the future one of the things we're
8 going to be implementing is if it turns out that
9 the notional value that's reported doesn't match
10 up with the strike price parameters for the
11 future's equivalent commodity, then that would be
12 something that we would object.

13 MR. MCGONAGLE: May I interject here
14 just for a minute?

15 MR. ROGERS: Sure.

16 MR. MCGONAGLE: I just tapped John on
17 the elbow to interject just for a minute. With
18 respect to the Part 20 obligations and John's
19 going into some detail about validation, but I
20 think it's important that, because you're going to
21 hear this from me a little bit later in the
22 context of the working group, but to sort of step

1 back and see how we've been thinking about this
2 from a strategy perspective. And behind me, a few
3 rows behind me, Greg Kuserk within the Division of
4 Market Oversight, has taken the lead for our team
5 to think about what the implementation and
6 strategy around Part 20, we'll say clean up, is.

7 So, you know, how are we thinking on
8 today the current state, and then how do we get to
9 the future state. So where John is talking about
10 validation controls and ensuring that, we have
11 those entities who have reporting obligations,
12 that they're complying with their reporting
13 obligations. And to the extent that they are
14 correcting errors that they've made, that we have
15 a format in place where that error correction is
16 either identified to us proactively or we have the
17 systems in place to sort of clean that data up as
18 we receive it. And the goal then to, is then to
19 start to streamline where do we see repetitiveness
20 with respect to data submission issues, how do we
21 communicate that out into the community so that
22 they can be corrected, and then also, frankly, how

1 do we identify those entities that have a
2 reporting obligation that are effectively not
3 reporting as required under the rules, and are
4 there, you know, do we have gaps because we've
5 given out maybe certain no action relief.

6 Do we sort of have an understanding
7 about our universe of Part 20 reporting
8 obligations? And so while we're going through
9 this data verification analysis, we are thinking,
10 you know, next steps for implementation, how this
11 data is supposed to be utilized, how can we best
12 streamline a receipt of data that we feel has
13 integrity, and then, you know, as part of the
14 process, what do we do next. Is there guidance?
15 Previously there were, a guidebook that was made
16 available for Part 20. And how do we evaluate, on
17 a going forward basis, for those who aren't living
18 through the process because we haven't contacted
19 each and every trader.

20 OPERATOR: First and last name followed
21 by the spelling.

22 SPEAKER: Sure, Jessica.

1 OPERATOR: And then the last name?

2 MR. MCGONAGLE: I'm just sort of
3 interested to see where this goes. And so leaving
4 off, and then I'll turn it back to John to finish
5 the communication with whoever is on the phone,
6 the idea then that, you know, for guidance on a
7 going forward basis so that we have our resources
8 available not only internally but externally, so
9 we're ensuring that those that have the obligation
10 are complying with their obligation.

11 MR. ROGERS: Thanks, Vince. So yes, a
12 lot of what we do is, you know, certainly from the
13 Office of Data and Technology perspective is in
14 partnership with the divisions, DMO is a major
15 partner as it relates to Part 20. And Vince, that
16 -- so I'll be a little bit shorter because Vince
17 covered a couple of things that I was going to
18 say, but thanks.

19 So in talking about the progress that
20 we've made, is overall I would say that the
21 harmonization effort between CFTC and the SDRs has
22 been very valuable because we've been able to

1 focus on the roughly 30 fields that are part of
2 the first phase of the harmonization effort. It
3 has certainly made the data more usable I would
4 say. We are focusing on multiple phases of
5 delivery. We have been focused on phase one, and
6 the SDRs have provided action plans, and I'm
7 holding them up just for a visual aid, that
8 basically lists all of the fields out and the
9 dates that they will be delivering that
10 information. And so --

11 CHAIRMAN O'MALIA: John, is that public
12 information?

13 MR. ROGERS: That is not.

14 CHAIRMAN O'MALIA: Yeah.

15 MR. ROGERS: So sorry. That's why I can
16 hold it out at a distance and make sure any, no
17 cameras were focused on it. But no, it's not.
18 And but we are also simultaneously working on
19 phases two, three, and four with each one having
20 about 30 fields all in the credit asset class, and
21 then we'll be shifting to the other asset classes.
22 And the reason that it's 30 is to have chunkable

1 things that we can focus on and deliver towards.
2 And there's a long period of internal review be
3 multiple offices within the Commission before they
4 get issued. So phase two, the phase two list
5 should be issued in the relatively near future
6 followed by three and four.

7 I wanted to give you some examples of
8 some of the improvements that have been made under
9 the harmonization effort and specifically these
10 are from the SDRs in question. So, for example,
11 and this actually bleeds into a little bit of what
12 I was talking about how the SDRs are not only
13 focusing on the data being given to us, but also
14 outreach to industry, even though we're focused on
15 the relationship between us, for example, DDR has
16 initiated a data quality review with industry to
17 improve the overall submission quality and
18 completeness across credit and FX asset classes.
19 So even though we're focusing on credit, they are
20 actually going a step further and focusing on
21 that.

22 And they've been reviewing the fields as

1 part of the phase one list in that process and
2 have been conducting their own individual outreach
3 to firms to review submissions so that the data
4 can be harmonized upfront and hopefully flow into
5 the SDR in a more harmonized fashion. They've
6 also begun the process of reviewing the rates
7 asset class. And then from the portal
8 perspective, they've implemented a series of
9 enhancements to the portal search capability on
10 behalf of the CFTC's use of the data.

11 From an ICE Trade Vault perspective,
12 they have actually implemented all of the fields
13 that are in the phase one list. So there have
14 been changes made to comply with the requests that
15 we've made to improve the quality of that data.
16 And they've been working with the ICE Trade Vault
17 credit submitters to promote the use of LEIs and
18 have gotten that up to a 90 percent rate of having
19 valid LEIs. From a CME perspective, they've made
20 improvements to their portal for reporting and
21 access to data and increased system controls to
22 access the data. So those are some examples of

1 improvements that have been made.

2 From a part 20 perspective, just to give
3 you a little bit of a context on it, we've
4 received since July of 2012, about 500 million
5 transactions. And we have built 62 validations to
6 apply to those transactions. And as Vince has
7 pointed out, you know, we are continuing to
8 examine that data and will be looking to issue
9 additional guidance in the future to enhance that
10 integrity.

11 From a challenges perspective, I think
12 that because we have lots of data and it is very
13 complex, data consistency is still a challenge
14 because we have focused on 30 fields in one asset
15 class and we'll be focusing on a set of others,
16 but there are other asset classes to deal with and
17 we're talking about hundreds of fields here and
18 lots of complexity in the data because there are
19 lots of data relationships that we have to deal
20 with. So the SDRs from their perspective are
21 increasing the number of validations that they're
22 putting on their repositories; but there are other

1 issues around, you know, potentially identifying
2 particular trade parties in the transactions that
3 they have.

4 These are coming from, this is feedback
5 coming from the SDRs, themselves. And one of the
6 things that we've heard as commentary is no-action
7 relief has created some challenges, because from
8 the perspective, no-action relief is
9 fine on one end, but it really leads to additional
10 validations and things that need to be put in
11 place in a pretty quick timeframe in order to get
12 the data into the SDRs properly.

13 And then lastly, one of the comments
14 that was made that the fact that there isn't a
15 global UPI system, a product identification
16 system, that has created challenges as well. From
17 a CFTC perspective, some of the challenges that we
18 see are the just understanding all of the
19 different permutations of process flow of data as
20 it flows from the submitters into SEFs,
21 into DCOs, and into SDRs ultimately. So having,
22 you know, continuing to develop that map, if you

1 will, a process map is something that's a
2 challenge. And then the data consistency, we
3 would echo that. From a part 20 perspective, I
4 believe Vince pretty much covered that.

5 So the last thing I wanted to mention
6 was the global trade repository working group.
7 It's an international working group. It's
8 sponsored by the FSB, CPSS and IOSCO, they're the
9 co-secretaries on this initiative. CFTC
10 representing IOSCO and the European Central Bank
11 representing CPSS. Our co-chairs, myself, along
12 with Benoit Coeure from the European Central Bank
13 are co-chairs. My, from CFTC our vice-chair is
14 Srini Bangarbale who is our chief data officer
15 here at CFTC.

16 There are about 25 countries that are
17 represented on this initiative. And the focus is
18 on if we think about harmonization from a CFTC
19 perspective, the more repositories that are
20 collecting data and trying to get consistency
21 along those lines, this is, this concept is a
22 global aggregation capability where repositories

1 from around the world are potentially providing
2 access so that from a global perspective, you
3 know, financial risk can be assessed and stability
4 can be assured.

5 So it's on, and it has definitely very
6 interesting parallels to what we're doing here.
7 Some of the things that are being focused on as
8 part of this initiative is to think about the
9 potential constructs for a global repository. One
10 would be a central database, a big uber database
11 that would hold the data, a logically separated
12 data base; or just having regulators deal with
13 other regulators when they need data.

14 So there are other issues from a
15 business, legal, and technological perspective
16 that are being focused on as part of this
17 initiative. The website is up on the slides if
18 you want to look at the report that's come out, it
19 actually came out in February and comments are due
20 at the end of February, and the final report is
21 due at the end of May. So with that I'm done.
22 I'd be happy to answer questions at the

1 appropriate time, but right now I will turn it
2 over to Sayee.

3 MR. SRINIVASAN: Thank you. So we're
4 going to be, the task is to sort of to talk about
5 what's working and what's not working. And so I
6 thought sort of John was talking about things at a
7 certain level of abstraction, and I'm going to
8 get into something much more granular and which is
9 the CFTC swaps report, the weekly swaps report.
10 So I sort of have a question here to the audience
11 and the panelists, how many of you -- sort of if
12 you can raise your hand -- how many of you have
13 actually seen any of our weekly swaps reports?
14 Okay.

15 So I think this is a good opportunity to
16 sort of educate people about what the weekly swaps
17 report is all about, and we can also talk about
18 the challenges we face in putting the report
19 together and how market participants can actually
20 use the report. So you access it from the
21 main page here. You go to market reports and
22 there is --

1 ACTING CHAIRMAN WETJEN: Yeah, please
2 move away from the page very quickly. [Laughter.]

3 MR. SRINIVASAN: So this report comes
4 out every week, it's a weekly report, and the
5 report, it come out around, our team releases
6 around 3:00 p.m. Eastern on Wednesday, so by
7 3:30, 4:00, it's up for the whole world to sort of
8 see it. That is, that's an option for you to sort
9 of make a subscription, subscribe to the reports,
10 so when the report is released for public
11 consumption you can actually go see it. There's a
12 section at the top every week which talks about
13 special announcement, and it's a space for the
14 staff to highlight any sort of issues that we see
15 in the data. And so I'm just going to walk
16 through the latest report. The, it has, the swaps
17 report talks about open positions and also gives
18 the information about transaction data. And so
19 there is sort of a lag. That is a 12-day lag
20 in the data that's presented in the report.

21 And so I'll just sort of go through some
22 of the tables and, you know, sort of encourage

1 people to sort of go in and take a look at it at
2 their own leisure. So if you saw what this table
3 gives you is a gross notional outstanding, and we
4 are talking about positions, this is some of the
5 open interest equivalent from the futures world
6 and single count. And as you can see, at any
7 given time we give you data for the -- so this
8 came out last Wednesday -- it gives you open
9 positions as of January 24th and also for the
10 prior four weeks.

11 And so this is the headline number.
12 So you can see that the total interest rate, open
13 positions in interest rate swaps is about 330
14 trillion dollars. Cleared is 194, and uncleared
15 is 136. And it's sort of interesting to see on a
16 week-to-week basis the numbers jump around. We
17 have questions about why this happens and at times
18 we have explanations for why it jumps around and
19 there are times we don't have explanations for why
20 things in the numbers jump around. We don't
21 massage the data. Whatever data we get from
22 the repositories, we just sort of aggregate them

1 and push them out.

2 We also break up the interest rate
3 swaps, a category of interest rate swaps called
4 the cross-currency swaps, we have a line item for
5 that. Those are not subject to mandatory
6 clearing so none of it is cleared. The other number
7 which we aggregate is the total credit default
8 swap data. And we also give information for FX,
9 equity, and other commodities; but a warning,
10 those are estimates. It's where we are today,
11 it's sort of a, we're not in a position where we
12 can actually work with the data.

13 It has, the staff has spent over a year
14 working with the various SDRs to clean up the data
15 for interest rate swaps and for credit default
16 swaps for us to even calculate the notional
17 amounts. When you go beyond IRS and CDS, say in
18 FX and equity and commodity, there's a lot of
19 commodities -- there's a lot of options
20 transactions which happen and options positions.
21 And the data is not cleaned, so if somebody does,
22 say a longer dated crude oil options contract,

1 it's a swap, but they just say that I have a
2 position for 50,000 barrels of crude and here is
3 the strike price and here's the expiration.

4 It's difficult for us to figure out
5 what's the notional amount of the trade. So we
6 are sort of working with the SDRs to sort of
7 figure out how to compute the notional amounts for
8 it, but we are not there yet. But the plan is,
9 you know, we have a decent handle on the data for
10 rates and CDS and progressively we have started
11 working on the commodity, equity, and FX. And as
12 time goes by, we'll be able to actually report the
13 actual notional amounts of open positions for the
14 other asset classes.

15 CHAIRMAN O'MALIA: Sayee, do you have
16 timetable on whether you're going to be able to be
17 more precise on the next three?

18 MR. SRINIVASAN: I wish I had, but
19 really it's very, very difficult. As I said,
20 there are very few standards here. We have weekly
21 meetings from my, our office and also from staff
22 from DMO. We are looking at the commodity options

1 -- the commodity swaps. And the data is all over
2 the place. And even we'll be looking at certain
3 slices of the equity swaps. For instance, we
4 looked at variance swaps, and there is no
5 consistency in the industry in terms of how they
6 report the values.

7 So not making any commitments, but as I
8 said, we spent over a year working on IRS and CDS.
9 And in those two markets from what we understand,
10 there was already a lot of standardization within
11 the industry, so we could leverage off it, but as
12 for the other assets classes, from what we
13 understand, there isn't enough standardization
14 within the industry. So we can just sort of work
15 off what work has been done by the market
16 participants.

17 So that's essentially, we have a
18 dependency on the market participants and then
19 coming up with some standardization. But right
20 now our main point of contact on these things is
21 the SDRs. So we talk to the SDRs, and the SDRs in
22 turn work with the market participants.

1 CHAIRMAN O'MALIA: Can you briefly
2 summarize the process in which you receive this
3 data?

4 MR. SRINIVASAN: Sure.

5 CHAIRMAN O'MALIA: Do you go into the
6 SDR and just pull it, or do they prepare it?
7 What is the process that leads up to this?
8 Briefly.

9 MR. SRINIVASAN: So initially we spent
10 some time, initially we downloaded all the raw data
11 from the SDRs. And then we worked with the SDRs
12 and they actually collate the data for us at some
13 level of aggregation and we download it from them.
14 We go to the websites, and we download the data.
15 So there are three different SDRs now, and we sort
16 of download from each one of them. And then we
17 have standard code which we are using to process
18 the data. And there are a number of checks and
19 then we do some data validation, and if we have
20 issues with it, we sort of, if we need to go back
21 to the SDR, typically it would be something where
22 somebody does, say a 10 million Korean -- 10

1 million U.S. Dollar Korean Won swap, but the
2 market participant would have reported it as a
3 large, the Korean Won denomination would have been
4 in the U.S. Dollar field.

5 So we find issues like that. There are
6 times when the SDRs will find issues which they
7 will sort of flag to us, and so we sort of work
8 both ways. So it's, the SDRs do some -- they
9 collate the data, they generate reports for us, we
10 download it, and then it's a process of collating
11 the data, cleaning it up, and putting it out.
12 Where we are, it takes us, there have been some
13 reports in the press that it takes two staffers a
14 full week plus to sort of do this report. We have
15 been working with ODT to automate the process as
16 much as we can. As I said, we have full -- two
17 staffers working full time on it. And the plan
18 clearly is to sort of automate it as much as
19 possible.

20 So this is sort of, you get the gross
21 notional. And then I'll sort of talk about the
22 transaction volume, which is sort of also very

1 interesting. For transaction volume, we give both
2 the number of trades, the trade count as well as
3 the notional amount that's traded on a weekly
4 basis. So this is the number of trades that
5 happened, and this is, the break up is similar to
6 what you saw earlier for notional. So you see
7 that there were about 21,000 transactions that
8 happened for interest rate swaps and about 14,000
9 plus of them were cleared.

10 And similarly we do the calculations for
11 total currency IRS and for total credit. We also
12 give information by participant type. And
13 participant type, essentially we break it up
14 between SDs, MSPs, and others. And as you can
15 see, say for interest rate swaps on January 24th,
16 most of the volume was by SDs, MSPs, and others.

17 Nope, I don't want to give any feedback
18 now. The swaps of assets class is also available.
19 So once again, we give only for interest rates and
20 credit default swaps. I'll sort of take a moment
21 here, the, there's a challenge we face when we
22 work with the, through the data in terms of the

1 taxonomy that's in place for identifying
2 different swaps. From what I understand, there is
3 this ISDA taxonomy that market participants are
4 using when they report the trades and which the
5 SDRs also follow, but it's not granular, there is
6 sort of the truncation of the description at some
7 point in time, at some level, I guess.

8 So what we have seen and what we're
9 comfortable with is, you know, aggregating data in
10 terms of basis swaps, cash flows, debt options,
11 exotics, fixed-fixed, fixed-float, FRAS, inflation
12 swaps, OIS, and swaptions. And one of the
13 things that we had to be sort of careful when we
14 put this together is that we have to comply with
15 the Section 8 requirements in the Commodity
16 Exchange Act which basically says that, I'm sort
17 of paraphrasing it here, that we can't be sort of
18 revealing the trading strategies of any market
19 participant.

20 So we have tried to sort of get
21 more granular at points in time, but if we think
22 that we're running the risk of, if there is just

1 one trade that has happened on a consistent basis
2 by a particular asset class, we run the risk of
3 letting the market know that here's this person
4 who is sort of identifying an individual
5 potentially or individual firm who is doing the
6 trades. So we put it under the other category.

7 The, we also show by product and
8 currencies. So once again, you can see most of
9 the activity tends to be in U.S. Dollar and in
10 Euros. And this information is sort of useful for
11 multiple purposes. There was a call a couple of
12 weeks ago on OIS and how the OIS could be sort of
13 a good benchmark going forward for the LIBOR for
14 instance. And so the question came up as, do you
15 see any transactions in the OIS. So I just had to
16 sort of go on to our website and say, this is the
17 transaction that we see, the transaction column
18 that we see in the data. So now if you see that on
19 the USD that you just have 73 trades a week, is
20 that good enough? And is the market liquid enough
21 as a benchmark? We also show volume by tenor
22 and by product. And once again, as you can see,

1 I'm just focusing on OIS here, most of the
2 activity is in the shorter tenor.

3 I'll show the data for credit default swaps.
4 Once again you have for index Europe, North
5 America, Asia, and others. There is a lot more
6 that we can show in terms of the index and sort of
7 get more granular into the data, but we are at a
8 point wherein the data is not clean enough for us
9 to sort of get more granular. But once again,
10 we're working with the SDRs and as time goes by,
11 we'll be sort of able to show more details. We
12 also show data by grade, by market participants.
13 And we haven't been able to show data by tenor
14 here because I think most of the activity is in
15 the five and 10-year.

16 And I'll wrap this up quickly by showing
17 the couple of tables we have for the notional
18 volume that is traded. And this is the
19 transaction dollar volume aggregated by the
20 different asset classes. And once again, you can
21 see most of the rates volume is getting cleared,
22 and also in terms of credit default swaps, most of

1 it is being cleared. So if anybody at any point
2 wants to sort of figure out how much of it is
3 getting cleared, you just go to our website and do
4 it. I don't think -- this is data which only we
5 have access to. And I don't think market
6 participants have access to it, so the data at
7 this level of granularity, so I think we sort of
8 encourage folks to sort of use it and have a more
9 informed discussion about what's actually
10 happening in the marketplace.

11 And swaps by asset class, once again, I showed
12 you the trade count, and you can also see data by
13 product and cleared status in terms of notional
14 volumes.

15 CHAIRMAN O'MALIA: Sayee.

16 MR. SRINIVASAN: Yeah.

17 CHAIRMAN O'MALIA: Can you breakout
18 package trades out of this data?

19 MR. SRINIVASAN: Good question. The,
20 there's a challenge involved in package trades.
21 And with, even the -- when the package trade -- we
22 understand that there's a lot of transactions

1 which happen which are package trades essentially
2 when you sort of -- let me give you an example, if
3 somebody does a butterfly, a butterfly in terms of
4 a crude oil option trade or a butterfly trade, it
5 has four legs to it; and when you negotiate the
6 trade, it's negotiated at a particular price, and
7 then when it gets reported to the SDRs, from what
8 we understand, the dealers break it up into
9 the individual legs and report the legs to the
10 SDRs.

11 And there is, there's no field in the data
12 that's sent to the SDR highlighting the fact that
13 these component legs are a part of a single
14 strategy. So once again, we are sort of working
15 with market participants and SDRs to sort of come
16 up with solutions to identify this issue. We have
17 the same issue in the DCM world also. There is a
18 large fraction of trades in, say, the Euro Dollar
19 futures and also the options in the marketplace,
20 which are strategies where people are executing,
21 negotiating these things, trades as a package; but
22 when it gets sent for clearing, they break it up

1 into the individual legs.

2 In the DCM world, there is at least a
3 flag in the data we get which says that this
4 trade was part of a spread trade. And they might
5 even identify what type of spread it was,
6 but it is impossible for us to say that these
7 three line items were part of a single
8 strategy. So we have that issue in the DCM world,
9 and we are not even close to that level of
10 granularity in the SDR world.

11 CHAIRMAN O'MALIA: Thank you. Do you
12 have anything else?

13 MR. SRINIVASAN: No, this is it for me.

14 CHAIRMAN O'MALIA: Thank you very much.

15 ACTING CHAIRMAN WETJEN: I just want to
16 chime in real quickly. Sayee, thanks for that
17 presentation. I think it illustrates something I
18 alluded to at the outset which is that there's
19 already quite a bit of good that's come from the
20 reporting requirements under our rules.

21 And I think what Sayee just went through
22 illustrates that pretty well, I think what we're

1 here to discuss, and through some of these other
2 processes we've begun at the agency, we're trying
3 to figure out how we can improve upon what we're
4 already able to produce and information we're
5 already receiving. So I think Sayee's
6 presentation did a nice job of illustrating that.

7 Thanks.

8 MR. RADHAKRISHNAN: Good morning. My
9 name is Ananda Radhakrishnan. I'm director of the
10 Division of Clearing and Risk. And I very much
11 appreciate Scott inviting us to give these
12 presentations, because we are, this may be the
13 first time we are publically highlighting some of
14 the issues the divisions face in getting the data,
15 aggregating it, and trying to figure out whether
16 people are actually doing what they're supposed to
17 be doing. If you step back and think about it,
18 the reason why you have reporting is because
19 statute requires it, our rules require it, it
20 provides information to the Commission so that we
21 can do, discharge our responsibilities, and in
22 some instances it provides information to members

1 of the public.

2 So let me talk to you about the specific
3 requirements that DCR or the priorities that DCR
4 has and will have, what we're trying to find out,
5 and what problems we are encountering. So first,
6 we need to ensure compliance with the Commission's
7 clearing requirement. As you know the Commission
8 issued a clearing requirement at the end of 2012
9 with respect to interest rate swaps and credit
10 default swaps. And so our challenge is to find
11 out whether people who are required to clear are,
12 in fact, clearing.

13 Now there is a fundamental challenge
14 that the statute poses us and it is as follows,
15 there are people who are required to clear,
16 entities are required to clear who do not register
17 with us. So how do you find out? All right. So
18 that's a big issue, so I'm not talking about that
19 issue, but that's an issue that needs to be
20 highlighted. So in other words, let's say you
21 take a financial entity who is not required to
22 register with us, they have to clear. We have no

1 way of knowing whether they are, in fact, clearing
2 or not because we don't have a process for self
3 identification, and that may be something that we
4 take care of in the future.

5 The second thing we need to do is ensure
6 compliance with the exceptions and exemptions to
7 the clearing requirement. The third thing we need
8 to do is to review the swap market on aggregate
9 product-by-product basis to, with respect to other
10 potential clearing requirements that the staff may
11 recommend to the Commission for the Commission's
12 consideration.

13 With respect to what we want to do in
14 the future, we want to ensure compliance with
15 other clearing-related rules, for example,
16 straight-through processing. We want to review
17 compliance with the uncleared margin requirements.
18 There was a proposal out there which we have not
19 finalized, but there was an international effort
20 to come up with a broad principles on how we
21 should deal with uncleared margin requirements.
22 And I suspect that before too long the Commission

1 will propose and finalize the requirements for
2 that. And then we need to do risk-based analysis
3 of clearing members and customers' positions
4 across both the uncleared and the cleared swaps
5 market.

6 So I mentioned to you the clearing
7 requirement. Also up to the subject to the
8 requirement, it must be submitted to a registered
9 DCO unless there's an exception, exemption, or
10 other relief from required clearing is properly
11 elected. The reporting of cleared swaps is in our
12 words, these are my words, the division's words,
13 complicated by the fact that you have so-called
14 alpha swaps, the original swap being reported to
15 one SDR and the beta and gamma swaps, which are
16 the positions that result from clearing, reported
17 to another SDR. And we'll talk about that in the
18 next slide. And what we see is that the so-called
19 alpha swap, the original swap remains open in SDR
20 data. They appear to be bilateral, but they're
21 subject to clearing requirement. So those two
22 things can't happen at the same time. And the

1 data in the SDR must be current and accurate. We
2 have a regulation that requires that to take
3 place.

4 Here's our working assumption, so let's
5 assume that -- if you look on the left-hand side,
6 you have two parties who do bilateral transaction.
7 That's the alpha swap you reported to, in this
8 case SDR 1, it also happens to be a swap that has
9 to be cleared and both parties are required to
10 clear it. So they send it to clearing, to one
11 DCO. We have a rule that requires the DCO to have
12 rules when they get a swap for clearing to
13 extinguish the original swap and replace it with
14 two equal opposite swaps between the clearing
15 member and the clearinghouse.

16 And so this is what we call the beta and
17 gamma swaps. And those are reported, and I guess
18 they're reported to a different SDR. So the USI
19 of the alpha swap may not be associated with the
20 beta and the gamma swap. The alpha swap may not
21 be terminated. So swaps that appear to be subject
22 to the clearing requirement appearing in one SDR's

1 bilateral and uncleared open swaps when, in fact,
2 they've been accepted for clearing by a DCO, and,
3 in fact, have been cleared.

4 This is not rocket science, ladies and
5 gentlemen. I don't see why a swap, which was
6 executed bilaterally cannot be mapped to the DCO
7 with a USI so that we know that a particular swap
8 that was executed bilaterally has, in fact, been
9 cleared. It's mapping exercise, it shouldn't be
10 that difficult. Now maybe the issues I have --
11 you know, I'd be interested to know what the
12 members of the committee think. Maybe the issues
13 are that our rules are not very clear. Our rules
14 don't say, you must do this, you must do this, you
15 must do that. Because it is very unfortunate, my
16 team and I, let me introduce you to Brian O'Keefe.

17 Brian O'Keefe, can you stand up. Brian
18 O'Keefe is the deputy director for products.

19 He may be calling some of you and asking
20 you for information. Because we thought we could
21 get this information from the SDR, we were wrong.
22 So we had to call market participants, who we knew

1 had to clear, to find out this information. We
2 shouldn't have to do that, all right, because
3 otherwise in my view the reporting requirement is
4 not doing the job it's supposed to be doing.
5 After all it's supposed to provide the Commission
6 with information. And the fact that we cannot
7 find information in one source is a source of deep
8 concern to us. So that's why, you know, I applaud
9 the fact that we have this division-wide working
10 group looking at this issue.

11 And I'm sure there are other issues.
12 You know, as John has highlighted, as Sayee has
13 highlighted, a lot of other issues we are facing,
14 but this one seems to be a no-brainer, but, in
15 fact, it is very difficult for us.

16 Now entities that respond -- and I'm
17 going to skip the first, entities responding. Let
18 me ask, why is it so difficult, why is it so
19 difficult for us to find whether a particular swap
20 has, in fact, been cleared? And the response has
21 been, well, they've actually been cleared, but you
22 don't know it or they were cancelled or there were

1 errors. And so again, if some of these things
2 have taken place, we should know about it. We
3 should know. Maybe I'm being, you know,
4 unreasonable. At a press of a button, I should
5 know exactly all the information that we need or
6 the Commission should know exactly all the
7 information that we need, but we don't have it.

8 So some entities have also highlighted
9 issues with respect to how Part 45 addresses
10 cleared swaps and the lack of clarity around
11 responsibilities with regard to the termination of
12 the so-called alpha swap. So it could be an issue
13 with our rules. And if there are issues with our
14 rules, then hopefully staff can propose solutions
15 for the Commission so that we can change our rules
16 so that it doesn't become that difficult to get
17 the information it is that we want.

18 So we believe that improving data
19 quality is important, but, you know, we need a
20 more practical solution. And again, I talked
21 about the mapping or matching of information that
22 we used. We have attempted to use an automated

1 process using an SAS program developed by
2 colleagues in ODT to try and get the information
3 that we want. And we're actively working with our
4 colleagues in the data office so that we can get
5 the information that we want.

6 The other priority is the Commission and
7 our staff have issued exemptions and exceptions
8 and people need to elect these exemptions and
9 exceptions and they need to report them to an SDR.
10 So one is the end user exemption, the other is the
11 inter affiliate exemption, the cooperative
12 exemption, and then the Treasury affiliate
13 no-action relief that staff granted. And SDRs are
14 required to monitor, screen, and analyze end user
15 exception claims by individuals and affiliated
16 entities. So the division is working with the
17 SDRs so that we can get the reports to make sure
18 that people are, first of all, people who are not
19 clearing and are entitled to elect one of these
20 exemptions or exceptions are, in fact, doing so.

21 The third priority is aggregating swap
22 data so that we can decide whether new categories

1 of swaps should be cleared. And this will help us
2 in developing our proposals to the Commission for
3 their consideration. And in reviewing submissions
4 by DCOs, we have looked to Part 43 and Part 45 and
5 other data in order to review the size and scope
6 of a given product's market. And then by working
7 with my colleague, Sayee, and his office, we've
8 been able to, in SDRs and DCOs, we've been able to
9 get a general sense of a product's notional and a
10 number of transactions from SDR data; but as Sayee
11 pointed out, it is not a happy place. So we need
12 to get to that happy place so that we can, in
13 fact, make a recommendation to the Commission
14 that's based on facts.

15 Because I'm sure you all agree, it would
16 be not a good scenario for us, A, not to be able
17 to do our jobs or, B, to try and do something
18 which is not based on all the facts that are out
19 there. So and we think that the data that we
20 should be using going forward is not BIS data,
21 with all due respect to the BIS, but it should be
22 data that's sent to the SDR, because otherwise why

1 have SDRs in the first place.

2 So going forward, we will participate in
3 all data-related cross-divisional efforts,
4 including the Commission-wide prioritization of
5 data-related matters. And we will continue to
6 build working relationships with SDRs, SEFs,
7 contract markets, and DCOs, and reporting
8 counterparties to resolve issues that we see. And
9 I'd be happy to take any questions in due course.
10 Thank you.

11 CHAIRMAN O'MALIA: Thank you, Ananda.
12 For anybody that might be on the phone or anybody
13 interested in these presentations, they are all on
14 the Technology Advisory Committee website within
15 the CFTC.gov website. So all the presentations
16 you will see today, are already up there. Next we
17 have --

18 MR. MCGONAGLE: Dan.

19 CHAIRMAN O'MALIA: We're going to
20 switch, right Dan. Dan Bucsa, Office
21 of Surveillance within DMO.

22 MR. BUCSA: Good morning. As the

1 commissioner said, my name is Dan Bucsa. I'm an
2 Associate Director in the Division of Market
3 Oversight focusing on surveillance. And I'm in
4 charge of the teams that look after interest rate,
5 credit, equity, and FX swaps. So I've been
6 invited to participate and give everyone my
7 thoughts on the swaps state. And again, they're
8 just my thoughts, they're not the statements of
9 the Commission or any particular commissioner.

10 Before we get started, just to step back
11 to give everybody some context on what we mean
12 when we're talking about swaps data. Not everyone
13 might be ardent followers of the TAC or directly
14 related to swap data, so I'll give you a frame of
15 reference to what we're actually discussing. The
16 data set, to put it mildly, is massive. It's
17 enormous, and it's incredibly complex. It's
18 comprised of data from hundreds of different data
19 submitters, almost 20 SEFs, over a hundred
20 provisionally registered swap dealers, and it's
21 constantly changing. As you can imagine, swaps
22 are entering and leaving the database, terms are

1 being harmonized and adjusted, and there are
2 countless upon countless rows and columns of rich
3 swap data content.

4 Now if we were asked to do this a year
5 ago, my presentation would be pretty blank. At
6 that point we had yet to start looking at swaps,
7 we had very little understanding of the data, and
8 we were in a much worse place than we are today.
9 We've spent a lot of time and committed a lot of
10 resources within our division working with ODT and
11 with the SDRs and also look forward to working as
12 part of this interdivisional working group on data
13 to get us to the current place.

14 Now if we were talk to about swap data
15 status from DMO's viewpoint, the five main tenants
16 that we would hit on, first, I'd echo what John
17 and the others said about the importance of
18 harmonization. It's really important for the
19 harmonization of the deliverable of the data and
20 also the standardization of the actual data in the
21 cells and the inputs, themselves.

22 Every division that's up here has

1 different problems. The problems are no better,
2 no worse, not more important or less important
3 than others. From the surveillance view, our
4 problems are different. We don't look at data
5 from a high level or in summary terms or in
6 aggregates. We have to find bad actors, bad
7 actions, potential manipulation, disruption in the
8 market. So we're looking at things that are very
9 granular on a discrete basis.

10 Now without harmonized data or
11 standardized terms, you can imagine how much
12 harder it is to do this across this massive data
13 set and how hard it is to become efficient or
14 build economies of scale with the limited staff and
15 resources at our disposal. We're also faced with
16 validation and reporting errors. Now by
17 validation, it's limited and there's some
18 constraints that are placed upon it. And by
19 reporting errors, I mean, over reporting, under
20 reporting, duplicate reporting, delayed reporting.

21 So if you think about it, if you're
22 being tasked with looking at this massive set of

1 data and trying to understand these complex
2 markets, and the data that you're looking at isn't
3 completely validated or there are various unknown
4 errors or bugs with it; it makes your job even
5 harder to really understand what's happening, to
6 answer any questions, to provide any feedback, or
7 do any analysis.

8 We also have various data reporting
9 interruptions that we've faced the last year. And
10 by reporting interruptions, I mean, the data just
11 isn't there. Some subset of it isn't reported or
12 it's not available for a various slew of reasons.
13 And from the surveillance view, we don't stop. We
14 can't wait for the data to get better. The market
15 doesn't stop trading, prices don't stop moving,
16 bad actions don't cease just for our benefit. So
17 it's really hard for us to do the work obviously
18 if we don't have the data in front of us or we
19 can't get to it.

20 Another limitation is the lack of a
21 transaction and position database, a single
22 transaction and a single position database. Now I

1 don't bring this up to allude or to say that we
2 should be at this point at this juncture after
3 looking at swaps data for a year, it's just a
4 frame of reference for what we do with futures.
5 There's one place where we get all futures
6 transactions and we can look at it, and there's
7 one place where we can look at all futures
8 positions and we can analyze it. Now that being
9 said, that's after tens of years of receiving
10 futures data and working on improving the process,
11 ingesting it, understanding it, and synthesizing
12 it. So not where we are at the moment, but it's
13 where we'd like to be.

14 The final issue that confronts
15 surveillance when we're looking at swaps are
16 exotics or bespokes, obviously these
17 transactions are complex, structured, tailored,
18 you name it and they have various characteristics
19 such as different cash-flow waterfalls, different
20 reference benchmarks, different underlyings. You
21 name it. When you think about this large data set
22 I'm speaking of and you think about what everybody

1 said about data and the quality of it and then you
2 throw in some sliver of exotics that have even
3 less terms that are electronically reportable that
4 are even harder to understand from a conceptual
5 basis or a risk basis or a market action
6 perspective, it makes it that much harder to
7 really understand what you're looking at when you
8 can't use the same data set and you have to look
9 at a PDF let's say, or some confirm that was sent
10 to somebody through some other means.

11 Now that being said, DMO still has to do
12 the work today. And mostly from the surveillance
13 hat that I'm wearing, but also from other parts of
14 the division in the systems safeguard team, there
15 are six main things that I'd say we're doing at
16 the moment. The first is, we're hitting the data
17 as often as we possibly can at the SDRs.
18 Accessibility was a really important goal of the
19 Commission the last year and being able to
20 directly get to the data, review it, and access
21 it. And we can do that now. There are several
22 functional SDR portals. So we can analyze market

1 events by looking at swaps data in addition to
2 just futures data.

3 We can now pursue potential manipulation
4 theories based on this additional information and
5 do more than we could a year ago due to this added
6 transparency. Now our ability to query the data
7 means that we can build some automated reports.
8 For the data that we can see and for the data
9 that's of high quality, we can have staff build
10 some code, model some tools, and create some
11 engines that make it more efficient and timely for
12 us to do our job. And that job, from the
13 surveillance view again, is to ensure that there's
14 compliance with Commission rules.

15 One of our main tasks is to serve as a
16 referral engine to the Division of Enforcement.
17 So when you think of swaps data rules, we want to
18 make sure that people are compliant with what's on
19 the books. And we do that very simply by looking
20 for anomalies. Anomalies can be lots of different
21 things. We think of it in the most rudimentary of
22 terms.

1 Does this data point make sense? When
2 we're looking at the transaction record, is it
3 looking for a date, when instead we have a
4 currency? Is it looking for a size, but instead
5 we have a benchmark? Is it a term that you would
6 definitely fill out on a paper ticket back in the
7 day when you're negotiating a swap trade and it's
8 missing? Is it a term that is in the regulations
9 that needs to be there and it's not? Is it a data
10 point that's actually filled out, but it's
11 completely incomprehensible through some code or
12 whatever you have it, you just don't understand
13 what's in the field?

14 If we reach that point, we invoke our
15 special call process where we reach out to the
16 data submitter or the SDR and we just say,
17 explain. Give us a narrative of what's happening
18 here. Tell us what we're looking at. We don't
19 make any assumptions. We don't have that luxury.
20 We can't give people the benefit of the doubt and
21 say why the data might be missing. We don't know
22 why the data looks a certain way or why it's

1 gotten on to our desks in that format, so we give
2 it, we leave it up to the reporting counterparty
3 to explain what's going on.

4 And based on the response and what we
5 think of the narrative, it becomes a referral to
6 the Division of Enforcement for investigation.
7 All this work on the Commission rules has lead us
8 to realize that we really need to do a lot of
9 internal analysis and coordination. We've done
10 this throughout the year with ODT and with the
11 other divisions. And like I said earlier, we're
12 going to be doing a lot more of it with this
13 interdivisional working group on data. And what
14 it's really allowed us to do is increase our
15 understanding of the data throughout this year.

16 What is this data set contain? And what
17 are the definitions of the fields? Not just, what
18 is the field defined as, but what is the purpose
19 of the data point within the field. You need to
20 understand what this massive and complex data set
21 is actually telling you, not just that the field
22 is filled out correctly and it's in the right

1 format and it's got the right information, but if
2 you put it all together with the rest of the
3 fields in the transaction, what is that
4 participant doing? If you put it together with
5 all of their positions, what might they be trying
6 to influence in the market?

7 Now what we've also realized is that
8 through this internal work we really to have to
9 focus on data quality. And when we spot these
10 irregularities by hunting around the data or
11 peeling back different layers of the onion, we let
12 people know. We notify ODT, the pertinent SDR, or
13 the data submitter to get these things fixed. And
14 we have gotten better data over time. That being
15 said, there is no silver bullet. It's not a
16 panacea. It's not a one-stop shop. There's no
17 guarantee that if you fix a field once for one
18 data submitter on one SDR, that will never happen
19 again with the same participants or it will never
20 happen with other participants in the same SDR or
21 it will never happen with other SDRs.

22 And again, to continue the theme of a

1 really large data set, you fix one data point, how
2 does the next one look? How does the one after
3 that look? How do they fit together? It's an
4 incredibly iterative process that will take a
5 considerable amount of time and resources to get
6 the data right. We feel like we can get there,
7 but it's not going to be a short-term solution.

8 And finally, one of the things we're
9 doing is looking at Commission regulation 49.24 in
10 particular. I don't want to prioritize or
11 emphasize one rule versus another, but we do want
12 people to promptly report the system disruptions
13 and planned system changes within their SDR to
14 DMO. It's much better to be proactive and for you
15 to tell us what's happening than for us to see
16 something wrong and to check in with you to see
17 what's happening. We have noticed that the SDRs
18 have gotten better about this recently, and we
19 really hope that this continues in the future.

20 And then finally, DMO's other data
21 interest in addition to swaps, we don't just look
22 at swaps, we look at futures as well obviously and

1 we have to look at the market as a whole. So we
2 were hoping to bring up these three topics to get
3 some feedback and advice from the TAC on how to
4 challenge them going forward in the future. The
5 first is ownership and control reports. These are
6 near and dear to our heart because they'll help us
7 further understand futures markets. We'll be able
8 to link a trader's transactions in futures to
9 their futures positions. We'll understand how a
10 position was built and by who and what were the
11 steps that happened in between to get to the final
12 point.

13 We also care about messaging data as
14 well. Right now the only data that flows in are
15 the consummated or executed trades in futures.
16 Now this is obviously very useful and high-value
17 information, but there are certain times where we
18 would want to know messaging data. And the
19 additional visibility into the market behavior and
20 the signals people might be sending via their
21 messages, and how that influences price, supply
22 and demand, you name it.

1 what I said earlier, the data is better. It's not
2 what we'd like it to be. We're further along than
3 we thought we would be, and we're going to keep
4 working together with other divisions and the SDRs
5 to improve it going forward. Thank you.

6 MR. MCGONAGLE: Great. Thanks, Dan. I
7 think that, I'm going to say from the
8 presentations that you've heard so far this
9 morning, at least from my perspective I get the
10 sense and I know that there's an appreciation
11 within the divisions of the challenges that we
12 face. And so, you know, I started with the
13 Division of Market Oversight just a few months
14 ago. Well, one constant theme of conversation
15 that I've had within the division and people
16 coming up to me has been focused on the data and
17 how can we get the data to a state that we can
18 effectively evaluate and do our jobs, frankly.

19 So when the Commission announced most
20 recently this interdivisional working group, I see
21 it as an opportunity to leverage the resources and
22 in some instances the conversations have been

1 going on, frankly, for quite some time, since
2 these rules were initially thought about and put
3 out for review and comment and now on the
4 implementation stage. So we have an
5 interdivisional working group that involves each
6 of the operating divisions from within the
7 Commission. We have members from the Division of
8 Market Oversight and I've put as many staff as I
9 can get my hands on, on this group because we want
10 to be effective.

11 And we see also from the other
12 divisions, you know, sort of a state of
13 volunteerism, the Office of General Council, the
14 Division of Enforcement, DSIO, the ODT, and our
15 Office of Chief Economist have all come together
16 in the past few weeks to have substantive
17 conversations, to sort of think about the
18 priorities that they've seen over the course of
19 time within their units, but also as they
20 communicate with each other.

21 And so in looking at where we are going,
22 I think in the first instance, we have a lot of

1 information just internally to think about and to
2 help us prioritize, and I think that's a good
3 place to be. The working group was charged with
4 its, the primary oversight responsibility for the
5 working group is to evaluate compliance with Part
6 45 reporting rules, related provisions, and
7 consistency in the regulatory reporting by market
8 participants.

9 And so that's broken down into four
10 subcategories or prioritizations; review of
11 industry compliance, data field standardization,
12 guidance and regulatory improvements, and
13 technology improvements. So taking each in turn,
14 industry compliance, my expectation is that market
15 participants are aware of their reporting
16 obligations and are diligently executing their
17 responsibilities to timely and accurately report,
18 period. My expectation, I'll say it again, is
19 that market participants are aware of their
20 reporting obligations and are diligently executing
21 their responsibilities to timely and accurately
22 report.

1 The divisions are charged with
2 identifying those major compliance failures and
3 will recommend best, and will recommend next
4 steps. So where the regulations are clear, the
5 obligation to report is clear. If there are areas
6 where there can be more clarity, the divisions are
7 taking on the responsibility to identify and
8 provide guidance and recommend rule changes as
9 appropriate, but a number of the issues that
10 you've heard this morning, validation errors,
11 failures to report, inaccurate reporting don't
12 necessarily or don't at all relate as to whether
13 the rule should be fixed. They relate to entities
14 and individuals who have a responsibility to
15 accurately capture the information that's before
16 them and provide that information forward.

17 Data field standardization, we've heard
18 from John Rogers in particular about harmonization
19 efforts. The interdivisional working group team,
20 it will be looking to prioritize those
21 harmonization comments so that we can look forward
22 to high level, what are the areas that we feel

1 will have the most significant impact with respect
2 to data standardization. This conversation of
3 course doesn't happen without the registered
4 entities, the swap data repositories. Their role
5 and their obligation here is clear. Our
6 expectation is that the swap data repositories
7 will continue the hard work that they've been
8 doing to effectively communicate with the division
9 and market participants so they can efficiently
10 and effectively comply with their obligations.

11 And I look forward to continue to
12 working with the swap data repositories as part of
13 this working group's efforts. We are interested
14 in identifying any data reporting gaps for
15 execution of our surveillance and oversight
16 responsibilities. And Dan gave an excellent
17 presentation concerning how our Division of Market
18 Oversight appreciates and evaluates its
19 surveillance challenges and the steps that we have
20 undertaken and plan to take in the future.

21 Finally, and I talked about this, this
22 structure isn't any different than the example we

1 used this morning with respect to Part 20
2 guidance. We evaluate where the issues are. We
3 look to see how reporting obligations by entities
4 and individuals can be enhanced, where the
5 information needs to be clarified. And then our
6 expectation is to get back out to industry with
7 those clarifications so that we're able, all of us
8 to get information that's readily usable by the
9 Commission and by market participants.

10 The working group is in the process of
11 compiling questions which we'll put out for
12 comment. In formulating this working group, I
13 took on the responsibility to report back to the
14 Commission by Spring on recommendations for next
15 steps as it relates to Part 45. The members of
16 this committee, outside of the working group now,
17 I'm talking about the TAC, can be instrumental in
18 forming our opinions and recommendations. So I
19 request that you please take the comment period
20 seriously, be diligent about the information that
21 you provide.

22 As I've seen in my short time within

1 DMO, all of the information that comes in is
2 seriously considered and certainly is helpful to
3 us in making recommendations. In evaluating some
4 of the areas that we've received feedback already
5 within the working group, we have a bullet list of
6 a couple of dozen topics that the group is
7 considering. And I'm not going to go into
8 specifics in terms of identifying, because that
9 will be part of the question period when we go out
10 probably, well, we have a due date in mid-March,
11 so within the next couple of weeks, but by no
12 later than mid-March; but the idea at least in the
13 conceptual focus is looking at reporting of
14 cleared swaps continuation data.

15 Are there transaction types and
16 workflows that might require further discussion?
17 A review of course in Part 45, including all
18 appendix and tables attached there to. What are
19 the other SDR and counterparty obligations? Where
20 is CFTC with respect to implementation? And where
21 do we see noncompliance? And internally of
22 course, where should there be referrals?

1 Going forward within the Division of
2 Market Oversight, we're also looking
3 organizationally to restructure our program so
4 that we have an appropriate emphasis for swaps
5 data and data and reporting obligations within the
6 division. And I hope in the next coming weeks
7 that we'll be able to formally announce steps that
8 we've taken organizationally to address this very
9 important topic within the Commission.

10 Gary.

11 CHAIRMAN O'MALIA: Hey, Vince, can I ask
12 you a question?

13 MR. MCGONAGLE: Sure.

14 CHAIRMAN O'MALIA: Gary was too slow
15 getting over to his chair.

16 MR. BARNETT: Sorry.

17 CHAIRMAN O'MALIA: So when you chimed in
18 with John Rogers about Part 20, you had a very
19 pro-conformance, we want to make sure that
20 everybody understands the rules, how do we help
21 you understand, we want to get compliance higher.
22 This presentation you came off and said, you know,

1 we're going to make referrals, everybody knows
2 what the rules are. How does that reconcile? Is
3 it only the Part 20 where you're going to be
4 lenient, or are you going to have a very strong,
5 you know, enforcement bend to this rule
6 compliance?

7 MR. MCGONAGLE: Right. So coming from
8 enforcement people, we're always going to have an
9 enforcement bend. My expectation always is that
10 the time and more effort that's gone into the
11 rules, the expectation is that those rules are
12 clear. And so if there are, if there is, if you
13 have an obligation or failure to meet an
14 obligation within the Division of Enforcement and
15 anywhere frankly, you first evaluate how clear is
16 the obligation, where was the understanding of the
17 reporting party or the entity that you're looking
18 at; and then you'll make a determination whether
19 that violation is something that sort of warrants
20 further review maybe by the Division of
21 Enforcement or warrants an action.

22 So taking that sort of vantage and

1 looking at obligations within the DMO, I don't see
2 it as a Part 20. I used Part 20 as an example
3 because frankly the discussions that I've had with
4 the team are much more concrete to me and just my
5 evaluation where it was, it is clear that, you
6 know, there are issues where parties are not
7 reporting, for example. But there are also
8 questions about how they report. And so if there
9 is a reporting error and are they over reporting
10 because they want to be a good citizen, sort of,
11 how do we evaluate that sort of over reporting?

12 That doesn't sound, it might not sound
13 like an enforcement referral, someone is over
14 reported. But if someone has not reported, that
15 could be an area that we could get into. So I
16 take, I sort of take that experience and then look
17 to these other areas such as Part 45. Why is it
18 that if there's a particular reporting field
19 that's required to be, information is required to
20 be input, why is that blank? And then why is that
21 information being sent along to the Commission
22 which then requires us to go back and sort of do

1 some validation?

2 Who has the earliest opportunity to
3 ensure that the information is being accurately
4 reported, and then what steps do we have along the
5 way to capture that information? So I'll start
6 with referrals or we'll think about referrals
7 always in the first instance where we see the
8 violation as being very clear. As you move away
9 from clarity, you get to questions about, is
10 guidance appropriate, are rules, additional rules,
11 should they become contemplated? So that's my,
12 you know, that's my thinking.

13 I don't see sort of one-stop process for
14 any type of evaluation, but if we do see that
15 there is an obligation to report and people aren't
16 doing it, I do want to get away from the hand
17 holding that I think in some instances has
18 occurred for a period of time during the
19 transition. We want to step back, recognize who
20 has the responsibility, and give those individuals
21 and entities the responsibility, you know, make
22 sure that they understand that they need to

1 comply.

2 CHAIRMAN O'MALIA: You also indicated
3 the SDRs are going to play a role in this. Are
4 you going to hold the SDRs responsible for
5 reporting compliance of the entity, or is it the
6 fault of the entity if they don't comply?

7 MR. MCGONAGLE: So in the event we get
8 to talking about failures, absolute failures by
9 the SDRs, I think that's part of it, that would be
10 a conversation later; I think in the first
11 instance, you know, the discussions that we've had
12 with the SDRs relates to a lot of the challenges
13 that you've heard so far this morning, with the
14 consistency and the accuracy of the reporting.
15 There's been a number of conversations on, you
16 know, on a day-to-day level as instances have come
17 up. If there have been errors in the reporting or
18 systems have gone down, I want to step back and
19 have a view sort of on a macro basis what has
20 happened over the last several months or the year.
21 What's our trend with the SDR sort of
22 going forward? Is it -- are there issues that I

1 need to be concerned about as a director within
2 the program? Are the questions that we have and
3 the plans that we've already implemented -- and I
4 think the SDRs have been very good partners in
5 terms of some instances very proactive in self
6 reporting, and I want to continue that dialogue.
7 So I'm not in a position to answer the question in
8 a short form now to say whether or what steps need
9 to be taken to fix the SDRs or say that the SDRs
10 need to be fixed. I think it's more focused on a
11 dialogue ensuring that we have a consistency of
12 communication between us about expectations.

13 CHAIRMAN O'MALIA: Gary.

14 MR. BARNETT: Okay. Gary Barnett, DSIO.
15 DSIO regularly participates in the swap data
16 harmonization meetings. We're working with our
17 colleagues in ODT, OCE, DMO, and DCR to ensure
18 that swap data provided by swap dealers to SDRs
19 will meet the needs of DSIO. We're also working
20 to develop methods for integrating swap data, SDR
21 data into our swap dealer and MSP compliance
22 program to test for compliance with swap dealer

1 reporting requirements, into our CPO and CTA
2 program to test the accuracy of funds reporting of
3 swaps exposures and into our exam program as part
4 of our examination of swap dealers and FCMs and
5 other intermediaries to examine for compliance
6 with our regulations.

7 For instance, focusing on SDR data and
8 swap dealers, seeking to ensure that swap dealers
9 are demonstrating compliance with CEA and CFTC
10 regulations, generally, but including more
11 specifically things like ensuring that a market
12 participant doesn't act as an SD or an MSP unless
13 they're registered as such. Ensuring that SDs or
14 MSPs maintain full, complete, and systematic
15 records of swap activities. Ensuring that swap
16 dealers and MSP swap transactions and pricing data
17 are available to the public in real-time, and
18 eventually confirming that swap dealer and MSP
19 compliance with capital margin requirements is
20 occurring.

21 With those important aims so dependent
22 on good information, good data, and good

1 technology; we're very interested and connected to
2 the group, the SDR and other swaps data reporting
3 efforts and beyond to the other issues in our
4 space. Everything you've heard basically applies
5 to us in our efforts. But, in addition, we've been
6 asked to play cleanup batter and to discuss some
7 of our other data and technology needs. We have a
8 different orientation. We want to talk to you for
9 a few minutes about the swap data -- sorry --
10 the data and technology needs we have in the
11 pursuit of our mission and the use of those
12 technologies.

13 So for instance in addition to our SDR
14 efforts, we're also involved in the swap dealer
15 registration process which entails the submission
16 by swap dealers and our review of mountains of
17 policies and procedures which are provided under
18 the Commission's rule 3.10 to demonstrate
19 compliance with 4s or the swap dealer requirements
20 under Title VII. Without technology, without our
21 technology, it would be a nearly impossible task.

22 And in the process of receiving and

1 viewing these large volumes of submissions, we use
2 two basic systems, Concordance, which is actually
3 an electronic discovery management software that
4 allows us to gather, manipulate, and search the
5 data, but also, and also Case Map software
6 that allows the management of facts and the issues
7 that arise.

8 The fund space is another area that DSIO
9 covers for which we foresee heavy reliance on
10 technology. In terms of the technology focus in
11 that space, disclosure documents are filed by CPOs
12 and CTAs with NFA. We have access to them with
13 Fax 2000, which is the program through which we
14 access NFA's database. They're uploaded in Word.
15 We can download to our PCs. We also have access
16 to NFA's review documents. But we can't have
17 policy scope review and issue spotting driven by
18 NFA. We need to develop our own systems, our own
19 issues list, and criteria points for which each of
20 the relevant points, topics, sort of like an audit
21 module, but for reviewers of legal documents. So
22 we have that to do as well.

1 In addition forms PQR and PR, are
2 uploaded to our servers by NFA as raw data. And
3 we're working with ODT on developing a database
4 for it. The SDR reporting data is, gives us, you
5 know, very granular swap information; but when
6 conjoined with the reporting, the reporting in a
7 sense will put together the swap information, but we
8 can test whether that information, to some extent,
9 we can test the accuracy of that by looking to the
10 SDR data. We'll eventually be able to do that.

11 As the division that has compliance and
12 examine oversight of intermediaries, in particular
13 FCMs and swap dealers, our heavy technology needs
14 relate to reporting and examination of those
15 entities. And so in that regard, we use two
16 primary types of software, RSR, which is a
17 database for maintaining firm information and
18 TeamMate, which is audit software, basically
19 electronic working papers for accountants or
20 auditors.

21 RSR is a database that intermediaries
22 send info into via WinJammer. So if they're

1 sending us financial reports, risk reports,
2 notices or the like; they can scan it, attach it
3 as a PDF, and send it. It goes straight into a
4 file for that submitting dealer or intermediary.
5 TeamMate as mentioned is auditing software. Once
6 set up properly to cover our standardized audit
7 programs, this software facilitates the reviews of
8 firms by requiring standardized minimum steps in
9 obtaining required documentation and collects it
10 in a way that helps make the supervisory review
11 process more efficient.

12 In terms of our strategy in using these
13 two key tools as part of our exam oversight in
14 examination, RSR is one-stop shopping for all
15 information on a firm. The examiner can go in,
16 quickly access all of the financial and regulatory
17 information about the intermediary and others
18 across the Commission can access it, too. It's
19 very important for, given the fact that we lack
20 staffing, that the staffing we needed, it enhances
21 our efficiencies and helps us fill the gap of
22 examiners, but obviously, you know, it's a hurdle,

1 a burden, but the RSR is a definite help.

2 We use RSR to perform the initial
3 analysis of firm filings. For instance filings
4 that indicate under seg. or under secured
5 situations, our less-than-early-warning capital
6 requirements will trigger a red flag that comes to
7 staff. And we have developed some red flags to
8 help in that regard, and we use that data to help
9 develop our wash list.

10 Also, as part of our strategy is the
11 hardware. Obviously on-site visits are an
12 important part of what we do, so laptops and
13 remote access, remote system access is very
14 important to us to support the software. Those
15 are in need of upgrade, but we're in the process
16 of doing that, so we're grateful for that. In
17 terms of strategy, we've had some successes.
18 We've upgraded TeamMate to a current version.
19 We've developed some financial analytics for the
20 financial data we received from FCMs including
21 some trend analysis on an
22 institution-by-institution basis, but we're

1 looking to try to develop more of an industry-wide
2 trend view so that we can find outliers.

3 In terms of our technology path forward,
4 you know, we need to gain a handle on the SDR data
5 for the reasons expressed at the beginning of the
6 talk, but in addition, in terms of the tools we're
7 using, in terms of examination and oversight, RSR,
8 we need to continue to work with ODT to assess
9 effectiveness of the database. Is there a more
10 efficient method? It's very useful, but it's very
11 difficult to upgrade and revise given the changes
12 that are going on. And a lot of changes are
13 needed.

14 Also we need to develop the
15 industry-wide dashboard that I mentioned before.
16 We need to continue to evaluate TeamMate and
17 update hardware and remote access capabilities.
18 And then we also need to integrate the swap dealer
19 data into RSR. So that's a little bit of an
20 overview of what we're doing from the technology
21 and data perspectives in addition to our
22 participation in the SDR harmonization efforts.

1 Thank you.

2 CHAIRMAN O'MALIA: Gary, are you using
3 SDR data at all right now?

4 MR. BARNETT: We're not, we're not.
5 We're just at the very beginning of that.

6 CHAIRMAN O'MALIA: Are you responsible
7 having the swap dealer filings? This looks like
8 compliance, right?

9 MR. BARNETT: Yes, it is.

10 CHAIRMAN O'MALIA: Are they filing the
11 forms? And I understand we have all, what is it
12 180,000 pages of SDR filings, and this will all go
13 into that separate database?

14 MR. BARNETT: It will, if I understand
15 your question --

16 CHAIRMAN O'MALIA: This RSR database?

17 MR. BARNETT: It will go into RSR.

18 CHAIRMAN O'MALIA: Okay.

19 MR. BARNETT: Correct.

20 CHAIRMAN O'MALIA: So are you going to
21 use SDR data to develop risk reports and bilateral
22 systemic relationships, things like AIG, London

1 not using the SDR data currently?

2 MR. BARNETT: Not yet.

3 CHAIRMAN O'MALIA: All right. Do you
4 have a timetable when you're going to be mining
5 that data for risk, bilateral systemic risk in the
6 OTC market?

7 MR. BARNETT: No, no. I mean, I know
8 that it's an imperative. We're a member of the
9 committee. We're working on it, but I don't have
10 a fixed timetable to give you for that yet.

11 CHAIRMAN O'MALIA: In terms of
12 priorities where does it fall?

13 MR. BARNETT: We're still in the
14 registration process with the swap dealers. We're
15 still reviewing their 4s submissions and their --
16 you know, so we need to get through that and we
17 need to continue to coordinate with John and
18 figure out, you know, what tools are available to
19 us. We are working on something similar to that
20 in the funds space, but we have not yet turned to
21 the swap dealers space. At this point we're just
22 looking to use the information to help us when we

1 look to see the compliance with our recordkeeping
2 rules and things of that sort.

3 CHAIRMAN O'MALIA: Well, thank you very
4 much to the panel for your thoughts, your
5 concerns, the issues you've identified. I think
6 you've given us a pretty good, but high level
7 oversight of the challenges we have. And
8 obviously harmonization was a theme that everybody
9 touched on. I'd like to open it up to the TAC
10 members' thoughts, concerns, observations,
11 questions, further questions. There's dozens of
12 questions I'd like to ask, but I'd really like to
13 get your thoughts on how we tackle harmonization.

14 For example, nobody has touched on a
15 UPI, what would a UPI, this universal product
16 identifier, would that enhance things? Is there,
17 are there things in the industry that would
18 benefit our efforts in terms of accelerating our
19 standardization process? I want to talk a little
20 bit about international. You know, Wednesday we
21 have SDRs going live in Europe. The obligation is
22 for anybody over there to report both to the U.S.

1 SDR and to European SDRs. Where do we stand on
2 recognizing, assimilating, harmonizing that data?

3 There's obviously some, a little more
4 discussion I think we can have on enforcement, risk
5 management analytics. Obviously, I think we have
6 clearly a long way to go there. So I'd open up to
7 the floor, and if there's no questions, I've got
8 about ten. So and but I don't want to have to ask
9 them, because it's really your thoughts and
10 observations that would be useful here.

11 MS. VEDBRAT: So, you know, I just
12 wanted to make a comment about, you know, the
13 tools that are available, you know, perhaps the
14 end users. You know, currently if I were just to
15 look at our trades, they are being reported to
16 three different SDRs, and we don't actually, we're
17 not giving any tools where we can receive the
18 information regarding our trades. And one
19 advantage for that would be that you'd be able to
20 find any discrepancy in data, you know, and
21 perhaps lead to some form of harmonization
22 without, like, the CFTC being, you know, the first

1 body that identifies an issue.

2 You know, this is something we've been
3 asking for from day one, and we have yet to see
4 this come to fruition. On your question on
5 international for Wednesday, the requirements for
6 reporting from a buy-side perspective, you know, it's
7 quite different just because the reporting party
8 or the responsibility for one side of the trade
9 does reside on the buy side. You know,
10 historically we've been dependent on the sell
11 side or the swap dealers to do most of our
12 reporting. So we, you know, expect in the coming
13 weeks or months that process to be a little bit
14 bumpy. The market has to get used to a two-side
15 reporting structure.

16 CHAIRMAN O'MALIA: Maybe I can ask Vince
17 could address this issue. We've heard this from
18 the end users quite a bit on how do end users
19 validate what's been sent to SDRs, how do they
20 know. There's a good article on Risk last week
21 that appeared in our clips. You probably saw it.
22 End users really addressing the issue of, where's

1 my data and what if it was misreported, what do I
2 do about it? This obviously touches on some of
3 your enforcement thoughts. What do you recommend
4 for end users?

5 MR. MCGONAGLE: Let me consult with my
6 experts here behind me, so just two seconds.

7 MS. GUSSOW: Good morning, Commissioner
8 O'Malia and TAC. Some of you already know me.
9 I'm Laurie Gussow with Division of Market
10 Oversight. And Vince asked me to take this
11 question.

12 Could you rephrase it, please? I mean,
13 could you repeat it, please.

14 CHAIRMAN O'MALIA: Just pull it closer and
15 repeat your question.

16 MS. GUSSOW: I am so sorry. Can you
17 hear me now?

18 MS. VEDBRAT: From an end user
19 perspective, one of the beneficial tools would be
20 if the SDRs provide us with the ability to
21 reconcile the information that's being reported on
22 our behalf. Currently, like if we were just to

1 limit ourselves to, you know, cleared swaps or
2 swaps in general, there are three SDRs where we're
3 reporting our data, but there's like zero insight
4 into what's being reported on our behalf.

5 MS. GUSSOW: Right. So the SDR rules
6 require that they reach out to the counterparties
7 to confirm the accuracy of the data. The
8 methodology that the SDR chooses to do so, it, you
9 know, that's incumbent on the SDR to develop that
10 technology. And the services they provide to
11 their users is also a business decision of the
12 SDRs, and the rules aren't prescriptive with
13 respect to the services they have to offer except
14 that they have to confirm the accuracy of the data
15 and make their data available to the
16 counterparties to ensure accurate data and provide
17 for error and omission corrections, which of
18 course through the rules indicates that comes
19 through the reporting counterparty.

20 So, you know, that might be a
21 conversation you want to have with your
22 independent SDR or SDRs.

1 MS. VEDBRAT: Yeah, we've had
2 discussions with them. And, you know, I'd just
3 like to, you know, make a comment because you
4 mentioned it's their business decision, but this
5 is a regulatory requirement and, you know, there's
6 been a lot of emphasis throughout this process by
7 the CFTC, which, you know, we believe in on
8 straight-through processing and timely delivery of
9 information. So, so far what we've seen if you
10 want to receive information, it would be a very
11 manual process and almost impossible for us to
12 reconcile.

13 MS. GUSSOW: And which regulatory
14 requirements which are you referring?

15 MS. VEDBRAT: For the reporting for
16 mandatory trading and then eventually, you know,
17 the information of that trade being reported to an
18 SDR.

19 MS. GUSSOW: Right. And so that there
20 is a reporting counterparty chosen interaction,
21 and then that reporting counterparty submits the
22 data to the SDR. Then the SDR reaches out to the

1 counterparties to confirm the accuracy of the
2 data. And again, that's the SDR's, you know,
3 decision on how to implement or comply with that
4 regulation. And so each SDR has a different
5 methodology in which may have developed to do so.

6 So again, if you have an issue with
7 respect to that, you know, you may want to talk to
8 your specific SDR. And of course, you know,
9 Division of Market Oversight is always, you know,
10 happy to discuss this with participants. And, you
11 know, many of you know we do spend a lot of time
12 on the phone discussing issues. And again, it may
13 be something that would be appropriate to comment
14 with respect to the interdivisional data working
15 group.

16 CHAIRMAN O'MALIA: Bob.

17 MR. GARRISON: Yeah, I'm just curious to
18 know, a lot has been mentioned about
19 harmonization, which I think is great and it's
20 produced as the panel has stated, a lot of good
21 results; but that's been focused primarily on the
22 output of the information. And I'm just curious

1 to know, you know, the output obviously is
2 dependent upon the input that's coming into the
3 SDRs, I'm curious to know if there's any form that
4 exists that allows consistency of approach back to
5 the other market participants on a way to ensure
6 that that consistency is coming into the three
7 SDRs that exist today or whether the harmonization
8 effort is just focused on the output between the
9 CFTC and the SDRs.

10 CHAIRMAN O'MALIA: That's a great
11 question. I mean, we've, John Rogers has used the
12 SDR effort, he's been quarterbacking to harmonize
13 what comes from the SDRs to the Commission. I
14 know a lot of end users are worried about
15 standards of harmonization going into the SDRs.
16 We've relied on the SDRs to be our Rosetta Stone,
17 if you will, to harmonize this data; but some of
18 this issue, and maybe this is what we can address
19 as part of our working group to fix the fields.
20 Right. We had our appendix in our SDR or Part
21 45 reporting that said, you know, fill it out this
22 way. And if there's any uncertainty about how you

1 fill that out or enough of the fields, maybe we
2 should address that.

3 And I think maybe that's going to be a
4 question that the working group and then the
5 public comment period will consider. I mean, what
6 are you considering to help end users conform?

7 MR. MCGONAGLE: I think that's right.
8 That is one of the areas that we are considering.
9 You talked about the Appendix 2, Part 45, so that
10 is, we see that as part of the process, sort of
11 the information. And as it gets to the SDR, it
12 isn't just the SDR being reportable information to
13 us, it's the utilization of the information that's
14 coming into the SDRs. So you know, that is a
15 place that we do want to cover. So I appreciate
16 that comment.

17 CHAIRMAN O'MALIA: And I know there's a
18 rush, everybody talked about harmonization, but I
19 don't know that harmonization means the same thing
20 from every division. And is it harmonization from
21 the three SDRs that have different data
22 architecture and therefore it's a challenge for us

1 to download it from them and arrange it
2 appropriately, or is it the quality of the
3 material being reported? Can anybody reflect on
4 where harmonization must occur to make it better
5 for us?

6 MR. MCGONAGLE: So I hear it right, it's
7 all of the above in terms of the types of and the
8 manner of information or the types of information
9 that's reported, just sort of some simple
10 questions about, you know, the identification of a
11 particular interest rate swap that, you know, is
12 reporting in different fields, and so people are
13 sort of capturing sort of effectively the same
14 swap in different ways, which makes it a challenge
15 for us to handle that information. And so we say
16 harmonization. I do think, you know, we are
17 looking not only at the standardization of the
18 fields, we're also looking at, you know, what are
19 the priorities with respect to each of the
20 divisions and then taking those priorities across
21 the Commission and saying, well, what is it of
22 these top three for each division, what is the top

1 three for the Commission.

2 And so, you know, we want to cover as
3 much grounds as we can. And I know I'm being very
4 high level, but we're not looking to kick things
5 out currently. We're trying to bring stuff in and
6 try and get it ranked and be responsive.

7 CHAIRMAN O'MALIA: Walt. You had your
8 card up.

9 MR. TURBEVILLE: Thanks for the
10 presentation. The whole issue of complete uniform
11 data, it just strikes me that maybe the original
12 rules on SDRs should have been much more
13 aggressive to create a tremendous amount of
14 leverage from the Commission from the get-go as
15 some of us had suggested, but that's water under
16 the bridge and that's not my question. The
17 question I have is, especially with Ananda and Dan
18 having talked a bit about uses of the data beyond
19 just strictly compliance with a rule that exists,
20 but more of a broader understanding of what's
21 going on in the marketplace, my aspiration for
22 this data is so that the Commission and staff will

1 have a comprehensive understanding of the market
2 and what's going on beyond just what's an existing
3 rule.

4 And the way this is set up is that the
5 divisions are talking about their particular
6 needs. I'm wondering is anybody talking about the
7 overall needs of the Commission to understand the
8 marketplace in an integrated and comprehensive
9 way. And what are the data needs so that you can
10 get, not only the data that you need, but how you
11 might be able to manipulate that data to have a
12 more comprehensive understanding of what's going
13 on in the markets?

14 MR. RADHAKRISHNAN: I think that's the
15 whole objective of the interdivisional working
16 group. If you think about it, it should be a wish
17 list, right, all the divisions, this is what I
18 want, this is what I want to look at and then you
19 vet it with the Commission and then you go out for
20 comment and say, you know, this is what we need.
21 Now with all due respect to the industry, you can
22 suggest things to us, but I submit that the

1 divisions are sometimes in the best place to know
2 what it is we want, right?

3 So it seems to me that it is information
4 that we need to do our jobs, right? Market
5 surveillance has a particular function, risk has a
6 particular function, and then trying to figure out
7 whether people are clearing or something else.
8 And then the other issue is, all right, what do we
9 want? How do we get there? Meaning, you know,
10 what template do we have, right? And this is
11 where, you know, ODT colleagues can say, oh, this
12 is how you. How do you send it? FIXML or
13 whatever protocol people use should be one.
14 Choice is nice most times, but when it comes
15 to something like this, I don't think choice is
16 good, all right?

17 You tell people, this is how you do it,
18 this is the way you do it. And to go to Supurna's
19 issue, anybody who is, has a stake in the data
20 should be able to view the data, right? So I
21 don't understand why, you're saying you should
22 have what, read-only access to your data; is that

1 what you want?

2 MS. VEDBRAT: Read-only or just, you
3 know, give us the information.

4 MR. RADHAKRISHNAN: Right.

5 MS. VEDBRAT: Like, I'm not -- we can
6 reconcile it among the three SDRs.

7 MR. RADHAKRISHNAN: Right.

8 MS. VEDBRAT: Not sure if everyone can
9 do that.

10 MR. RADHAKRISHNAN: Right, right.

11 MS. VEDBRAT: But we're happy to do that
12 as a --

13 MR. RADHAKRISHNAN: Right.

14 MS. VEDBRAT: -- a first step.

15 MR. RADHAKRISHNAN: Right. So and the
16 other thing that we don't have is we don't have
17 the ability to look at the risk posed by unclear
18 transactions, no clue, right. You've got line
19 items, it's nice, but it doesn't tell you at the
20 end of the day what the exposure of, say, the top
21 ten banks are to each other, right. Now they
22 should know. I'm assuming they will know, but we

1 don't know, right, because all we have our line
2 items. We don't -- unlike the cleared space, we
3 don't have position information, so that's a big
4 challenge for us.

5 MR. BUCSA: And I think what you said is
6 exactly right. You made a good point and a very
7 good question and I think it's something we'd like
8 to do in the future, the piecing together of the
9 market, of understanding how everything fits as a
10 whole and how things influence each other and the
11 framework or the foundation of all of that is the
12 data and combining the good quality data with
13 people's knowledge and experience in the markets.
14 And the tools we can build will get us there, it's
15 just answering that really complicated question is
16 something we're not nearly in the place to do at
17 the moment if you consider all the data issues
18 that we're having.

19 CHAIRMAN O'MALIA: Irene.

20 MS. ALDRIDGE: Hi. Thank you. I
21 actually am very impressed with the market
22 reporting tool and somebody who deals with that on

1 a daily basis. I wonder, are there any plans to
2 extend the history of the data that's reported to
3 the public? Because I noticed right now only five
4 weeks are reported, I believe. And I know I
5 understand you're trying to increase the
6 granularity over time. Thank you.

7 MR. SRINIVASAN: Sorry. It's my
8 mistake. We do have, I should have pointed it
9 out, there's an archive section. So if you look
10 at the weekly swaps report on the columns on the
11 left, there is a link to the archive section. And
12 this is a query which came from one of the market
13 participants, you know, can you send it and can we
14 download it in an Excel format? So our weekly swaps
15 report can be downloaded in Excel also.

16 Somebody asked for CSV. I said, give us
17 some more money and we'll give you CSV and any
18 other format you want, but right now you get it on
19 HTML and on Excel, so and you can get all archived
20 data also. So --

21 MS. ALDRIDGE: Thank you.

22 MR. FISHE: I'm sure I have more of a

1 comment than a question, and I think it relates to
2 what Dan was saying. And I wanted to commend the
3 staff that it sounds like you're taking a very
4 careful approach to analyzing the granular data
5 that you need for your role. And I just wanted to
6 say thank you. As an industry participant, we
7 have to deal all the time kind with the spurious
8 conclusions that come out of, you know, I'd say
9 publication of other people's analysis of that
10 granular data. And sometimes it's published, you
11 know, in nanoseconds, you know, quicker than we
12 make trades, but I just want to say thank you for
13 what you said. And it sounds like you're very
14 carefully looking at that granular data.

15 CHAIRMAN O'MALIA: Brian. And we'll
16 just go down the row after that.

17 MR. DURKIN: Thank you. I just wanted
18 to compliment the panel for their presentations
19 and would ask us all though to reflect back, it
20 was really just a few short months ago as these
21 requirements kicked into effect, and the adoption
22 of a swap data reporting regime was a monumental

1 effort on the part of the entire industry, and I
2 think what you presented today shows that while
3 there's still some challenges, it's come a long
4 way in a relatively short period of time.

5 And, you know, I only ask that we
6 continue to try to take a pragmatic approach as we
7 continue to evolve and further develop the
8 progress that's about made to date. There were
9 some comments, though, that were made that I just,
10 I did want to clarify. With all of this that has
11 been placed on the industry and the Commission,
12 itself, at the end of the day, you know, I can
13 assure you from the CME's perspective, we're
14 deeply committed to its swap data reporting
15 offering and to providing the Commission with the
16 capabilities and the facilities that it needs for
17 the purpose of effectively monitoring systemic
18 risk.

19 There are, you know, a number of areas I
20 think that were raised today that seem to point
21 itself back to maybe a missing link, which is a
22 missing product system. And that's been, I think,

1 an ongoing source of consideration on both the
2 part of the Commission, Commission staff. And,
3 you know, there seems to have been an intensive,
4 you know, focus on that for a period of time; but
5 now that seems to have kind of lapsed. So, you
6 know, I think that if we could, you know, possibly
7 come back to getting to a unified product system,
8 that might help clear up a number of issues that,
9 you know, many of us are dealing with.

10 There was also some comments with
11 respect to the missing of data or inability to
12 link up certain data components. And I think it
13 was Ananda had mentioned the linkage of the alpha
14 portion of transactions to the beta and the gamma.
15 First of all, you know, all of the data on cleared
16 transactions reside in the clearinghouse. So we
17 have all of the data in the clearinghouse. And
18 when you start referencing the connectivity
19 between the alpha portion of these transactions to
20 the beta and gamma, I know our SDRs shows that
21 linkage between those various components.

22 With respect to the gaps associated with

1 the terminations of the alpha, I thought I had
2 heard earlier in one of the reports, we've been
3 sending that information since I believe it was
4 December of last year. So my point is, there is a
5 lot of progress that's being made as we continue
6 to evolve together as an industry. I can't
7 implore the efforts enough on harmonization and
8 what we've been trying to do together, working
9 together, as we learn a bit more about the
10 progress that is made to continue our focus and
11 emphasis on harmonization, because again, I think
12 that helps all of us involved in this very
13 important program to be even more effective as we
14 go along in producing and providing the
15 information that's needed.

16 When we get to the issue of
17 standardization of data, you know, I would also
18 ask that we give some consideration to
19 standardization at what point, and how do we help,
20 you know, the Commission teams, themselves, to get
21 to the point where they need to be in the context
22 of the data as it's sent back into the systems and

1 brought to the Commission for its review. And so,
2 you know, I would just suggest that emphasis be
3 more so on the back end of the SDRs being able to
4 submit that information in a very accurate and
5 standardized format. That might be an area for us
6 to focus more heavily on.

7 CHAIRMAN O'MALIA: Evelyn.

8 MS. FUHRER: I'd just like to focus my
9 comments on the harmonization that's been
10 discussed. And, you know, the fact that we're
11 talking about harmonization this year as opposed
12 to data gathering that we were talking about last
13 year, you know, demonstrates the progress that
14 we've made in actually gathering the data. But,
15 what I really would like to suggest is possibly
16 rather than work on harmonization, which if you
17 think about it from the life cycle of the data,
18 you're really dealing with the issues at the back
19 end at the end of the life cycle of the data; I'd
20 like to suggest that possibly we start thinking
21 about rectifying and fixing the data, making sure
22 it's correct as it comes into the system at the

1 beginning of the life cycle of the data.

2 And because no matter how much you
3 harmonize data, you iterate around it, you're
4 constantly working it, you get closer to the right
5 answer; but it will never really be perfect unless
6 it's perfect when it goes into the various
7 systems. And I know there's been a concern about
8 not being too prescriptive, but maybe at this
9 stage of the maturity level of the industry,
10 there's a recognition that a little bit of
11 prescription or maybe a lot of prescription goes a
12 long way to saving the industry a lot of money
13 overall. And hearing the conversation around the
14 global groups that are in progress, I mean, you
15 know, I think we have an opportunity now to learn
16 from what we've done over the course of the past
17 year and say, you know, if we have to do it all
18 over again, can we inject some standards earlier
19 in the process, it would deal with some of the
20 issues we talked about, that Supurna has been
21 talking about and also all the costs around this.

22 And if we were to think about doing

1 that, I mean, the way I think we would do it is do
2 an analysis all the way at the back end and see
3 how the data is going to be used and say, okay,
4 for me to be able to use this data in the most
5 efficient way possible, how would I ideally like
6 to see the data? Okay. What kind of controls
7 would I want around the data, how accurate those
8 need to be, and come up with a list of standards
9 around that would get injected into the industry.
10 Thank you.

11 CHAIRMAN O'MALIA: Pierre.

12 MR. LAMY: Yes, thank you. And thank
13 you all for the very good presentations and the
14 different point of view that you have brought into
15 the discussion. I have two questions. The first
16 one relates to misreporting by reporting
17 participants, which I think is part of the problem
18 and probably all of you talked in some way, shape,
19 or form about that dimension; the thing is the
20 resolution path for that is probably to have
21 stricter validation logic at the point of
22 collection. And progress is being made in that

1 respect. But until we get that, the question I
2 would have for the CFTC staff is, when you find
3 issues, for example, this question of the Korean
4 Won swap with the notional being reported in USD
5 currency, do you systematically come back to the
6 reporting participant and say, well, there is a
7 mistake, can you please adjust? Yeah, go ahead.

8 MR. SRINIVASAN: So we go back to the
9 SDR, and the SDR speaks to the market
10 participants. So there is, and there's a
11 coordinated process between the Euro process
12 within the currency sort of around these questions
13 with the SDR. The SDR in turn speaks for the
14 market participants. So --

15 MR. LAMY: Because I believe that with
16 the process that we have as of now, there is a
17 number of situations where the reporting
18 participants, even themselves, they do not realize
19 that they are just misreporting some data, once
20 again because of progress that we need to make in
21 terms of validation logic at the point of
22 collection.

1 The second comment and question --

2 MR. BUCSA: Sorry. If I could just --

3 MR. LAMY: Yeah.

4 MR. BUCSA: -- interrupt briefly. It
5 seems that that approach in a way places the onus
6 on the Commission first and the submitter second,
7 third, or fourth in that chain. So but by
8 systematically, I think it's hard to say that
9 there's any systematic approach to do that based
10 on what we all presented about the tools at our
11 disposal, the staff that we have to work with, and
12 the data as it is. I think if you were to send
13 the confirm to a client of yours, you would make
14 sure it was right before you sent it. You
15 wouldn't really want the client to tell you that
16 it was wrong.

17 I think the same methodology or
18 attention to detail could be applied in the things
19 sent to us so we're not the first line of defense
20 to say, by the way, this doesn't make any sense.

21 MR. LAMY: I agree with you. And I'm
22 not suggesting that the CFTC should be the first

1 line of defense, it should probably be the last
2 one. But in terms of the fact that you have
3 hundreds, if not thousands, of reporting
4 participants reporting according to different
5 technology, in my view, the first line of defense
6 should be the SDR. And with the protocols that are
7 being used, there is a way to validate the data
8 using the Part 45 rule that you have developed
9 alongside with the different protocols that you're
10 using. I'm familiar with FpML. And FpML's rule
11 of validation would go a long way with a stricter
12 validation logic to ensure better data quality.

13 The second comment that I have, I was
14 interested by the discussion about notional
15 computation for commodity equity swaps and those
16 type of things. Is -- what I would suggest, and
17 I'm sure that, I'm hopeful that you do that
18 already, is compare notes and confirm the
19 methodology with existing agency in the U.S. and
20 outside of the U.S. in terms of the way to compute
21 the notional. Because I think there is no right
22 or wrong for those type of products. And what you

1 do not want to do is have a methodology that would
2 not be comparable with the one already use because
3 people already report notionals in currencies with
4 other agencies. So that would facilitate the work
5 down the road.

6 MR. SRINIVASAN: Yeah, that's a useful
7 comment, I guess. And we also face a similar
8 situation for which we have a solution in the
9 futures world. So there are a huge amount of options
10 activity and we need to compute the notional terms so we
11 have a structure in place to do that, but that's
12 something that we're working on as you have
13 suggested.

14 MR. LAMY: Thanks.

15 CHAIRMAN O'MALIA: Michael.

16 MR. ATKIN: Yeah, so from my perspective
17 is, this is kind of less a rule problem as it is a
18 data manufacturing on understanding of the data
19 manufacturing process problem that the industry
20 has to go through. So the current model that we
21 describe of fragmentation, lots of participants,
22 lots of complex instruments, lots of

1 transformation processes, lots of relationships;
2 it's going to always be challenged if what you're
3 trying to do is harmonize just structured data
4 against meaning. So I think it was Ananda who
5 described the problem correctly, this is about
6 understanding relationships in the context of the
7 structure of these instruments. And there is a
8 better way, I think that we have to start thinking
9 about this problem.

10 And, Scott, I think you talked about a
11 true Rosetta Stone. And the Rosetta Stone that
12 we're looking at, not only aligns data, but it has
13 to align meaning and it has to be comparable and
14 it has to include the relationship processes that
15 exist. And currently the way we're doing
16 harmonization ignores that side of the problem,
17 and we have to be able to model those
18 relationships. And it is possible to do that, and
19 it's a different way of approaching it, but that I
20 think is the only way you're going to solve this
21 problem.

22 CHAIRMAN O'MALIA: Adam.

1 MR. LITKE: Yeah, when we go back to
2 when the committee on data standardization was
3 meeting, the TAC and the CFTC accepted a number of
4 principles around data reporting and there were
5 three of them that were pretty important that it
6 doesn't -- that I was involved with, but there's
7 others as well, and I'm asking a general question
8 as to whether the principles that were accepted
9 are actually being followed in the work that's
10 being done afterwards. In other words, are people
11 going back and reviewing it?

12 And I'll give the example. One of the
13 things we said was that the SDR was responsible to
14 report to the Commission in whatever format the
15 Commission required, but that the SDR was going to
16 set its own rules to the street for how it was
17 going to collect the data. And part of the reason
18 that was done was because even various firms
19 couldn't agree on what format they wanted to
20 report the data in, and so that was supposedly
21 being left open to the SDRs to allow them to
22 provide better service to their customers. And

1 then the last piece was that the data that was
2 reported to an SDR was supposed to be freely
3 available for editing and correction to any party
4 to the swap transaction and freely available for
5 any correction that was envisioned that there
6 would actually be APIs that people could get to,
7 which it sounds like are not there.

8 So I'm sort of asking the staff members
9 here, to what extent are you going back, when you
10 look at these data problems and say, we adopted
11 these principles for data gathering, are we
12 actually following them?

13 MR. ROGERS: So I can't speak to the
14 second one, but I can certainly speak to the first
15 one. And the answer to the first one is
16 absolutely yes. We are looking at the data from
17 the perspective of how the Commission would gain
18 access to it. I won't necessarily say receive it,
19 because there are really two elements to that.
20 There is the data that is actually at the SDR in a
21 portal that the Commission can get access to, and
22 then there's the data that would flow back to the

1 Commission so that it could comingle with other
2 data including futures data and that sort of
3 thing.

4 Regardless of what the method is, we
5 want the data to look the same and be, you know,
6 harmonized across the different SDRs, that means
7 that people have to have a common understanding
8 for particular elements and how it's used, have a
9 common sense of values, and all sorts of other
10 different things around the data. But in terms of
11 the first item, regardless of whether it is at the
12 SDR or being sent to the Commission, we will
13 determine the form and manner of the data flowing
14 to us, but we are not talking about the form, the
15 manner in which the data is flowing into the SDR.
16 So I think -- and perhaps somebody else could
17 speak to that.

18 MR. MCGONAGLE: I want
19 to follow the point. And I see, if there's an
20 industry standard or an industry practice about
21 how entities are executing transactions, then
22 subject to the vagaries of a particular SDR that

1 these transactions are reported to, who wants, you
2 know, to recast it or rename it in some way, I
3 would view that as problematic. I mean, I think
4 our staff here would be looking at sort of an
5 apples to apples review of what's happening on the
6 street and would be concerned that harmonization
7 or the application of a naming convention by SDR,
8 that would give the potential for a lack of
9 consistency and makes it more confusing.

10 MR. LITKE: Yeah. I was specifically
11 alluding to, there was a bit of a knockdown
12 drag-out fight whether people should have FpML or
13 FIX reporting. And essentially it was decided to
14 leave that up, you can have the same data in
15 either format, but depending on where the swap was
16 executed, there was a marked preference for one
17 format or the other.

18 MR. ROGERS: Sure. I'll just add in, I
19 mean, I think that what you're asking and the way
20 that I answered it, I think are in alignment. We,
21 I mean, we are -- when we get to the point we are
22 receiving data, just like we do with Part 20 data,

1 we will decide the particular schema that is used.
2 Because we've heard, you know, very similar
3 conversations. And in the case of Part 20, we
4 actually support, since it's data that we're
5 ingesting here on a regular basis both FIXML and
6 FpML because we can.

7 We'll be focusing on those kinds of
8 questions down the line as it relates to data
9 flowing back here, but we're not dictating the
10 actual message structure as it flows into the SDR.
11 And each one of them we know does it differently
12 for particular reasons of their own. At the end
13 of the day, we need to make use of the data
14 regardless of the mechanism that the messages, or
15 the methods in which the messages flow in. And
16 that's what we're focusing on.

17 So to get to that point where we can
18 look at things in like terms across the SDRs is
19 really more of that content and semantic, you
20 know, analysis that we would do more so than the
21 particular message types that would flow into the
22 SDRs.

1 MR. RADHAKRISHNAN: If I could just make
2 a point. There's a technology issue, and then
3 there's a content issue. So if as long as the
4 technology does not interfere with the content of
5 the information that we are getting for our
6 regulatory purposes, then you can say it shouldn't
7 matter, right? But I'm not sure, and I'll defer
8 to my colleague, John, I'm not sure whether that's
9 the case. If the manner in which the information
10 is being sent, if there's one better way than
11 another, why shouldn't we choose the better way?

12 And if it means, you know, we prescribe
13 something, maybe that's the way we do it. Because
14 again, I've got to stress, at the end of the day,
15 I think a critical function of reporting is so
16 that we can do our jobs. If we cannot do our
17 jobs, then something is going wrong.

18 MR. ROGERS: Yeah, I guess, if I may.

19 CHAIRMAN O'MALIA: Yep.

20 MR. ROGERS: I would liken it to what
21 Dan Bucsa said a moment ago thinking of us. I
22 mean, when I look at the harmonization activity

1 that we're talking about, I look at the people
2 that are sitting next to me as basically
3 customers. They're the users of the data, they
4 might have particular purposes, so we are looking
5 to make sure that the data, the content, itself,
6 satisfies those purposes. And insomuch as it is
7 necessary to harmonize that content so that they
8 can get the business value out of it, that's what
9 we're going to do and that's where -- in
10 harmonization we've had lots of conversations with
11 the SDRs about what this means to us and about the
12 value that it provides to the Commission.

13 And if we need to be prescriptive in
14 that regard, we have been.

15 CHAIRMAN O'MALIA: We're getting way
16 over time, but I'm going to hit Karel, Chuck, and
17 Bob for kind of last observations. So keep it
18 tight.

19 MR. ENGELEN: Okay. Thank you. The
20 taxonomy and product identifiers have been
21 mentioned a couple of times during the
22 presentation, so I kind of wanted to pick up on

1 that. So when the ISA taxonomy was developed and
2 that is taxonomy that is used for most of
3 reporting, we kind of deliberately kept it fairly
4 high level. And I think what's needed in
5 particular in something like taxonomy is really a
6 dialogue with the CFTC. Because as mentioned a couple
7 of times about more detail, but from industry
8 perspective, I think there is need for better
9 understanding of where do you want the detail and
10 what is the detail that you want. So I think this
11 is certainly an area where more input is required.

12 My second point on the taxonomy is that
13 we see the whole taxonomy discussion as a
14 discussion that should take place on an
15 international level, meaning ideally you have one
16 taxonomy, not just for CFTC reporting, but for
17 global reporting; and so my point is, we are
18 having discussions with other regulators, but all
19 regulators have kind of their own requirements.
20 And so my question to panelists, in taxonomy rules
21 in other areas, if you could give a little bit
22 more detail about what the plans are.

1 And I realize this is kind of almost the
2 next step after harmonization of the data you
3 receive here, but what are some of the plans to
4 work on harmonization on taxonomy on other levels
5 with other regulators internationally?

6 MR. ROGERS: So I can tell you that it
7 is very much in our interest to coordinate both here
8 in the U.S. and internationally, and we've been
9 doing some of those things already. We are
10 significantly constrained by resources to be sure,
11 but we have heeded the advice from the SDRs that
12 have actually come out and said to us, you know,
13 please don't invent your own taxonomy and develop
14 a system for the CFTC only to then have us have to
15 do something differently for other jurisdictions.

16 And so we are trying, we are working, we
17 are coordinating where we can with agencies like
18 OFR, also coordinating internationally with groups
19 like ESMA on the development of a taxonomy, and
20 we'll plan to continue to do so. And when such
21 time as there is an international group that
22 focuses on UPI similar to what is already going on

1 with LEI, we would hope that the work we are doing
2 would fit within that and so that there would be a
3 global UPI initiative.

4 MR. ENGELEN: Thanks a lot.

5 CHAIRMAN O'MALIA: Chuck.

6 MR. VICE: Thanks. A few comments I
7 suppose. One, I would say the interdivisional
8 working group, I think as an SDR, we've felt like
9 in the last few months, that group is, you guys
10 are, the coordination and the communication going
11 on that you're doing collectively with the SDRs
12 and individually is helping us, the industry work
13 through these problems, it's just going to be a
14 slog to work through. I think Dan made an
15 important point in his presentation, the large
16 trade reporting system for futures took tens of
17 years to get to the point where it is, and I would
18 argue that it's not nearly as complicated in terms
19 of the problem that it solves where much more
20 fragmented OTC swaps market, much less standard
21 instruments, and on top of it, rules that require
22 real-time reporting and movement of data around in

1 real-time between multiple entities.

2 I mean, it is a complicated thing. So I
3 think some of this is to be expected and I think
4 we just have to work through it as an industry and
5 we'll get there.

6 The second point I would make is, I
7 think it's important to talk about cleared and
8 uncleared swaps separately. I agree
9 wholeheartedly with Brian in that for cleared
10 swaps, that data is the clearinghouse, there's no
11 under or over reporting, there's margin calls on
12 that data every day, so it has to be 100 percent
13 correct. The fact that there is a trade that
14 exists, there's a position, who is the buyer and
15 who is the seller, so, you know, there is, it
16 should not be rocket science, as Ananda alluded
17 to, for those cleared trades to get into an SDR
18 with whatever USI audit trail that we need and
19 whatever alpha trade termination message is being
20 received and processed. So that is incumbent
21 upon, I think, the three SDRs and the working
22 group to make that happen as quickly as possible.

1 From an uncleared swaps standpoint, we,
2 for the commodity asset class, ICE operates a, one
3 of the large or maybe the largest, I don't know,
4 commodity swap data repository, primarily
5 uncleared swaps in the asset class that
6 potentially has the most variation in data. And I
7 can tell you there, it is going to ultimately be
8 incumbent upon the SDRs, hopefully on the back of
9 some standard UPIs or some other type of industry
10 embrace of taxonomy, to enforce the requirement
11 that quality data get in the SDR.

12 We've taken our knocks with our
13 customers sending us data that we've rejected
14 because it was improperly formatted or it was
15 incomplete or it didn't meet the standard field
16 definitions. They had to go back and fix it and
17 send it to us again, but we've been fairly strict
18 about that. We've been very strict about that,
19 because if -- garbage in is garbage out. So I
20 think on the uncleared swaps base, I think it's
21 important that the SDRs have a common approach in
22 that regard.

1 The last point I would say is, of course
2 end users need access to the SDR to be able to see
3 the trades that they are parties to that are
4 there, correct, edit any information that may be
5 wrong. I think we're kind of crawling before we
6 walk and run in that regard. I mean, I suspect, I
7 know ICE does, I'm sure, I would think any of the
8 SDRs have the ability for users to log in to those
9 systems and see those trades, probably export
10 data. Are we at the point of APIs? No, I don't
11 think we are. For somebody as certainly as
12 sophisticated as BlackRock, I'm sure we'll get
13 there.

14 No question they're necessary. There's
15 no reason not to have them. But I think it's
16 early days in that regard. And I'm, you know, as
17 we operate a couple of SDRs, and I can tell you,
18 even though making it available for end users to
19 access that data and review it, very few avail
20 themselves of that at this stage, but again, I
21 think it's early days. That's it.

22 CHAIRMAN O'MALIA: Bob.

1 MR. GARRISON: Yeah, I just have a quick
2 comment and then an ask. And the comment is
3 similar to what other people said that, you know,
4 in the little more than a year to be able to get
5 up and functioning what this industry has been
6 able to get up, with a situation where you have
7 immensely complex data and as a result immensely
8 complex systems, really is quite a good place to
9 be when you step back at a high level and look
10 at what's been accomplished. That's not to
11 minimize at all the issues that we have going
12 forward.

13 The ask is around the cross-divisional
14 working group, which I think is a tremendous step
15 forward. The ask that I would have is, you know,
16 as we have each division head, they all have
17 different seats, different requirements, things
18 that they want to see; I think it would help to
19 have things approached in a very formal way and
20 prioritize the work that you'd like the industry
21 to focus on. We can't solve everything all at
22 once, so to have a sense of what's most important

1 to the CFTC to move forward, I think would be very
2 important.

3 As Dan said, this is an iterative
4 process, we'll work through those things in the
5 priority order that gets set, but I think having
6 that working group be formal and come up with
7 priorities and collaborate very actively with the
8 practitioners of the data across the market
9 participants, I think is also very important.

10 CHAIRMAN O'MALIA: I completely agree
11 with that, especially when it comes to our own
12 budgeting, because if we can't figure out what
13 their priorities are, it's tough to spend the
14 money based on their priorities which I think will
15 dictate our investment. Any final comments from
16 our division directors? We greatly appreciate
17 your thoughtfulness, your directness, and
18 willingness to answer all of these questions. So
19 thank you very much.

20 We obviously look forward to having a
21 very effective cross-divisional working group. We
22 are over time. I'm going to try to keep that 1:15

1 timeframe, which only gives us 45 minutes for
2 lunch. For the TAC members and witnesses
3 we'll be taking you upstairs for lunch.
4 And I apologize for running over, but a
5 lot to get through. So thank you very much. See
6 you at 1:15.

7 Can we start panel two, please. Okay.
8 Panel two, we're going to have a discussion about
9 something we've been kicking around on
10 this TAC committee for the past three and a half
11 years and that's what are appropriate pre-trade
12 controls in the market and how do we think about
13 them and what problems are we trying to solve. So
14 to kick us off, we will have Sebastian Pujol Schott, our
15 Associate Director of the Division of Market
16 Oversight, who was largely responsible for
17 drafting the concept release. I think he's going
18 to present what it is the proposal does and maybe
19 some of the comments, an overview that we've
20 received thus far.

21 And we've received dozens of very good
22 comments, some extraordinarily long comment

1 letters. And so, you know, at least somebody has
2 an opinion about this stuff, so this should make
3 for an interesting discussion. And then we have,
4 what do we have one, two, three, or four panelists
5 afterwards. A sampling of some of the comment
6 letters that will give us, you know, to help frame
7 this debate a little bit, and then we can have a
8 full discussion. So after Sebastian, I'll
9 recognize the panelists.

10 I don't know, have you guys decided how
11 you want it in order? All right. Well, it will
12 be ladies first.

13 Sorry about that, Caitlin. So,
14 Sebastian, please kick us off.

15 MR. SCHOTT: Thank you. Good afternoon,
16 Commissioner O'Malia and members of the Technology
17 Advisory Committee. Thank you for the opportunity
18 to update the TAC on the Commission's concept
19 release on risk controls and system safeguards for
20 automated trading environments. As you may recall
21 the concept release was published in the Federal
22 Register on Thursday, September 12, 2013. The

1 Commission offered a 90-day comment period that
2 closed on December 11th of last year.

3 The Commission subsequently reopened the
4 comment period from January 21 through February
5 14th. All comments received are available on
6 CFTC.gov, and new comments are posted as they
7 arrive. To date as you mentioned, Commissioner
8 O'Malia, the Commission has received 33 comments.
9 And in addition staff has met with several
10 industry parties. Persons and entities submitting
11 comments include trade associations, public
12 interest groups, futures exchanges, an array of
13 trading firms, members of academia, a U.S. Federal
14 Reserve Bank, and consulting technology and
15 information service providers in the financial
16 industry.

17 Many of the comments received are
18 detailed and thorough, including some comment
19 letters that addressed all 124 questions presented
20 in the concept release. One commenter conducted
21 surveys of its member firms to gauge existing risk
22 management practices. Other commenters provided

1 academic papers in support of their points of
2 view. And some focused on elements of the concept
3 release that are of particular interest to them.

4 Staff is appreciative of all comments
5 received and of the work that went into
6 preparing these comments. Currently an
7 interdivisional team of Commission staff is
8 studying the comments and will make initial
9 recommendations regarding any next steps that may
10 be appropriate. My statement today will recap the
11 structure and content of the concept release as
12 well as provide a high level overview of the
13 comments received and reviewed thus far.

14 As members of the TAC are aware, the
15 concept release asks whether existing risk
16 controls and automated trading environments are
17 sufficient to match the technologies and risks in
18 modern markets. In this regard the concept
19 release focuses on the totality of the automated
20 trading environment, including the progression of
21 orders from the automated trading systems that
22 generate them to the clearing firms that guarantee

1 customer orders and onto execution by registered
2 trading platforms.

3 The concept release also addresses ATs,
4 themselves, including their design, testing, and
5 supervision, as well as change management
6 practices. Finally, it raises a number of related
7 issues ranging from the underlying data streams
8 that are used by ATs to inform their trading
9 decisions to special considerations involved in
10 trading via direct market access and whether terms such
11 as high-frequency trading should be defined by
12 Commission regulations.

13 The concept release was informed by a
14 number of factors, including controls already in
15 use by one or more industry participants such as
16 trading firms and exchanges; existing CFTC
17 regulatory standards, particularly standards that
18 have been developed over the last several years of
19 rulemaking; best practices developed by expert
20 groups, including the Technology Advisory
21 Committee, FIA Principal Traders Group, IOSCO, and
22 others.

1 The concept release begins with an
2 overview of the automated trading environment,
3 including the development of automated order
4 generation and trade matching systems, advances in
5 high-speed communication networks, the growth of
6 interconnected automated markets, and the changed
7 role of humans in markets particularly in the risk
8 management process. It also highlights the
9 importance of ATSS as tools for the generation and
10 routing of orders. These developments were
11 addressed through a series of 23 potential risk
12 controls and other measures broadly grouped into
13 four categories.

14 The first includes pre-trade risk
15 controls such as controls designed to prevent
16 potential errors or disruptions from reaching
17 trading platforms or to minimize their impact once
18 they have reached platforms. Specific pre-trade
19 risk controls include maximum message rates,
20 execution throttles, and maximum order sizes among
21 others. Depending on the measure, pre-trade risk
22 controls could be applicable to all trading firms,

1 to trading firms that operate ATSS, to clearing
2 firms, or to trading platforms. The concept
3 release includes a total of eight pre-trade risk
4 controls and sub-controls.

5 And in that regard I do want to
6 emphasize that even though a lot of discussions is
7 around ATSS, when you look at the specific
8 entities that contemplates, do, or might implement
9 a risk control; there are instances in which does
10 not discriminate on the nature of the order
11 generation process. It can be automated, it can
12 be high frequency, it can be manual. It's not
13 necessarily calibrated to that particular
14 parameter.

15 A second category of safeguards includes
16 post-trade reports and other post-trade measures.
17 Examples of this category include reports that
18 promote the flow of order, trade, and position
19 information across market participants. It also
20 includes uniform trade adjustment or cancelation
21 policies and standardized error-trade reporting
22 obligations by market participants. These

1 measures could be applicable to all trading firms,
2 to trading platforms, or to clearinghouses. There
3 are a total of five post-trade reports and other
4 measures or sub-measures in this category
5 including post-order, post-trade, and
6 post-clearing drop copies.

7 The third category of risk control is
8 discussed in the concept release is termed system
9 safeguards. These include safeguards for the
10 design, testing, supervision, and change of
11 management of automated trading systems as well as
12 the measure such as kill switches that facilitate
13 emergency intervention. Such safeguards would
14 generally be applicable to trading firms operating
15 ATSS, and depending on the control, might also
16 apply to trading platforms and others. The
17 concept release presents a total of seven system
18 safeguards, some with subparts.

19 Finally, the concept release also
20 presents a fourth category of measures that could
21 be relevant to risk reduction in modern markets.
22 These include, for example, the registration of

1 firms operating ATSS and not otherwise registered
2 with the Commission. And policies and procedure
3 for identifying related contracts across markets,
4 for example, securities and derivatives markets.

5 As a threshold matter, the concept
6 release recognizes that orders and trades in
7 automated environments pass through multiple
8 stages in their life cycle, from order generation,
9 to execution to clearing and steps in between
10 accordingly it solicits comment regarding the
11 appropriate stage or stages at which risk controls
12 should be placed.

13 Focal points for implementation of risk
14 controls described in the concept release include
15 ATSS, prior to order submission; clearing firms;
16 trading platforms, prior to exposing orders to the
17 market; clearinghouses; and certain options, other
18 options such as third-party hubs to which orders
19 or order information could flow to uniformly
20 mitigate risks across various platforms. The
21 concept release recognizes that the appropriate
22 focal point or points for a risk control may

1 depend on the type of control or on its intended
2 purpose. Accordingly, it specifically seeks
3 comment on this question, and on the desirability
4 of a layered approach that places the same or
5 similar risk controls at more than one stage of
6 the order and trade life cycle.

7 Now moving onto the comments that the
8 Commission has received thus far. I think, given
9 the variety and complexity of matters raised in
10 the concept release, it's understandable that
11 commenters expressed a range of opinions. And my
12 intent today is to provide an overview of that
13 range of opinions based on what the staff has
14 determined thus far. However, I do want to
15 emphasize that the inclusion or the omission of a
16 particular topic or comment should not be
17 interpreted as being of special significance or as
18 foreshadowing any particular direction. I'm
19 speaking only for myself.

20 Many commenters expressed satisfaction
21 that the Commission has undertaken this review of
22 risk controls and system safeguards in automated

1 trading environments. A number of parties support
2 some degree of Commission action in at least some
3 areas. For example, eight commenters expressed
4 general support for coordination between the
5 Commission and other regulators. Several
6 specifically noted that coordination in the
7 securities space to better address circuit
8 breakers and trading halts across markets would be
9 helpful.

10 Most commenters also expressed support
11 for a multi-layered approach to risk controls. One
12 commenter stated, for example, the holistic
13 approach, I'm quoting, with overlapping
14 supervisory obligations offers the most robust
15 protection by engaging all levels of the supply
16 chain and eliminating the possibility that a single
17 point of failure will cause significant harm to
18 the market.

19 At the same time other measures
20 contemplated in the concept release drew
21 opposition by a majority of commenters. For
22 example, of the seven parties who have thus far

1 commented on the idea of risk control through a
2 centralized credit hub, five were opposed to the
3 idea and two were supportive. Those opposed cited
4 costs, complexity, and the potential concentration
5 of risk.

6 Certain questions in the concept release
7 drew a very divergent opinion across comment
8 letters. For example, commenters disagreed on the
9 need for a regulatory definition of high-frequency
10 trading. Of the nine parties who commented on
11 this point, five were opposed to a definition
12 while four were in favor. Those opposed to
13 defining HFT suggested that no clear metric
14 distinctions could be drawn between automated
15 trading and high-frequency trading or that any
16 definition of HFT would become obsolete over time.
17 Those who favored the definition generally also
18 favored controls that were specifically aimed at
19 HFT firms.

20 A commenter's opinion as to whether HFT
21 should be defined typically ran in parallel with
22 its opinion as to whether risk controls should

1 apply equally to all automated systems or whether
2 HFT deserves special regulatory attention. Those
3 requesting HFT specific measures logically saw a
4 need to define HFT.

5 At least one commenter; however, noted
6 that some pre-trade risk controls contemplated in
7 the concept release would apply even to orders
8 placed manually. More fundamentally, academic
9 commenters, some academic commenters, discussed
10 concerns around the speed of trading, including
11 within exchange order books. They suggested steps
12 to slow trading.

13 I do want to note as you're aware
14 and the TAC is aware that, you know, the concept
15 release has made every effort to be an agnostic
16 document. It did not include rule proposals. So
17 the comments, you know, sometimes were bouncing
18 off of specific items in the concept release and
19 sometimes they were extrapolating to things that
20 might not necessarily have been present there.

21 One recurring theme across comments is
22 whether pre-trade risk controls and other measures

1 should be principles based or whether they should
2 be more granular. Many industry participants
3 stated that if the Commission were to pursue
4 rulemaking in this area that any rules should be
5 principles based. These commenters argued that
6 prescriptive requirements will become obsolete as
7 technologies advance, that prescriptive
8 requirements may not account for the unique
9 characteristics of different market participants,
10 and that prescriptive requirements could result in
11 participants designing around such measures, in
12 particular if rules required specific benchmarks,
13 there was concerns that participants might
14 specifically operate one tick, one measure beneath
15 that benchmark.

16 Similarly, some commenters noted that the
17 best way to achieve any desired standardization of
18 risk controls is not through prescriptive
19 Commission regulation, but rather through
20 implementing best practices developed through
21 working groups of DCMs, FCMs, and other market
22 participants. Opinion was divided on this point,

1 however.

2 Other commenters did express a need for
3 more prescriptive rules. One commenter, for
4 example, argued that prescriptive rules are
5 necessary unless the Commission receives
6 documentation that the risk controls implemented
7 by firms and exchanges are consistent and
8 effective. Another commenter questioned whether
9 the incentives facing industry participants would
10 permit them to "sacrifice speed for prudent risk
11 controls."

12 Finally, as with the high level of
13 questions discussed above, many of the specific
14 pre-trade risk controls, the 23 enumerated
15 controls and other safeguards discussed in the
16 concept release, drew divergent opinions, usually
17 around the question of whether there was any need
18 for a regulatory action with respect to that
19 control or if an action is appropriate, how
20 granular or principles based a regulation should
21 be.

22 Through the comments received, the

1 Commission is now in possession of more detailed
2 information regarding individual risk control
3 practices at both trading firms and at the
4 exchange level. This was one of the important
5 objectives of the concept release. And again,
6 staff is grateful for the comments received that
7 will help us understand the current practices
8 better. As I noted previously, an interdivisional
9 team continues to review all comments received and
10 to refine its thoughts.

11 Thank you again to members of the
12 Technology Advisory Committee, and to Commissioner
13 O'Malia for having this dialogue and for
14 continuing and for allowing staff to participate
15 so that we may refine our thinking further.

16 CHAIRMAN O'MALIA: Good. Thank you,
17 Sebastian. As I mentioned it earlier, but the
18 comment period for this concept release has been
19 extended until the end of this week, Valentine's
20 Day. Send your comments in by Valentine's Day,
21 and you'll be loved. So if there are any
22 additional comments people have. But it was

1 largely to accommodate this discussion to make
2 sure we had this on the record and part of the
3 discussion is to inform that record. So those who
4 believe they might have missed it, there's still
5 time.

6 Caitlin, can we ask you to go next,
7 please.

8 MS. KLINE: Hi, thanks. Can you hear
9 me? How is that?

10 I appreciate the opportunity to discuss
11 the concept release on automated trade
12 environments. The release is ambitious in its
13 attempt to catalog and consider the extent to
14 which modern markets operate outside of existing
15 regulatory frameworks. And these were designed to
16 oversee markets that were operationally distinct
17 in every meaningful way from our current
18 marketplace. And the release focuses on the value
19 of prescribing a definition of high-frequency
20 trading as independent from other automated
21 systems.

22 And we see a value in a holistic

1 definition, particularly in its ability to
2 identify firms for registration with the
3 Commission and bring them under regulatory
4 oversight, but it's equally important to
5 reconsider the way we think about related trading
6 practices in the context of automated markets
7 since they, too, were defined with a manual market
8 in mind. And specifically, reconsidering the
9 conventional understandings of market making and
10 liquidity provision, stability, and front running,
11 and whether current market definitions of these
12 practices continue to be applicable in a
13 high-speed algorithmic environment. With respect
14 to liquidity provision, true liquidity is a
15 difficult concept to meaningfully quantify, but
16 generally there's agreement about certain features
17 of liquid markets in practice, like market depth
18 and tradeable bids and offers and prices and
19 spreads that are reflective of market risks.
20 Without a doubt high-frequency traders almost by
21 definition create tremendous volume in their
22 markets, but volume on its own is of course not

1 equivalent to liquidity.

2 For example, to the extent that an HFT
3 programatically retreats when market activity
4 falls, it doesn't provide useful liquidity to
5 the market. So to the extent that an ATF works to
6 demonstrate tight bid offers, but uses its speed
7 to avoid execution at the bid of the offer or
8 moves its tight bid offer away from a detected
9 flow, it doesn't provide useful liquidity. And to
10 the extent that a strategy amounts to penny
11 jumping, rebate harvesting, quote stuffing, churning,
12 spoofing, layering, hanging, order book feeding;
13 it doesn't provide useful liquidity and it's
14 almost certainly abusive.

15 With respect to stability it's important
16 to note that while the market's understanding of
17 what constitutes short term may have decreased
18 significantly in recent years, but it's
19 understanding of long term with respect to
20 investors hasn't changed. And it's unclear how a
21 market where average holding times are measured in
22 seconds, provides a stable platform the allocation

1 of capital.

2 Importantly, the potential for
3 dislocations to propagate rapidly between
4 unrelated markets suggests that our current market
5 structure might not, in fact, be able to provide
6 stability for our current market activity. And
7 the sheer level of complexity in the release
8 speaks to the possibility that retrofitting an
9 antiquated marketplace with adequate risk
10 controls, to the extent that it's possible to
11 responsibly do so, could far exceed the cost of
12 redesign.

13 Finally, with respect to front running,
14 the conventional understanding of front running
15 encompasses a broker positioning himself to profit
16 from information that he has yet to be given to
17 his clients. In the context of manual markets,
18 that nonpublic information is thought of as other
19 client flows or research, but in all cases it's
20 using advanced knowledge of market information to
21 profit from your clients.

22 When HFTs receive and digest market

1 information many times faster than investors, they
2 effectively are able to see the future. And in
3 most meaningful ways, latency arbitrage often
4 amounts to programmatic front running. And of
5 course it would be correct to argue that these
6 aren't technically front running when applying an
7 outdated understanding of it, but it's unclear how
8 many of these high-speed strategies are not
9 functionally front running.

10 With respect to the release, the crucial
11 point to note is that these types of modern front
12 running behavior can't be caught by looking at
13 trade data alone. Bids, offers, and crucially
14 order cancellations would all be required to
15 reconstruct a manipulative trading pattern. And
16 as the release shows, many of the issues are
17 pretty complicated when we're looking at automated
18 markets. But strategies that amount to illegal
19 manipulation when performed over the course of
20 minutes or hours, should be permitted -- should be
21 prohibited when they take place within
22 milliseconds as well. And practices that are

1 illegal when performed by humans, should be
2 equally illegal when done by computers. And if
3 their regulatory framework isn't able to
4 effectively account for these, then there is an
5 urgent need to adapt the rulebook to match the
6 playing field.

7 One final note with regards to the
8 question of what this release aims to achieve.
9 The release asks a lot of important questions
10 about how to approach new regulation, but it fails
11 to ask whether the public does, in fact, continue
12 to be served by millisecond increment increases in
13 the speed of trading at potentially great systemic
14 risk, or does the public continue to benefit from
15 the slight narrowing of bid offer spreads in more
16 volatile and less stable markets? It's critical
17 that the Commission doesn't lose sight of the
18 bigger picture when implementing important and
19 urgently needed reforms. Thank you.

20 CHAIRMAN O'MALIA: Thank you very much.
21 Stuart, I guess we'll just go right down the line.

22 Stuart Kaswell representing MFA.

1 MR. KASWELL: Thank you. I do have a
2 PowerPoint. I don't know if this is set up, or if
3 I should just go ahead and --

4 CHAIRMAN O'MALIA: It should be set up.
5 You can go back to the -- it should be preloaded
6 on there, but maybe we can get our technology --
7 if you reduce this screen, it should be loaded on
8 the home page.

9 MR. KASWELL: I can go without, but if
10 it's here. Okay. So now that we've had the
11 tryout for technology. Thank you very much,
12 Chairman O'Malia and members of the TAC. Thank
13 you very much for inviting me. I'm Stuart
14 Kaswell, and I'm General Counsel of the Managed
15 Funds Association. And with me today is my
16 colleague, Jennifer Han, who is Associate General
17 Counsel. We appreciate the opportunity to make
18 comments today and to elaborate on our comment
19 letter. We filed one of those painfully long
20 letters, which I'm sure you enjoyed reading, but
21 do very much appreciate the chance to make our
22 comments to the Commission and to TAC.

1 MFA represents hedge funds, managed
2 future funds, and fund to funds. Our members
3 serve pensions, university endowments, and other
4 institutions. Our members are active in the
5 derivatives markets, so we have a strong interest
6 in ensuring that the derivatives market's
7 regulatory framework is robust and evolves to meet
8 the needs of our investors. Okay.

9 Yeah, there we go. Oh, okay, now I'm
10 just going to keep clicking away? Yeah. Oops.
11 You know what, I'm going to just keep going.
12 Okay. Now we're on the technology page.

13 Markets and technology have evolved
14 together over centuries. Today's electronic
15 trading platforms are simply the latest step in
16 the evolution of markets. Technological
17 developments have created more choices and lower
18 costs for all market participants. MFA believes
19 we should embrace these changes as positive. We
20 should not fear technological developments any
21 more we should fear the move from dial-up to
22 high-speed Internet.

1 So we go to the next slide. If
2 technological change is good, we must recognize
3 that new technology has changed the risks we face.
4 In the age of electronic markets, we believe it is
5 more important than ever to ensure that there are
6 appropriate risk controls and system safeguards in
7 place. We believe, however, that it would be a
8 mistake to frame the discussion of risk controls
9 and system safeguards solely around automated
10 trading, instead we really should focus on
11 electronic trading. We note the SEC staff has
12 found that old-fashioned human mistakes and not
13 some HFT super computer have caused sudden
14 price spikes or so-called mini flash
15 crashes.

16 We've reviewed the current safeguard --
17 I'm sorry. We have reviewed the current framework
18 for risk controls and system safeguards, and we
19 have a few recommendations which I'll get to
20 shortly. Generally we believe the CFTC already
21 has adopted the right framework. The CFTC has
22 rules requiring FCMs, swap dealers, MSPs, and SEFs

1 to have risk controls in place. We believe the
2 regulatory --

3 Okay, all right. I'm just going to keep
4 going. We believe the regulatory framework best
5 addressed and mitigates risk by, one, centralizing
6 risk controls and system safeguards at the trading
7 platform and intermediary levels and, two,
8 requiring such entities to require real-time
9 post-trade reports that market participants can
10 use to enhance monitoring of their trading
11 activities. This approach optimizes customer
12 protection and market integrity, promoting
13 responsible innovation and fair competition. It
14 also would be consistent with the Commission's
15 prior rulemaking.

16 Trading platforms and other
17 intermediaries are the gateways to trading
18 activity. They're in the best position to block
19 inappropriate or erroneous orders entered by
20 customers. Market participants are customers that
21 operate or use automated trading systems already
22 employ various risk metrics and trading parameters

1 that are congruent with their trading and
2 investment strategies. They have a strong self
3 interest in ensuring that they have robust risk
4 controls.

5 Problems arise when a market
6 participant's risk controls fail or experience a
7 software malfunction. The most effective way to
8 mitigate such risks is to require external
9 real-time risk controls at the FCM, swap dealer, or
10 MSP levels, and at the trading platform level.
11 With this framework in mind, I'll now discuss some
12 of MFA's recommendations.

13 Generally, we believe trading platforms
14 and intermediaries are in the best position to
15 determine which pre-trade risk controls are
16 necessary and appropriate. Intermediaries or
17 trading platforms should manage and implement such
18 controls because the controls need to be
19 fine-tuned to market characteristics. They are in
20 the best position to adapt and modify controls to
21 ensure that the controls evolve with market
22 practices and can avoid disruptions to orderly

1 markets.

2 Nonetheless, we do have two specific
3 recommendations. We believe that intermediaries
4 or trading platforms should implement two crucial
5 pre-risk -- pre-trade risk controls, maximum order
6 size and credit risk limits. The maximum order
7 size or fat-finger limit is the first. Manual or
8 electronic traders are more prone to fat-finger
9 errors than automated trading systems in which the
10 size of an order is programmed as a parameter.
11 Some FCMs already offer their customers fat-finger
12 limit controls which allow customers to customize
13 and set their preferred default levels, including
14 order limits that apply to each individual direct
15 order and so on. We believe all FCMs should offer
16 such order size functionality to customers at the
17 trader level.

18 The second recommendation is credit risk
19 limits. These are an effective pre-execution
20 filter. And like maximum order limit controls,
21 FCMs in the trading platforms should offer credit
22 risk limit controls and work with customers and

1 members in agreeing to a maximum credit limit.
2 Market participants employing automated trading
3 systems build credit limits into their platforms
4 and external control at an FCM trading platform
5 would help mitigate a customer or member software
6 malfunction.

7 Another issue that the concept release
8 raises is price collars and trading pauses. We
9 believe price collars and trading pauses can be
10 effective risk mitigation tools. Price collars
11 have long been effective in the futures market.
12 The benefits of price collars became especially
13 apparent after the flash crash. And we've
14 submitted comments to the SEC and others to
15 implement a limit-up, limit-down mechanism.
16 Interesting the joint CFTC-SEC Advisory Committee
17 on emerging regulatory issues was not the first
18 task force to recommend the implementation of a
19 price collar.

20 The presidential task force on market
21 mechanisms created to investigate the October 19,
22 1987, market crash recommended that across the

1 stocks, futures, and options markets there should
2 be coordinated circuit breaker mechanisms such as
3 price limits and trading halts. We believe
4 trading platforms should coordinate price collars
5 for linked equity products. With respect to
6 trading pauses that since the equity markets have
7 adopted circuit breakers, we believe it's
8 important to consider whether a related
9 exchange-traded derivative product should also be
10 paused or halted from trading.

11 Regarding post-trade reports, we
12 recommend the CFTC should amend and broaden its
13 regulation to require real-time post-trade reports.
14 These reports would allow customers independently
15 to confirm orders and trades sent to their FCMs
16 and to confirm their overall positions, assist
17 with the clearing firm's ability to assess
18 customer risk, and potentially mitigate the impact
19 of malfunctioning pre-trade risk controls or
20 algorithms. By comparison, when I shop online, I
21 immediately get a confirm that says what I bought
22 and can tell me how to track it on FedEx. We

1 think that the CFTC regulations could require
2 something similar.

3 On the -- oh, you're a little ahead of
4 me. Okay. Trade cancellations and adjustment
5 policies. One lesson from the flash crash is that
6 uncertainty around marketplace trade cancellation
7 and adjustment policies likely contributed to
8 market participant's confusion. We believe it's
9 imperative that trading platforms have clear and
10 objective trade cancellation and adjustment
11 policies that limit administration discretion and
12 instill accountability. Especially in terms of
13 market distress, rules need to be predictable.

14 Next, I move to kill switches. As a
15 system safeguard, we believe that market
16 participants operating in ATS trading platforms
17 and even the market participant's clearing firm
18 should each have the capability to disconnect the
19 ATS from trading platforms in the event that a
20 software glitch or some other unforeseen reason makes it
21 necessary. Although trading platforms should have
22 clear objective, policies, and procedures

1 detailing circumstances that warrant the use of a
2 kill switch; we also believe they should have some
3 flexibility in using it based on experience with
4 the trading style or strategies of each market
5 participant or instruction by the market
6 participant at issue.

7 Moreover, if a trading platform is aware
8 of a critical ATS systems issue, it should have a
9 responsibility to disconnect it, to protect market
10 participants, market integrity, and the public in
11 general.

12 Regarding design and testing and
13 supervision, we support a more robust and more
14 routine testing of trading software. Trading
15 platforms should offer market participants and
16 intermediaries the ability to test their automated
17 trading systems with trading platform software.
18 Trading platforms also should offer integrated or
19 holistic testing where a firm's software interacts
20 with others. As an aside we note, and I know
21 we're getting to SEFs at another time, but we
22 question whether the accelerated implementation of

1 mandatory SEF trading provides the appropriate
2 time for testing with respect to ATSSs.

3 We would caution against a
4 one-size-fits-all approach. Policies and
5 procedures for market utilities or service
6 providers may not be appropriate or effective for
7 market participants or customers with respect to
8 the testing programs.

9 I have a couple of final comments to
10 wrap up. On minimum resting periods, we don't
11 support these because they would reduce the
12 ability of market participants to react to
13 changing market conditions and make them
14 susceptible to having orders that become stale and
15 get picked off. We think that would overall hurt
16 market liquidity and market participation. I
17 would note that EU, policymakers, have decided
18 against a 500 millisecond resting period in Mifid
19 II.

20 Regarding high-frequency trading on HFT,
21 we believe that a new definition would not be the
22 effective way to go. We think that technology,

1 technological means are the best ways to address
2 technological challenges. We think that market
3 manipulation is illegal and we shouldn't tolerate
4 it whether it's committed electronically or with
5 carbon paper, but we urge technology to address
6 these challenges.

7 Thank you for the opportunity to present
8 our views. I appreciate the assistance of MFA's
9 trading and markets committee and my colleague,
10 Jen Han, who tried mightily to make the PowerPoint
11 work.

12 CHAIRMAN O'MALIA: Thank you very much.
13 So, Eric, you're going to go next.

14 MR. BUDISH: Yes.

15 MR. KASWELL: Maybe you'll have better
16 luck.

17 MR. BUDISH: Yeah.

18 MR. KASWELL: We'll see. I'll trade
19 with you.

20 MR. BUDISH: Oh, thanks. Great well,
21 thank you very much. Eric Budish from the
22 University of Chicago. Commissioner O'Malia,

1 distinguished committee members, thank you very
2 much for the opportunity to present my research.
3 It's a great honor. This is joint work with Peter
4 Cramton at the University of Maryland and John
5 Shim also at the University of Chicago. We hope
6 our work will be a useful input into your policy
7 debate on automated trading.

8 The concept release is quite broad. We
9 focus our research on the arms race for speed
10 because it is the root cause of many of the issues
11 and the concerns raised in the release. I want to
12 start with an example that will be familiar to
13 many in this room, which illustrates the
14 high-frequency trading arms race. In 2010, a firm
15 called Spread Networks invested 300 million
16 dollars to dig a high-speed fiber optic cable from
17 New York City to Chicago, and the salient feature
18 of this cable was that it was dug in a relatively
19 straight line. The straightness shaved round-trip data
20 transmission time from 16 milliseconds to 13
21 milliseconds. And three milliseconds doesn't
22 sound like much relative to the speed at which the

1 economy evolves. Just to put in context, blinking
2 your eye takes 400 milliseconds.

3 Industry observers called three
4 milliseconds an eternity. The joke at the time
5 was that the next innovation would be to dig a
6 tunnel so it will go through the earth rather than
7 around the earth to further shave data
8 transmission time. And that joke isn't funny
9 to Spread at least, because their cable is already
10 obsolete. Not due to tunnels, but microwaves.
11 First 10 milliseconds, then 9 milliseconds. The
12 state-of-the-art microwave connection between New
13 York and Chicago is now at 8.5 milliseconds. And
14 there are analogous races occurring sometimes
15 measured in millionths or even billionths of
16 seconds in various corners of the financial
17 markets estimated at consuming billions of dollars
18 a year in physical resources not to mention
19 substantial human capital.

20 We look at the high-frequency trading
21 arms race from the perspective of market design.
22 And what I mean by that is that we assume that

1 high-frequency trading firms are acting in their
2 rational self-interest with respect to market
3 rules as they're presently given, but we take
4 seriously the possibility that we have the wrong
5 rules in place. This approach gets us around the
6 good versus evil debate which seems to dominate
7 the public discussion of HFT and instead allows us
8 to ask at a deeper level, what is it about market
9 design that is incentivizing arms race behavior
10 and is this market design optimal?

11 Our central point is that the HFT arms
12 race is a symptom of a basic flaw in modern
13 financial market design, and that flaw is
14 continuous time trading. We propose to make time
15 discrete. More specifically, we propose to replace
16 continuous limit order book trading with batch
17 auctions conducted at frequent but discrete time
18 intervals such as once per second or once per
19 hundred milliseconds.

20 I'm going to go through three years of
21 work in about 15 minutes. So I ask you to bear
22 with me if I sacrifice breadth -- if I sacrifice

1 depth for breadth and cover the material rather
2 quickly. Let me go right into our argument.

3 Our first set of results shows that
4 continuous time markets don't work as expected in
5 continuous time. This is a plot of the price
6 paths over the course of a trading day for the two
7 most liquid securities that track the S&P 500
8 Index. The E-mini future which trades in Chicago
9 on the CME and the Spider which trades in New York
10 on the New York Stock Exchange and the various
11 other equities exchanges. This is millisecond
12 level data over the course of a full trading day.
13 We use the same direct-feed data that
14 high-frequency trading firms subscribe to.

15 And over the course of a trading day,
16 these two securities are very highly correlated as
17 we'd expect. Here's an hour of data, again, the
18 securities are very high correlated. A minute of
19 data, again, very highly correlated. And this is
20 what the market starts to look like when you zoom
21 into high-frequency time scales. So this is a 250
22 millisecond slice of the day. And what you start

1 to see when you zoom into high-frequency is that
2 the correlation between assets falls apart. Price
3 relationships that are implied by basic asset
4 pricing theory fall apart when you zoom into high
5 enough frequency.

6 This is a fancy way of showing that this
7 phenomenon isn't going away. On the right-hand
8 side of the graph, we see that in each year of our
9 data from 2005 to 2011, the market is getting
10 faster in the sense of incorporating information
11 from New York and Chicago prices and from Chicago
12 into New York prices. And that shows up as the
13 hundred millisecond correlation getting higher
14 each year. But on the left-hand side of the graph, we
15 see that in all years at high enough frequency,
16 the correlation between assets is always
17 approximately zero.

18 So why does this correlation breakdown
19 phenomenon matter? It matters because it creates
20 purely technical arbitrage opportunities, which in
21 turn creates an arms race to exploit these
22 opportunities. For instance, when the price of ES

1 jumps and SPY hasn't reacted yet, there's a race
2 to buy the cheap one and sell the expensive one.
3 In this part of our paper, we use the CME
4 and NYSE millisecond data to compute the
5 arbitrage opportunity and look at how it evolves
6 over time.

7 The first thing we find is that the
8 duration of these arbitrage opportunities has been
9 declining dramatically over time. And in 2005,
10 the median opportunity was in excess of 100
11 milliseconds. By 2011, the median was less than 10
12 milliseconds. And the right-hand side shows the
13 distribution of arbitrage length duration by year,
14 which is a more sophisticated way to show that the
15 market is getting faster and faster.

16 The profits for arbitrage opportunity;
17 however, have stayed flat over time, they're not
18 being competed away over time. And the frequency
19 of arbitrage opportunities does fluctuate over
20 time, but we find that this frequency is driven
21 mostly by market volatility as opposed to market
22 forces competing away the arbitrage. So to

1 summarize, competition does raise the bar for how
2 fast you have to be to capture arbitrage
3 opportunities, but competition does not eliminate
4 the arbitrage or even reduce its total size.
5 These results suggest that we should think of the
6 arms race as a constant of the continuous limit
7 order book market design. It's never going away
8 as long as we have continuous markets.

9 We calculate that the annual value of
10 this one trade, ES-SPY is on the order of 75 million
11 bucks a year. And of course this one trade is
12 just the tip of the iceberg in the race for speed.
13 There are many other trades that are very similar to
14 ES-SPY, highly correlated, highly liquid. In
15 equities markets fragmentation creates arbitrage
16 opportunities that are even simpler than ES-SPY.
17 You can arbitrage a security against itself.
18 Three, there are statistical patterns that are
19 subtler than ES-SPY, but still exploitable by HFT
20 firms. And then, four, there's the race to the
21 top of the book, which is an artifact of minimum
22 tick sizes.

1 We don't want to put a precise dollar
2 value on the prize in the arms race, but common
3 sense extrapolation from our estimates suggest
4 that the annual sums are substantial. So why do
5 we care about the arms race? We show that the
6 arms race ultimately harms liquidity. The key
7 idea in our model is to ask, who are the profits
8 in the arbitrage coming from. And we show that
9 the profits ultimately come out of the pockets of
10 liquidity providers which ultimately are passed on
11 to real investors.

12 So to see why, consider the race from a
13 liquidity provider's perspective. Suppose there's
14 some publically observable news event that causes
15 my quotes to become stale. This can be a jump in
16 the price of ES that causes quotes in SPY to be
17 stale or something more dramatic like a Fed
18 announcement. There's one of me trying to adjust
19 my quotes to reflect the news. So I see the news
20 event, send a message to the exchange to adjust my
21 quote to reflect the news, but at the same time
22 many other market participants see the news event

1 and try to pick off my stale quotes before I can
2 adjust, so we have a race.

3 And in a continuous limit order book,
4 messages are processed one at a time and serial.
5 And since we all have access to the same kinds of
6 information and all use the same kinds of
7 technology, liquidity providers frequently lose
8 the race even if liquidity providers are just as
9 fast as other market participants. So the
10 takeaway is that in a continuous limit order book,
11 any time there is public information, there's a
12 race to respond. And this race ultimately comes
13 out of the pocket of liquidity providers.

14 This technical cost of providing
15 liquidity, we show as incremental to the usual
16 fundamental costs of providing liquidity such as
17 asymmetric information and inventory management.
18 In a competitive market, picking-off costs get
19 passed on to real investors via
20 wider-than-necessary spreads or thinner markets.
21 Ultimately, all of the dollars spent on the arms
22 race come out of the pockets of investors.

1 Let me make a few additional remarks
2 about our model. First, we show that the arms
3 race is an equilibrium constant of the limit order
4 book. It doesn't get competed away as speed
5 technology improves. It doesn't matter whether
6 speed technology -- speed advances are measured in
7 seconds, milliseconds, microseconds, nanoseconds,
8 et cetera. This tells us that the arms race isn't
9 going away unless we change market design just
10 like we saw in the empirics.

11 Second, our model provides a useful
12 conceptual insight into the role of high-frequency
13 traders. In our analysis they perform two
14 functions, a positive useful function, which is
15 liquidity provision and price discovery, and a
16 negative rent-seeking function, which is racing to
17 pick off stale quotes. Frequent batching, our
18 proposal is going to preserve the useful function,
19 but eliminate the rent-seeking function of
20 high-frequency trading.

21 So I'm a Chicago guy and I have to ask
22 the Chicago question, what exactly is the market

1 failure here? Isn't the arms race just healthy
2 competition? So there are two answers to that
3 question, at least two answers to this question.
4 One is that our model highlights that
5 high-frequency traders are stuck in a prisoners'
6 dilemma. And the second answer, and I think this
7 is especially interesting in light of this year's
8 Nobel Prize to my colleague, Gene Fama, on the
9 efficient market's hypothesis, is that our model
10 shows that a violation of the week-form efficient
11 market hypothesis is built into the continuous
12 limit order book market design. It's an
13 equilibrium feature of the market design that
14 traders can make money from purely technical
15 information. Markets are efficient in the short
16 run, but they're not efficient in the extremely,
17 extremely short run.

18 The last part of our paper shows
19 frequent batching is an attractive market design
20 response to the HFT arms race. Changing it from a
21 continuous time design to a discrete time design
22 is a direct solution to the problems we've

1 identified with continuous time markets. What I
2 mean by a frequent batch auction is that there's a
3 discrete time interval, say one second or even 100
4 milliseconds, during that batch interval traders
5 submit bids and asks as price quantity pairs just
6 like current limit orders; at the conclusion of
7 the of batch interval, the exchange batches
8 together all of the bids into a demand curve and
9 all of the asks into the supply curve; if supply
10 and demand intersect, then all transactions occur
11 at the same market clearing price; if they don't
12 intersect, no trade occurs.

13 Information policy is that bids and asks
14 are kept hidden during the batch interval and are
15 reported to the public only after the auction is
16 run. So this is analogous to current practice in
17 the continuous limit order book in which I send a
18 message to the exchange, it's processed by the
19 exchange, and then the outcome is reported
20 publically. An historical aside is uniform price
21 auctions were invented by Milton Friedman,
22 suggested in the 1960s that the Treasury adopt

1 them for bond options. They were subsequently
2 adopted in the 1990s. This is, these are
3 supply-and-demand curves. They either cross or
4 they don't.

5 There are two reasons why batching
6 eliminates the arms race. One, is that batching
7 reduces the value of a tiny speed advantage. If
8 the batch interval is a second, then a one
9 millisecond speed advantage is only one
10 one-thousandth as useful as in the continuous
11 market. Second, batching transforms competition
12 on speed into competition on price. So for
13 example, this past September the Fed made an
14 important no taper announcement at 2:00, 20000.00
15 p.m. In the continuous market there was a race to
16 react and there was a controversy over whether the
17 race was as fast as legally possible or faster
18 than legally possible. In the batch market
19 competition simply manifests in price competition.
20 At the next batch auction a second later,
21 competition simply drives price to its new correct
22 level.

1 There are also important computational
2 benefits to discrete time over continuous time.
3 Conceptually the problem with continuous markets
4 is that they implicitly assume that computers and
5 communications technology are infinitely fast.
6 Computers are very fast, but not infinitely so.
7 And discrete time batching respects these limits.
8 More concretely, there are important computational
9 benefits for algorithmic traders, exchanges, and
10 regulators. For algorithms, in the continuous
11 time market, there's always a small amount of
12 uncertainty about the current state and there's
13 temptation to trade off code robustness for speed.

14 In a discrete time market there's
15 certainty about when I'll receive information,
16 when others will receive information, and by when
17 I have to make decisions. Everybody sees
18 information from the time T-auction in time to
19 make T-plus-one decisions, use information from the
20 time T-plus-one auction in time to make T-plus-two
21 decisions, et cetera. For exchanges, the
22 continuous market creates a computational task

1 that is mathematically impossible. Latencies and
2 backlog are inevitable, and subtle details about
3 the order in which messages are processed can be
4 economically meaningful.

5 In the discrete market the computational
6 task for exchanges becomes trivial. For the
7 regulator in the continuous market, the audit
8 trail is difficult to parse in one market, let
9 alone across markets. Latencies make it difficult
10 to figure out the basic order in which events
11 occurred, as in the several months it took to
12 piece together the sequence of events on the day
13 -- on the day of the flash crash. In
14 the discrete time market, the audit trail is much
15 cleaner. Stuff happens at time T , at time T -plus-
16 one, et cetera.

17 So to summarize, frequent batching has
18 several important benefits over continuous
19 trading. It enhances liquidity, it puts an end
20 to the arms race, and it has substantial
21 computation benefits. The cost is that investors
22 and trading firms have to wait until the end of

1 the batch interval to transact. And we can debate
2 that whether waiting a second or a tenth of a
3 second is a big cost or a little cost.

4 Another cost is the potential for
5 unintended consequences. One should always be
6 humble and cautious when proposing a new market
7 design, though we would also note that the
8 continuous market has, itself, had numerous
9 undesirable, unintended consequences. And that's
10 part of why we're here today.

11 So with that let me conclude. In a
12 nutshell, we show that continuous time trading is
13 a fiction. So you -- and it's a costly fiction.
14 It induces an arms race that harms liquidity, is
15 socially wasteful, and will never end as long as
16 we have continuous trading. Discrete time trading
17 stops the arms race, transforms the nature of
18 competition. Competition on speed becomes
19 competition on price. The incentive to be faster
20 becomes an incentive to be smarter. And we
21 preserve the useful function of HFT while reducing
22 the rent-seeking function of HFT. And third,

1 discrete time trading is computationally
2 realistic.

3 We'd encourage the CFTC to carefully
4 examine a move from continuous time trading to
5 discrete time trading. Thank you again for
6 your time and attention.

7 CHAIRMAN O'MALIA: Thank you very much.
8 We'll go to Rob Creamer. Do you have a
9 PowerPoint?

10 MR. CREAMER: No, I don't.

11 CHAIRMAN O'MALIA: All right. Perfect.

12 MR. CREAMER: So on behalf of the
13 members of the FIA PTG, I'd like to thank the
14 Commission and the members of the TAC for the
15 opportunity to express our views here today. The
16 FIA Principal Traders Group is composed of firms
17 that trade their own capital on exchange traded
18 markets. Our members engage in manual, automated,
19 and hybrid methods of trading and are active in
20 various, in a variety of asset classes, such as
21 foreign exchange, commodities, fixed income, and
22 equities. We are a critical source of liquidity

1 in the exchange traded markets allowing those who
2 use markets to efficiently manage their business
3 risks.

4 The FIA, the FIA PTG, and the FIA
5 European Principal Traders Association have been
6 in the forefront of efforts to strengthen risk
7 controls and system safeguards across the futures
8 marketplace by identifying industry best practices
9 with respect to risk controls that were to reduce
10 the risk of market disruptions due to unauthorized
11 access, software changes, system failures, and
12 order entry errors. We observed that many of the
13 safeguards discussed in the CFTC's concept release
14 have been in place for many years and continue to
15 be improved by the industry.

16 Our system safeguards have suggested
17 that, suggested in FIA and FIA PTG white papers
18 that, go beyond the risk controls and safeguards
19 discussed in the concept release. The FIA PTG
20 supports the CFTC's efforts to improve market
21 infrastructure through effective risk controls and
22 system safeguards. As we've noted in the FIA's

1 response to the concept release, we believe that
2 risk control requirements are most effective when
3 they are principle-based. Any risk control that
4 is overly prescriptive may quickly become obsolete
5 as markets, technology, and trading strategies
6 evolve and as a result may introduce rather than
7 reduce risk.

8 Although we believe the current
9 infrastructure underlying the futures marketplace
10 is very strong, FIA PTG member firms will continue
11 to work further to strengthen that infrastructure.
12 The FIA PTG stands ready to support the work of
13 the CFTC, to better understand where it thinks
14 improvements can be made. The FIA PTG supports
15 efforts of the CFTC to use state-of-the-art
16 technology to more effectively monitor markets and
17 protect market participants from trading abuses.

18 The FIA PTG believes that automated
19 trading technology has provided many benefits to
20 the overwhelming majority of futures market
21 participants. Market quality metrics have been
22 improved across the board as trading has become

1 more automated and competitive. Trading costs are
2 lower, markets are deeper and more liquid,
3 discrepancies in prices across related markets are
4 reduced, and prices better reflect information
5 about the value of commodities underlying futures
6 contracts.

7 The FIA PTG, therefore, believes that
8 any regulatory effort to improve market
9 infrastructure must at a minimum preserve the
10 quality, market quality improvements that have
11 occurred as markets have become more automated and
12 competitive. If the CFTC determines that further
13 regulation in this area is warranted, this
14 determination should be supported by solid
15 empirical evidence and rigorous economic analysis.
16 The FIA PTG believes that in order to prevent
17 market disruption due to a malfunctioning
18 automated trading system, it is pre-trade risk
19 controls, not credit controls, that should be
20 used.

21 Such controls can use various approaches
22 and act on a very granular level to detect unusual

1 activity and to prevent unintended trading. We
2 believe that kill switches if implemented and used
3 properly can serve as an effective last-resort
4 means of risk control, but stress that they are
5 not a panacea and should only be used during
6 extreme events when other courses of action have
7 been exhausted.

8 In a recent survey of FIA PTG firms
9 showed that all responding firms indicated that
10 they used some form of pre-trade maximum order
11 size limits, data reasonability checks, messaging
12 throttles, and self-trade prevention controls. In
13 addition, all responding firms indicated that they
14 were either using or considering using some form
15 of drop-copy functionality to aid with risk
16 management.

17 The FIA PTG does not believe that a
18 clear distinction can be made between
19 high-frequency trading and automated trading. In
20 fact, high-frequency trading, however defined, is
21 a subset of automated trading and should not be
22 used interchangeably with the term automated

1 trading or as a way to arbitrarily identify a type
2 of market participant.

3 The FIA PTG believes that instead of
4 adopting a formal definition of high-frequency
5 trading, the Commission's efforts would be better
6 spent focusing on addressing potential risk of
7 automated trading according to the principle that
8 all market participants should be subject to
9 risk controls. The FIA PTG supports a
10 principles-based approach regarding registration,
11 certification, and identification of automated
12 trading systems.

13 We believe that it should be left to the
14 individual DCMs to define these policies for their
15 market participants. U.S. DCMs have used their
16 own rules regarding identification and
17 registration of an ATS. Such rules are designed
18 to allow the DCM to identify orders generated by
19 automated trading systems and know the supervisor
20 or supervisors behind these systems.

21 We welcome the opportunity to discuss
22 additional information the Commission may seek

1 regarding from the participants that is not
2 already included in the DCM's audit trail. The
3 FIA PTG believes that any type of market-moving
4 data and statements produced by the Federal
5 Government should be governed by processes and
6 released through systems that ensure the accuracy
7 of such data as well as guarantee a broad and
8 timely delivery of that data to market
9 participants.

10 With respect to privately developed
11 information, including information that may
12 potentially move markets, we emphasize that this
13 type of information is an important component of
14 the price discovery process. We do not support
15 government intervention into private enterprise in
16 this context, but we do encourage transparency
17 with respect to the policies and mechanics of
18 information disclosure.

19 I thank you for the opportunity to be
20 here today.

21 CHAIRMAN O'MALIA: Thank you very much,
22 Rob. I'm going to recognize Richard Gorelick. He

1 asked to make some brief remarks. Any other TAC
2 members, subcommittee members who wish to make
3 opening remarks, just put up your tags, and I'll
4 recognize you kind of in order.

5 Richard.

6 MR. GORELICK: Thank you very much,
7 Commissioner. I'm Richard Gorelick, CEO of RGM
8 Advisors, a principal trading firm based in
9 Austin, Texas. I support the Commission's work on
10 the concept release and appreciate the opportunity
11 to participate in this important dialogue on the
12 Technology Advisory Committee. I'm not here to
13 defend the status quo. We can always do better,
14 and we should always strive to improve the quality
15 and resiliency of our markets.

16 I've consistently supported a regulatory
17 environment that promotes fair competition,
18 encourages innovation, enhances transparency,
19 manages systemic risk, lowers costs for investors
20 and hedgers, and gives regulators the tools they
21 need to detect and deter abuses.

22 It's important to note that moves

1 towards open electronic centrally-cleared markets
2 further these valuable objectives. In recent
3 years computers have become ubiquitous,
4 transforming everything we do, from our cars to
5 phones to shopping, so too with financial markets.
6 These changes have been broadly positive, but also
7 unsettling for some. In the markets, computers,
8 automation, competition, and indeed even
9 high-frequency trading, whatever that is, have
10 improved market quality saving investors and
11 hedgers billions of dollars.

12 The evidence also shows that as markets
13 have gotten faster, market quality has improved.
14 The evidence is clear and overwhelmingly positive,
15 although some critics have tried to claim
16 otherwise; but don't just take my word for it. I
17 urge the Commission to make its own assessment of
18 the record and not rely on partial readings and
19 mischaracterizations. The evidence also shows
20 that increasing automation, competition, and
21 transparency have made markets on balance less
22 risky and not more so. This may seem

1 counterintuitive to some.

2 The media often reports on technology
3 glitches disrupting our markets. All market
4 participants, exchanges, trading firms, and
5 clearing firms, have the responsibility to
6 continually focus on ways to reduce different
7 risks; however, we must not lose sight of the fact
8 that crashes, panics, and trading errors have
9 always occurred in the markets whether manual or
10 electronic and our nation's exchange-traded
11 centrally-cleared markets have proven to be
12 remarkably resilient; therefore, any regulatory
13 effort to improve market infrastructure should
14 preserve the automation, competition, and
15 transparency that have benefited investors and
16 hedgers.

17 The concept release, itself, creates a
18 useful record of the many safeguards that have
19 been put in place by the industry, often for many
20 years and that continue to be improved on a
21 continual basis. We appreciate the Commission's
22 work in cataloging these important efforts.

1 I also urge the Commission to review
2 results of the survey conducted by the FIA and
3 submitted with its comment letter that shows that
4 best practice risk controls are widely used by
5 both proprietary trading firms and FCMs. Some
6 might attempt to construe the concept release as
7 being preoccupied with high-frequency trading,
8 defining it, registering it, and singling it out
9 for special scrutiny; but a better understanding
10 shows that it's not about a narrow class of market
11 participants at all. Electronic systems and
12 automated trading are widespread and used by all
13 classes of market participants.

14 The evidence overwhelmingly shows that
15 neither the flash crash nor recent high profile
16 technology glitches were caused by HFT.
17 Furthermore, there's simply no way to make a
18 reasonable legal distinction between
19 high-frequency trading and other forms of
20 electronic trading. Rather regulators should
21 focus on risk controls for, and surveillance of all,
22 market participants, rather than just an arbitrary

1 subset. Risk controls should apply to everybody
2 with market access regardless of latency,
3 frequency, or the like. Why create loopholes?

4 The markets are dynamic and constantly
5 changing. So rather than codifying specific risk
6 control practices, it would be far better to focus
7 on key principles and standards of care. Hold
8 market participants responsible for their conduct,
9 but give them the flexibility to manage risks in
10 ways that make the most sense. It might feel good
11 to create checklists and rulebooks, but in
12 reality that won't make markets safer or more
13 resilient, it could instead create risky new
14 distractions.

15 The best way to stay on top of
16 technology is to use technology. Commissioner
17 O'Malia recognized this when he restarted this
18 Technology Advisory Committee several years ago.
19 Regulators need to know what's going on and should
20 use computers to understand and surveil markets.
21 In many ways electronic markets with their
22 detailed electronic audit trails should make this

1 responsibility much easier. I applaud the
2 progress regulators have made so far in this area
3 such as the CFTC's work through this TAC.

4 I'd also urge the CFTC to study the
5 SEC's MIDAS system and website, which has enabled
6 the SEC to investigate and share with the public
7 information about activity in the securities
8 markets. I recognize the funding challenges, but
9 believe the CFTC should consider rolling out
10 similar systems in the markets under its
11 supervision.

12 Criticizing HFT has become a cottage
13 industry dedicated to putting forth frightening
14 narratives that might make for good storytelling,
15 but are not related to how markets
16 actually work. I'm confident that the CFTC
17 Commissioners and staff will see through fear
18 mongering and hype; however, I am afraid that many
19 members of the general public might be unduly
20 alarmed and manipulated. I urge the CFTC, with
21 the detailed audit trails and other resources
22 available to it, to act as an expert agency, to

1 sanity check the claims of commenters and to
2 report to the public what it actually sees going
3 on in today's markets.

4 There are many reasonable critiques of
5 our markets today, and many ways to indeed improve
6 them. I urge the Commission to use its expertise
7 to encourage a rational evidence-based and
8 constructive discussion of these important issues.
9 Thank you.

10 CHAIRMAN O'MALIA: Thank you, Richard.
11 I think we'll just go down the line with Keith and
12 Irene and then Chris, et cetera, and then start on
13 this side.

14 MR. FISHE: Sure, no problem. You know,
15 it took me back, listening to some of the
16 discussion that the panel presented, to when you
17 first formed the subcommittee on automated and
18 high-frequency trading, which I want to note was
19 automated and high-frequency trading, because
20 there's a lot of concepts that came up and were
21 presented here and are on the public record and
22 yet they come up over and over again and need to

1 be addressed. And one of those is the issue of,
2 you know, what do automated trading firms do when
3 they provide liquidity?

4 And, you know, why does, you know, a
5 firm potentially, like maybe a firm like mine, why
6 do we withdraw our quotes sometimes? And
7 sometimes we do, we do withdraw our quotes in the
8 face of uncertainty. And we have no benefit to
9 providing liquidity other than trying to
10 participate in the market like everyone else. And
11 when things are happening that we don't
12 understand, you know, that's a potential loss for
13 us.

14 But one of the things that you said,
15 Caitlin, that was really interesting was that, you
16 know, an automated trading firm or HFT, as you
17 called them, you know, detects a large order and
18 they back away. Well, yes, you know, a large
19 order has a potential price impact, and not
20 knowing the potential size of that order is a risk
21 that another market participant shouldn't take.
22 And if they're going to be required to take it,

1 they should be compensated for that risk.

2 Now in our markets there is no
3 specialist. There is no firm that we're competing
4 with that gets a special tax token for being that
5 liquidity provider. So the idea that they should
6 stand there and get run over by a large order is
7 just unfathomable to me in a competitive
8 marketplace.

9 The other thing I wanted to mention is
10 the idea of, that you mentioned about blurring the
11 legal definition of front running. That's
12 something that actually did come up in our panel
13 discussion over a year ago. You know, what is
14 functional, as you call it, functional front
15 running? And that's really, to me, what you've
16 presented is a really dangerous concept that
17 there's some line we can draw that somebody who
18 processes information might be doing something
19 wrong, but we don't know where that line is. They
20 did it faster than somebody else, but we don't
21 know how fast is too fast; and we're going to be,
22 we're going to arbitrarily and potentially

1 capriciously determine that they did something
2 wrong. And that gets into an area of, you know,
3 thought policing that I think, you know, would be
4 a complete waste of time for the regulator.

5 The other thing I wanted to mention, and
6 just one small point in your presentation, Eric,
7 is the, you put a slide up about the Spread
8 Networks going from Chicago to New York and how
9 much they invested and how microwave has
10 supplanted them as a faster way of getting
11 information. But one thing to remember in that is
12 that that's not an issue for a single matching
13 engine. That's an issue that information happens
14 in New York and information happens in Chicago and
15 people are trying to get that information from one
16 geographic location to another as fast as they
17 can. And no matter what methodology you change
18 the matching engine to, people are still going to
19 want to try to get that information from one
20 geographic location to the other as fast as they
21 can.

22 So that, you know, that example, I don't

1 think necessarily justifies your case of changing
2 the methodology, but I'll leave it at that.

3 MS. ALDRIDGE: Thank you.

4 CHAIRMAN O'MALIA: If you want to
5 respond to that, but if not then we'll just keep
6 going. Okay. Thank you.

7 MS. ALDRIDGE: Thank you, Commissioner
8 O'Malia. Thank you, the panel, distinguished
9 panel for the opportunity to share my thoughts on
10 this. I think this is a fascinating subject and I
11 think this is fantastic that we're discussing this
12 because this will undoubtedly stimulate further
13 public discussion and hopefully eventually we'll
14 reach a consensus on this issue at some point.

15 My name is Irene Aldridge, and I run an
16 HFT firm. And what I do as part of the HFT firm,
17 I develop high-frequency trading models, as well
18 as risk management systems, as well as market
19 access systems and market execution systems. And
20 my clients tend to be billion dollar hedge funds
21 and broker-dealers and lately family offices.
22 There are actually quite a few of them all of a

1 sudden interested in high-frequency trading. So
2 much of my research, however, not only is devoted
3 to developing the fastest most profitable
4 high-frequency trading system, but also to
5 promoting market stability and determining what
6 construes the most optimal stable market and et
7 cetera.

8 And I actually tend to debate Eric
9 almost every month, it seems like we cross paths
10 all the time, so I'm quite familiar with his
11 research. And I have a few points I'd like to
12 address with him as well, but first I'd like to
13 mention that I completely agree with the
14 representatives of MFA and FIA in their opinion.
15 But also I'd like to mention that there are two
16 main points, I think, around which this discussion
17 is structured. First of all, this is a technology
18 issue, I think, as we all agree. And second, is
19 that when we consider opinions of people and we
20 should really consider the sources and the
21 backgrounds from which these people come from who
22 deliver their opinions. So I'll get to the latter

1 point shortly.

2 But about the technology, so Eric has,
3 first of all, brought up this interesting chart to
4 show that at very high speed that correlations
5 breakdown between financial instruments. So he
6 showed two-pair, a pair of instruments that are,
7 move in tandem over a relatively, I would say,
8 medium-term horizon; but when you get into a
9 really high-frequency, then this correlation
10 breaks down. And basically the prices do not
11 trend together, they kind of separate.

12 So I would like to propose an analogy
13 that Eric has already heard from me a number of
14 times, but essentially consider an orange grower
15 in North Carolina -- or not grower, forget it --
16 an orange seller, okay, we'll just be very simple.
17 So this orange seller in North Carolina all of a
18 sudden goes to Florida for a vacation and
19 discovers that oranges are cheaper in Florida.
20 All right.

21 So he puts his money down, he takes a
22 risk, he hires a truck, he rushes to Florida with

1 his truck, he fills it up with oranges, he brings
2 it back to North Carolina and he sells it at a
3 profit; but he still provides lower prices to
4 North Carolinian consumers because oranges are
5 cheaper in Florida and he's making a little bit of
6 a cut, but he's still delivering better value to
7 North Carolina orange consumers than would be
8 otherwise.

9 So this is an example that's analogous
10 to what high-frequency traders do in the market
11 that Eric has shown us. So they detect very small
12 changes, price discrepancies in the markets, and
13 they take advantage of them. They buy and they
14 sell, ultimately providing to consumers a lower
15 more stable price across the board, but at the
16 same time making profit on these very isolations. So
17 the question is, what do we call these consumers,
18 do we call them high-frequency traders or traders?
19 Do we call them arms race people?

20 I mean, you can call the North Carolina
21 orange seller also an orange arms racer, in a way
22 simply because he was the first one to find out

1 about the orange price discrepancy, he was the
2 first one to think about hiring a truck, and he
3 was the first one to drive these oranges to North
4 Carolina and actually takes the price advantage
5 and make some money off it. So do we call him an
6 arms race dealer as actually Eric happened to call
7 me in our previous discussion, or would you
8 actually call him an entrepreneur, you know,
9 somebody who is fulfilling his American dream and
10 making the world a better place? So this is kind
11 of obviously is subject to interpretation, but
12 this is just one sour point.

13 Now another point, I think another very
14 striking thing that struck me about the data
15 presented earlier this morning, the data on swaps
16 from SDRs is that over the last five weeks, and
17 I'm just talking five weeks of trading in SDRs
18 that we were shown this morning by the Chief
19 Economist of the CFTC, the data that's available
20 on the public website, the CFTC under the, I
21 believe, market trading information, something
22 like that; what it shows there, that over the past

1 five weeks the volume, the aggregate volume of
2 swaps traded in SDRs has stayed relatively
3 constant. Okay. It has increased a little bit,
4 but generally it was pretty flat; however, if you
5 look at the number of trades, they have quintupled
6 over the last five weeks. So the trades, I
7 believe, they definitely have increased. I think
8 the number was they increased fivefold over the
9 past five weeks.

10 So if you take, just do basic math, you
11 take the same volume of trades, okay, and you
12 divide them by five times more trades, okay, do
13 you get higher size or lower size of trades on
14 average? Lower size. You get at least five times
15 lower size per trade. Okay. So you have, and
16 because of this, you also have, well, as I just
17 said, you have five times more trades coming
18 through, so obviously they have to occur faster,
19 right? So we're now, over the past five weeks
20 since the SDRs have been in business in just five
21 weeks of the data that we saw this morning, we
22 have five times increase in the speed of the

1 markets, just this one particular market that's
2 completely just been introduced and it's a test
3 market, all right, it's clean. We can assume that
4 there's zero manipulation going on simply because
5 people have not yet figured out how to manipulate
6 this market.

7 So what I'm trying to get at is that
8 this is a perfect test case of how technology
9 works in markets. And what technology does, it
10 basically increases the speed with which people
11 trade. And that's what technology does, okay?
12 And it's not only that I think that, it turns out
13 that there is a host of research that's been
14 generated over the past several decades in
15 computer science and operations research of which
16 unfortunately many of our financial colleagues are
17 not aware.

18 And I know, Eric, this paper that Eric
19 presented, I think, was part of his PhD thesis.
20 He just graduated last year. And the point is
21 there is an amazing amount of research that has
22 happened in the past 30 years that highlights, not

1 only studies the arms race and the implication of
2 networks, of speed of data networks in various
3 locales, okay, but also it definitively declares
4 that there's no arms race in these situations.

5 And just to give you the context of why
6 this research originated and what was going on,
7 similar issues were posed when Amazon was building
8 networks, for example, to deliver faster
9 information to its consumers or when other
10 companies were investing, completely unrelated to
11 finance, were investing millions, not billions of
12 dollars, into building faster Internet speed
13 access ways.

14 And people were wondering -- the latest
15 paper that I have in mind is dated 2003, it was
16 published in 2003, so it probably started
17 development in 1999, circa time; and the latest
18 paper is, really the question is, is there any
19 social value to continuing this process, something
20 that Eric posed as well, and whether there is
21 really any purpose for people to pay for advanced
22 Internet when anybody can get it at the same speed

1 at the same price. And the definitive answer that
2 that paper has produced is yes, there's an
3 absolute, various -- and it's at Harrison Habib
4 2003. So there is a definite reason why people
5 should pay, why given their cost structure,
6 obviously business, et cetera; but there is a
7 definite reason why people should develop these
8 networks, and there is social value in doing so.
9 Okay. This is an example that's not directly
10 related to the markets, but nevertheless a very
11 fair example.

12 Now another point is the solution that
13 Eric proposed here has actually been implemented by
14 the Boston Options Exchange, and it has been
15 implemented since I believe 2003 if not 2005.
16 Okay. So Eric did his PhD in Boston. And this
17 company, which is also a Boston company, has
18 actually encountered significant difficulties in
19 implementing this model. And they have actually
20 been running it for money over the past 10 years.
21 Okay. And one of the interesting outcomes, well,
22 they have very low levels of interest in their

1 products, but another one of the interesting
2 things is that they're forced by their clients
3 to continuously increase the speed of these mini
4 auctions.

5 So I think they started, I'm not sure
6 what they started with, maybe one-second
7 intervals, and then they went to one-tenth
8 intervals, one-hundredth intervals, and another
9 hundred millisecond intervals; and they're still,
10 it's -- they're still not there yet. So they're
11 being pushed by customers, by the marketplace into
12 a continuous timeframe work. Okay. And it's not
13 only, this Boston Options Exchange is not the only
14 example. I mean, universally there were many,
15 many models of different market systems including
16 Singaporean Stock Exchange, et cetera, that tried
17 all sorts of alternatives for their markets; and
18 they all concluded that continuous time markets,
19 the one we have today, is really the dominant
20 model and it's the most practical one and
21 therefore it's the best one that is there for the
22 market. Okay. So --

1 CHAIRMAN O'MALIA: Irene, Irene.

2 MS. ALDRIDGE: Yes. I'm wrapping it up.

3 CHAIRMAN O'MALIA: Wrap it up.

4 MS. ALDRIDGE: Sorry, sorry. Thank you.

5 I get a little overenthusiastic about this. So
6 the issue is basically these are my main points.
7 Now on the last point I'd also like to mention
8 that I have personally been offered money from an
9 organization that sounds very academic and but
10 really to write extremely negative research about
11 high-frequency trading. And so I would like to
12 ask Caitlin how she makes her money because it's
13 not exactly clear to me. Thank you.

14 MS. KLINE: Sorry. What is your
15 question?

16 MS. ALDRIDGE: How do you make money?

17 MS. KLINE: How do I make money?

18 MS. ALDRIDGE: Yes.

19 MS. KLINE: I don't know. I don't
20 understand what you're getting at.

21 MS. ALDRIDGE: I'm just, what's your
22 source of revenue?

1 MS. KLINE: At Better Markets?

2 MS. ALDRIDGE: Yes.

3 MS. KLINE: We have, we're a donation
4 fund.

5 MS. ALDRIDGE: Okay. Well, I was
6 basically offered a similar situation and that's
7 what I'm trying to say, that to write extremely
8 negative research about high-frequency trading,
9 which I obviously refused because I don't believe
10 this is the way to do that, but to set up is
11 essentially a similar organization. So that's --

12 MS. KLINE: Wait. Can I ask what the --

13 CHAIRMAN O'MALIA: Irene.

14 MS. KLINE: -- what would the incentive
15 be for someone to do that?

16 CHAIRMAN O'MALIA: Let's, this is a --

17 MS. ALDRIDGE: That's not --

18 CHAIRMAN O'MALIA: -- little bit off
19 topic. So let's --

20 MS. ALDRIDGE: Sorry.

21 CHAIRMAN O'MALIA: -- keep moving.

22 MS. KLINE: Thank you.

1 CHAIRMAN O'MALIA: Chris.

2 MR. CONCANNON: I'll try to keep my
3 comments brief. The, you know, I was going to
4 talk about an apple grower in Upstate New York and
5 how that relates to -- [laughter.]

6 First of all, I just want to thank the
7 panelists. I think all of you did a fantastic
8 job. These are not easy issues. They are complex
9 issues. And I think to reiterate what Rich said
10 was, this concept release is around risk controls.
11 We're all going to debate the high-frequency
12 trading debate. It will continue for years to
13 come, it likely won't be solved; but when it comes
14 to risk controls and protecting our market,
15 there's some very basic things that we can do.

16 And I think most people in the comments
17 that I read, most of the comments supported
18 mandated risk controls. So it's very simple,
19 pre-trade controls mandated by the CFTC or
20 exchanges, for that matter. We have the exchange
21 credit controls that work phenomenally well and
22 they have proven themselves over the years. And

1 then mandated post-trade controls. In that world
2 where we have mandated pre-trade controls, credit
3 controls by the exchanges, and post-trade
4 controls; we're going to be in a much better place
5 than we are today.

6 I do think the comments around the batch
7 market were interesting. I encourage you to go
8 forth and build an exchange and try that model. I
9 think there's a simpler solution to the socially
10 wasteful behavior that we've all had, and that's,
11 and I've been pressuring Brian Durkin on this
12 proposal, and that's simply, if he could move to
13 New Jersey, we would all be in a better place.
14 Thank you.

15 CHAIRMAN O'MALIA: So can I ask you, the
16 crux of this whole concept release is really that,
17 what are the appropriate -- if there are already
18 controls in place at the industry level, right,
19 CME, ICE have them at the exchange level; what
20 does mandating them at the Federal level buy us?

21 MR. CONCANNON: Well --

22 CHAIRMAN O'MALIA: And especially, you

1 know, considering our rulemaking process, how
2 nimble we are. Can you reflect on that?

3 MR. CONCANNON: Sure. I mean, I think
4 what it does buy you, I think that mandating
5 pre-trade controls, so before an order enters the
6 exchange system, it has been filtered for credit
7 for a large order or a mispriced order, sort of
8 some erroneous order, and there's a series of
9 other checks that it can have; but having that
10 mandate in place is that extra protection that
11 even the credit controls of the exchange may not
12 pick up. And the erroneous order is one where you
13 can have a filter that does pick up those
14 erroneous orders which obviously create disruption
15 in our market.

16 It could be mandated at the exchange
17 level. Exchanges could have a rule that requires,
18 before any participant physically connects to an
19 exchange system, that they have pre-trade
20 controls. And those pre-trade controls can be
21 based on the activities of that participant and
22 appropriate for those. So if it's a manual

1 trader, maybe they have oversized order
2 protections and price protections. But mandating
3 at the exchange level or at the CFTC level, I
4 don't think it's that difficult, and I don't think
5 you'll get that much industry pushback.

6 CHAIRMAN O'MALIA: Greg.

7 MR. WOOD: Thanks, Commissioner O'Malia.
8 What I was actually, I was actually going to say a
9 lot of the same stuff that's already been said,
10 but the main thing I want to make a point is that
11 as a co-author of the FIA response to the
12 concept release, that document took into account,
13 not just the thoughts and views of the Principal
14 Traders Group, but also the FCMs and ultimately
15 the views of our client base, which, you know, is
16 increasingly using automated trading themselves
17 and that's covering asset managers, investment
18 managers, family offices are becoming more common
19 now as people start opening up funds, corporates,
20 et cetera.

21 And there's a wide range of market
22 participants with different trading objectives who

1 are increasingly using the same sort of tools that
2 we're trying to classify here. And yeah, it's
3 important to try and avoid defining participants
4 without -- based on how they trade, but without
5 looking at why they trade.

6 So all of these market participants have
7 a fundamental responsibility for implementing
8 effective risk controls regarding the development
9 and implementation and use of automated trading
10 tools to ensure that the market integrity is
11 protected. Now where the CFTC can help there,
12 it's not by layering, sort of one-size-fits-all
13 requirements around risk controls, but taking the
14 principles approach that risk controls should be
15 in place, and if there is a lapse or a failure,
16 then someone should be penalized because they
17 didn't have the appropriate controls in place, as
18 opposed to mandating one type of control over
19 another, whether it's current controls, fat-finger
20 controls. All of those controls have parts to
21 play in part of the process of protecting market
22 integrity and the integrity of the participants.

1 Now one of the messages we've tried to
2 get across throughout the life cycle of the
3 subcommittee on automated trading and
4 high-frequency trading is rather than focusing on
5 types of trading activity, we feel regulatory
6 emphasis should be on identifying and stopping
7 disruptive or abusive trading practices. And as
8 the markets have become increasingly technology
9 focused, any advertent disruptive behavior due to
10 technology failures should be understood and
11 prevented for an increased focus on both risk and
12 quality management at the user and/or provider of
13 the technology and the people providing access to
14 the markets well.

15 So just in summary, you know, based on
16 the feedback you get from the concept release, the
17 FIA, you know, sort of welcomes the opportunity to
18 work with the Commission to create a framework
19 around automated trading environments that
20 addresses the needs and concerns of all
21 participants. And we would like to take the
22 opportunity to help the Commission to craft rules

1 that are straight forward in their intention and
2 unambiguous in their implementation. Thank you.

3 CHAIRMAN O'MALIA: Larry.

4 MR. TABB: I want to thank the panel.
5 Very interesting ideas. I'm not necessarily sure
6 I agree with all of them, but I think they are
7 very interesting and thank you for presenting
8 them. I generally agree pretty wholeheartedly
9 with what Greg and Chris had said in terms of your
10 discussion as to why should controls be
11 implemented at the Commission level. My view is
12 really more about consistency. And while the
13 futures markets traditionally aren't that
14 fragmented, depending upon how the SEF framework rolls
15 out, you want to ensure that risk controls are
16 implemented at least on a policy base horizontally
17 and so that they can't be gamed or gotten around,
18 that's my major issue. Thank you.

19 CHAIRMAN O'MALIA: Wally.

20 MR. TURBEVILLE: I've got a couple of
21 things to say. First, having worked at Better
22 Markets and now at Demos, I will assure the entire

1 audience that nobody works at either place for the
2 money. [Laughter.]

3 Right, Caitlin? Thank you, Professor.

4 Oh, if he is a professor, you did graduate?

5 MR. BUDISH: Yes, I did graduate.

6 MR. TURBEVILLE: Good, that's good.

7 [Laughter.] Thank you, Professor. Thank you,
8 Professor, for pulling back the veil, if you will.
9 So much of this discussion is based on
10 ill-thought-through notions of what is liquidity,
11 of what is technology, technology in the hands of
12 market participants is not designed to make the
13 marketplace efficient; it's designed to make the
14 market participant efficient to make money often
15 from inefficiencies in the marketplace. Thank you
16 for suggesting that if, in fact, all of this,
17 there's a class of activity that does, that costs
18 society money, that doesn't cause society
19 benefits, and definitionally is riskier, it
20 creates risks that wouldn't exist if that activity
21 didn't exist.

22 What in the heck are we doing talking

1 about risk controls to try to avert a catastrophe
2 when it costs us money every day? That's the
3 point. And thank you for doing something. By the
4 way, the most recent study that sort of catalogs
5 all of the information about, all the
6 positive studies supporting HFT was a professor
7 named Charles Jones from Columbia University last
8 year. And you should check out who paid for that
9 study.

10 But aside from all of that, let me just
11 ask the professor a question. You say in your
12 paper that the batch systems address the issue of
13 what you call exchange processing anomaly and
14 address the issue of incentives for sacrificing
15 algorithm robustness in favor of speed. So both
16 of those things are issues associated with risks
17 at the exchange level or the infrastructure level
18 and at the trader level.

19 If that is the case, wouldn't under the
20 kind of system that you're suggesting, which is
21 definitionally something which views that a
22 continuous order system, limit order system is one

1 in which speed actually matters and is valued
2 inappropriately or not for the benefit of the
3 public, so since those two things are addressed
4 and in your suggestion, doesn't that suggest that
5 slowing down the speeds changes the actual
6 benefits and costs associated with any kind of
7 system of sort of prophylactic measures to try to
8 avoid the risk controls that we're talking about
9 here.

10 In other words, it changes the whole
11 relationships of risk controls since it changes
12 the risk relationships at both the exchange level
13 and at the trader level. Is that true?

14 MR. BUDISH: Thanks for the question.
15 Let me do my best to address it. So our point is,
16 I think some of the, there are some proposals in
17 response -- there are some proposals that are
18 covered in the concept release such as minimum
19 resting times. And to my co-panelist, Stuart
20 Kaswell's point during his discussion, we agree
21 that minimum resting times are a bad idea, that to
22 us -- so here's the metaphor I would use, the arms

1 race is like a fever that tells us that the
2 underlying market design is sick.

3 And there are some proposals that are
4 like throwing the fever patient into a bucket of
5 ice as a way to cure the fever. And our approach
6 is to try to go at the underlying cause of racing
7 behavior, which is continuous time trading. And
8 we point out in the section of the paper that you
9 referred to, that continuous time trading in
10 addition to incentivizing, inducing a speed race
11 which we show harms liquidity and it's wasteful,
12 also continuous time trading is computationally
13 unattractive.

14 For exchanges, exchanges are put in a
15 position where they're given a computationally
16 task to perform. Algorithmic traders are put in a
17 position where they are, they face an incentive to
18 trade off code robustness for speed. And in a
19 discrete time market -- and please keep in mind
20 that we're proposing discrete time at extremely
21 high-frequency. So if we run discrete time
22 auctions once per hundred millisecond, there would

1 be 234,000 auctions per security per day. This
2 would still be a market in which algorithmic
3 traders or automated professional traders would
4 continue to play a critical and fundamental role
5 in price discovery and liquidity provision
6 process.

7 Our point though is that discrete time
8 trading enables algorithms to better do their job.
9 We're trying to preserve the useful function of
10 automated professional traders, which is liquidity
11 provision and price discovery and eliminate or
12 reduce the rent-seeking function of automated
13 professional traders, which is picking each other
14 off in a race to pick off stale quotes.

15 One other comment is that relocating the
16 CME to New Jersey doesn't address the underlying
17 problem, which is continuous time markets. It
18 would make the problem harder for us to detect as
19 academics. So our data is at the millisecond
20 level, which is fine enough to detect arbitrage
21 opportunities between New York and Chicago because
22 these arbitrage opportunities last on the order of

1 8 to 10 milliseconds, whereas the analogous kinds
2 of arbitrage opportunities that exist within
3 equities markets occur at sub-millisecond levels.
4 So there's just, there isn't data that we can
5 obtain as academics that would allow us to do the
6 same kind of work, but the conceptual point is the
7 same.

8 And just to give you, just to, you can
9 see the correlation breakdown phenomenon in
10 equities markets just like you can see in this
11 Chicago-New York arbitrage, so the underlying
12 failure of continuous markets is there, we just
13 can't detect latency arbitrage in equities markets
14 with the kind of data that's research available.

15 CHAIRMAN O'MALIA: Cliff.

16 MR. LEWIS: Mr. Commissioner, this has
17 been a fun topic, and I won't thank anybody, but
18 I'll make one general observation, one demagogic
19 observation and then maybe one substantive
20 observation. The general point is, I think it's
21 very important to note that CFTC as one of the
22 implementing agencies of Dodd-Frank is engaged in

1 a great enterprise. And that enterprise is to
2 introduce to OTC markets the efficiency,
3 transparency, liquidity characteristics, risk
4 management characteristics which have been
5 synonymous with, shall I say the Chicago, not New
6 Jersey, markets. No dig against New Jersey.

7 But the fact of the matter is, those
8 markets were very resilient during the crisis.
9 They were not a cause of the problem. They
10 worked. Now there are alternative models, no
11 question about it, in terms of how to run a
12 market. Maybe the extreme example of that are
13 what are euphemistically referred to as fixings,
14 discontinuous, in theory, auctions; but like
15 Libor, gold fixing, there are a variety of
16 different approaches, alternatives to the Chicago
17 model. I would just say that unfortunately the
18 Dodd-Frank legislation has decided that the
19 Chicago model is the better model.

20 And one of the key principles that the
21 CFTC has in that model is the idea of central
22 limit order books, but, you know, I'm commending you

1 guys. So it's only appropriate that it's so
2 farfetched that somebody would say CFTC has got it
3 right. But the problem is as much as the CFTC has
4 it right -- as is reflected in the Dodd-Frank
5 legislation, this mixing of clearing and
6 liquidity, this incredible democracy effect, which
7 frankly is to introduce high-frequency traders in
8 swaps to people that previously had no access to
9 them. That's really what you're engaged in today.
10 And it's exactly the right thing. Now we can show
11 statistics about dysfunctional aspects of various
12 markets and the continuation of arbitrage, but I
13 would argue as we've said before, the principle
14 problem here is not with the fact that CFTC has
15 some problem, because I think you guys have it
16 just right and I don't think you need to do
17 anything more than what you're doing now.

18 The problem is with the SEC, the reason why
19 you see that arbitrage example is because Reg. MNS
20 is nuts and it's obviously nuts. So I'm,
21 apologies to people from the equity market world,
22 the fact of the matter is, don't abandon what's

1 worked well for what hasn't worked. Keep with
2 what's worked and keep reinforcing it. That was
3 not yet my demagogic point. The one substantive
4 point before I go completely demagogic is
5 remember, too, that one of the basic principles of
6 the futures is not that they're perfect surrogates
7 for the underlying cash market, on the contrary,
8 they're not.

9 The idea is that they move in parallel
10 and eventually converge. But the fact of the
11 matter is that futures entail basis risk and
12 because of that fact, there are opportunities to
13 arbitrage. Indeed that's what makes the markets
14 work. That's what makes the markets work
15 particularly in agricultural commodities, which is
16 where the CFTC first developed this framework, and
17 extended it then to financial products.

18 And now as I slide into purely
19 demagogic, let's posit a world where the SEC,
20 maybe the Fed, too, ruled, where the Chicago
21 markets had not developed, where high-frequency
22 traders had not taken up the mantle of what we

1 used to call scalpers or locals or market makers in the
2 previous environment of the pit where Brian and I
3 used to work, and instead we continued to operate
4 where basically Wall Street got to decide the
5 price of everything. Do we honestly think the buy-
6 side would be better off in that environment? No.

7 Now is my positing of sort of a
8 manicky choice inappropriate? Of course it's
9 inappropriate, but it's no more inappropriate than
10 guys that go after high-frequency trading.

11 CHAIRMAN O'MALIA: Chuck.

12 MR. VICE: I'm not as eloquent as Cliff,
13 but I'll try to make a couple of points anyway. I
14 do think on the market structure point, there's a
15 lot of benefits to the markets as they work today,
16 continuous market as Cliff described some of that.
17 I'm not -- I don't -- I'm not under the illusion
18 that there's some panacea. There are merits to
19 all markets. There're pros and cons. To an
20 auction market, I mean, one of its cons is you
21 don't, you have no pre-trade price discovery.
22 That's what happens in dark pools and other

1 markets where you don't have resting bids and
2 offers. So even in absence of transactions,
3 people don't have some indication of where
4 value is in these markets.

5 So we can debate the pros and cons of
6 that endlessly. And I think, you know, to the
7 extent that there are markets and market
8 participants that prefer a different type of
9 structure, there's an opportunity for an existing
10 exchange or a new exchange or whoever it might be
11 to meet that need. So I think that's something
12 market evolution naturally addresses.

13 More at the nuts and bolts level, as far
14 as the whole HFT concept release, I think we've
15 had an issue, I think a lot, there's a lot of good
16 things on the table that are already being done by
17 the exchanges and the FCMs and the principles,
18 themselves. So the question really becomes, do we
19 mandate that by law and do we require HFTs to
20 register which begs the question of defining an
21 HFT. And I think that's where we immediately
22 start to have heartburn, because as operator of

1 an exchange, we see that continuous spectrum of
2 automated trading.

3 Yes, there are guys who invest in
4 microwave and COLO and all of that, but then
5 there's a whole gray area of people who have all
6 types of automated trading algorithms that humans
7 do not intervene that have various degrees of
8 latency mitigation around them, some as simple as
9 Excel spreadsheets, that from an operational risk
10 standpoint of operating an exchange or from a
11 market manipulation standpoint operating an
12 exchange, we're just as concerned about things
13 like layering, spoofing, runaway order messaging,
14 all the same things that people talk about with
15 regard to HFTs.

16 As an exchange operator, we are just as
17 much, or perhaps even more so, concerned with all
18 of the customers from that standpoint. So, you
19 know, we're not going to win any marks if we have
20 a non-HFT create problems manipulating a market or
21 creating operational risk and our defense is to
22 say, well, they weren't an HFT and we prevent HFTs

1 from doing that. So from an exchange standpoint,
2 irrespective of what definitions and rules may
3 come out of this, we will continue to paint a same
4 brush for all participants in terms of the risk
5 controls that they will have to go through in the
6 surveillance and compliance that they'll have to
7 comply with.

8 MR. DEWAAL: Thank you. Because there's
9 a lot of repetitive statements here, I'm going to
10 try not to be repetitive. I think the one thing
11 we will all agree on at this session is that the
12 folks who came in with certain views will leave
13 this meeting with the same views, because I think
14 this is a highly emotionally charged topic and at
15 least regarding the, you know, the basic questions
16 of the front end, what I call the front-end-type
17 issues, I don't think there's going to be
18 resolution among the members. I tend to put
19 myself in the camp of folks which, who say that
20 you shouldn't go after a particular type of
21 trader. Problems are problems. Market offenses
22 are market offenses. Front running is front

1 running. Fraud is fraud.

2 That being said, and I, and the one
3 thing that hasn't been mentioned is that since the
4 last meeting of this Technology Advisory
5 Committee, there hasn't been another example of an
6 algorithm gone bad over in Korea. The Hanmag
7 security situation, which has resulted in, what, I
8 think about \$48 million of loss and a hit to the
9 guarantee fund there, so a lot of the FCMs are
10 actually sharing the pain of the circumstances.

11 I do think that, you know, if the
12 emotionalism can be eliminated and people step
13 back, you know, the one area where I think that
14 really does deserve some attention is the
15 algorithms gone bad and what kind of better
16 controls and better thought needs to be isolated
17 about there. But I also do have one question for
18 the professor, because I'm a little struck about
19 what I thought, by the way, was a very interesting
20 intellectual conversation of another theory of a
21 better market, you know, I'm regrettably probably
22 not up to know whether it's good, bad, or

1 indifferent; but thinking about the Chicago
2 market, what strikes me though is that most of the
3 exchanges, in fact, all of the exchanges these
4 days are for-profit institutions.

5 And, you know, the debate about good
6 liquidity, bad liquidity, you know, I'm a little
7 confused, because if, in fact, the exchanges which
8 are for-profit institutions are acting in their
9 best interest, aren't they the ones who are best
10 able to assess whether the markets, as contributed
11 to by the algorithmic traders or the so-called
12 high-frequency traders are good, bad, or
13 indifferent, aren't -- the fact that they stand by
14 the systems and they're for-profit, doesn't that
15 suggest that they're operating in their own self
16 interest in saying that the status quo is, in
17 fact, okay, and the liquidity on the markets is
18 generally okay?

19 MR. BUDISH: Thanks. That's a very
20 important question. So let me offer a few
21 thoughts. So one thought is, before we get into
22 the, I think the question you're getting at is, to

1 the extent that we're right that discrete time
2 trading is a superior alternative to continuous
3 time trading. And again, discrete time at very
4 high frequencies, we're talking about discrete
5 time faster than humans can perceive. If we're
6 right that discrete time is a superior market
7 design to continuous time, then your question is,
8 is that something that market forces will discover
9 on their own or is that something that requires a
10 regulatory mandate or some blend, some regulatory
11 push short of a mandate.

12 And that's a hard question that I don't
13 have a clear answer to, but stepping back, one
14 thing we are trying to do with this paper is shift
15 the debate of that -- there are a lot of ideas about
16 high-frequency trading that we think are a bit
17 muddled. And as I mentioned, a lot of the public
18 discussion about high-frequency trading has this
19 good versus evil flavor. And I took some
20 criticism from the good camp and the evil camp
21 over the course of these discussions and that's
22 fine and expected and I'm used to it and have

1 thick skin. We're trying to refocus the debate on
2 what we view as the core issue, which is
3 continuous time trading versus discrete time
4 trading.

5 And a lot of the other market design
6 proposals circulating, we think are
7 non-constructive. Minimum resting times being one
8 example. Message to trade ratios being another
9 example. Tobin taxes being another example.
10 Message taxes being another example. So there's a
11 lot of muddled thinking about high-frequency
12 trading which we're trying to clarify and help to
13 refocus the discussion. So it's possible that
14 we're right that exchanges will decide to do this
15 on their own, but that will still be a useful
16 input into their decision-making process and also
17 into the public and the industry's understanding
18 of the benefits of discrete time trading over
19 continuous time trading.

20 That said, the question is, how do we
21 think about the question of whether market forces
22 will get there on their own versus whether there's

1 a justification or a requirement for regulatory
2 intervention. There are a few frictions, which
3 even if we're right, might prevent market forces
4 from reaching discrete time trading on their own.
5 One friction is that markets involve coordination
6 problems. Markets are a classic example of a
7 business with network externalities.

8 And setting up a new market design based
9 on discrete time trading versus continuous time
10 trading would have to bring on board market
11 participants, investors, et cetera. So while I
12 appreciate the suggestion that I should set up my
13 own discrete time exchange, network effects is one
14 serious obstacle to overcome.

15 A second potential obstacle is just
16 regulatory ambiguities. So on both the equities
17 side and the futures side I've heard -- and I'm
18 not a lawyer, I'm not a regulatory expert, this is
19 just what I -- what I hear, there's certain
20 ambiguities in current regulation that call into
21 question whether it would be easy for an exchange
22 to adopt frequent batch auctions on their own.

1 And so the topic of pre-trade transparency came
2 up, that's an important topic. We think of the
3 pre-trade transparency in a discrete time market
4 as exactly analogous to the pre-trade transparency
5 that exists in a continuous time market, but
6 that's a regulatory ambiguity that would have to
7 be clarified, and that might pose some risk to
8 an exchange attempting to adopt discrete time
9 auctions.

10 And then the third potential friction is
11 that there are interests in the current continuous
12 time market structure. What we're proposing is
13 not what economists call a parade on improvement.
14 Moving from a continuous time market design to a
15 discrete time market design does not universally
16 benefit all participants in markets. Guys digging
17 high speed fiber optic cables or microwaves would
18 lose from this or building state-of-the-art
19 microwaves would lose from this proposal.

20 The high-frequency trading firms that
21 I've spoken to have distinguished between
22 research-based strategies and mechanical

1 strategies. And mechanical strategies being
2 trading strategies that only are profitable if
3 you're slightly faster than the competition to
4 execute. Research strategies being strategies
5 that are based on insights that others don't have.
6 So think of the first category as speed, the
7 second category as smarts. Speed-based strategies
8 are no longer going to be profitable under this
9 market structure, so that's another example of a
10 potential loser from this proposal. Of course the
11 smarts-based strategies would continue to
12 flourish.

13 So there are, that's a third source of
14 friction. So again, a coordination problems,
15 regulatory ambiguities, and then interests in the
16 current market structure. But it may well be that
17 exchanges decide to do this on their own one day.
18 And we'll be patient.

19 CHAIRMAN O'MALIA: Thank you. Brian,
20 which will probably be the final word unless
21 somebody has got something else to say.

22 MR. DURKIN: So I'll try to be very,

1 very brief, but I'm going to go back to a comment
2 that I made when the initial concept release came
3 out. And I'm going to again compliment Sebastian
4 for putting together a very comprehensive
5 questionnaire that I think was very thought
6 provoking for, you know, many of us that
7 responded; but you know, the compliment is that it
8 was labeled concept release and risk controls and
9 system safeguards for automated trading
10 environments. And, you know, this is something
11 that the CFTC, and I think all of us sitting
12 around this table need to take some pride in, in
13 the context of the work that's been done over the
14 course of the last three, three and a half years,
15 Commissioner O'Malia and the team that has been
16 put together here to look at these issues.

17 And you know, when you take a step back
18 and you see what has transpired between the
19 efforts of the Technology Advisory Committee, the
20 CFTC, the CFTC staff, FIA PTG, all of us working
21 together as industry constituents to help this
22 global marketplace that has evolved over the years

1 continue to grow, evolve, and operate with the
2 highest degree of competence, integrity, and
3 transparency.

4 So when you take a look at what has been
5 achieved in that regard, let's talk about some of
6 these risk management protocols that have been
7 adopted as a result of the fine work of your
8 efforts and the team's efforts around this table.
9 Many of the aspects of that report have been already well
10 addressed in the context of risk management
11 protocols, pre- and post-trade protocols,
12 requirements and mandates by certain exchanges,
13 ours being one of them, that you must utilize our
14 credit controls in order to be able to do business
15 on our exchange.

16 Messaging policies, the ability to
17 identify automated trading participants. Granular
18 audit trails. You know, I have to address one
19 more time when I hear about flash crash and the
20 inability to reconstruct an audit trail, I happen
21 to be the person that was responsible for doing it
22 and getting this information to the CFTC before

1 midnight the night of the flash crash. And I can
2 tell you that I was able to say down to the
3 individual participant, down to the individual
4 message, what transpired in the futures markets.
5 Can't say the same for the other marketplaces.

6 And so, you know, what I ask people to
7 bear in mind as you get into a lot of this other
8 discussion about high-frequency trading and
9 whatnot, you know, I think the fundamental premise
10 of what we've been talking about is having risk
11 safeguards, adequate controls in place to be able
12 to maintain the confidence, the integrity, the
13 transparency, and the vibrancy of these markets.

14 And I think that we've come an awfully
15 long way to accomplishing all of those things.

16 CHAIRMAN O'MALIA: Thank you. Let me
17 make a comment. Since we started down this road
18 of defining HFT and we have the subcommittee
19 members here, it is interesting, Sebastian, I
20 think you mentioned that five commenters said,
21 don't need HFT definition at all and then the
22 other four said you did; but as I recall reading

1 those, they were all saying, yeah, but you need to
2 define all of the different threshold levels that
3 the HFT group couldn't define because they are
4 very either market or asset specific.

5 So it is quite interesting that no
6 matter what we say about HFT, it is tough to
7 define and then most people kind of say, well,
8 that's not relevant in this debate anyway. What
9 you need is good robust controls or you need to,
10 you know, to the professor's point and Caitlin's
11 point, you know, get rid of latency, some of these
12 arbitrage opportunities.

13 So I appreciate everybody's hard work on
14 the HFT definition. It seems as though it may not
15 be as useful as we may have thought before.

16 And, Professor, when you designate an
17 HFT, do you have your own criteria or threshold
18 level for what one is or isn't?

19 MR. BUDISH: So no, we don't have a
20 definition that I would propose to you. The
21 distinction I would draw is between, I guess the
22 conceptual distinction, I would call to your

1 attention, is between the information technology
2 revolution on the one hand versus the speed race
3 on the other hand. And to some of the commenters'
4 point, there's substantial evidence that the
5 information technology revolution has been a
6 positive force in financial markets, my read of
7 the academic literature is that there's
8 substantial evidence that IT has been good for
9 financial markets just as IT has been good
10 throughout the economy; but there is evidence that
11 the speed race, per se, has been beneficial, and
12 particularly as we think of the last, the current
13 kinds of speed increments that are currently in
14 place.

15 So we don't have a definition of
16 high-frequency trading that we'd offer to you, but
17 I would draw a distinction between automated
18 trading using sophisticated computer technology,
19 which we applaud and which the empirical record
20 has kind of -- there's considerable empirical
21 evidence that the technology in financial markets
22 has been beneficial, and trading strategies that

1 are based on tiny speed advantages.

2 And so it's that latter that we are
3 trying -- we're trying to do with this discrete time
4 proposal, reduce the profitability of trading
5 strategies that are based purely on winning a
6 race to react to information that many observe are
7 at once. And so if -- those kinds of trading
8 strategies are what I would lump together, but
9 that's short of a definition you can use.

10 CHAIRMAN O'MALIA: All right. Any other
11 thoughts? We're a little, again, over time.
12 Let's switch out the panels. We'll take like a
13 10-minute break and be back in here. Thanks.

14 Okay. Panel three, SEF execution
15 facilities. We're going to focus a little bit on
16 this made available for trade determination that
17 we have coming up that obviously makes a big
18 difference to these markets on the 15th. We have
19 Vince McGonagle for a repeat performance, the
20 Director of Market Oversight, and we'll explain
21 kind of the Commission position right now. And
22 then we have, what do we have, four other

1 participants to talk about package trades in the
2 market, their impact to help us understand them
3 trade by trade and some of the challenges, whether
4 it's technical, operational, or jurisdictional.

5 And then we have Scott Fitzpatrick to
6 kind of remind us of MAT is not the only issue
7 facing SEFs and that there are some other, a
8 handful of issues that he's going to touch on, and
9 then we're going to open for discussion. And we
10 should be done about 8:00, 9:00 o'clock tonight.

11 [Laughter.] So I apologize for running over, but
12 we'll try to keep this tight. If your
13 presentations can be tight, we'd greatly
14 appreciate that. Thank you.

15 Vince, all yours.

16 MR. MCGONAGLE: Thank you. Good
17 afternoon. What I'm going to discuss, I'll just
18 briefly touch on the registration process, the
19 made available for trading determinations, and the
20 package roundtable that we have scheduled
21 for Wednesday morning. And before I even
22 get there, I think the first place to start

1 is to point out and thank the staff within the
2 Division of Market Oversight and other divisions
3 that, you know, were confronted with a pretty
4 big burden in the Fall of 2013 during the time of
5 government shutdown and then subsequent furloughs
6 here within this Commission, but particularly, you
7 know, stayed focused on the fact that we were
8 looking at a temporary registration process for at
9 the time was 19 SEFs and then applications for --
10 or determinations, self-certification for
11 contracts that have made available to trade
12 mandate.

13 And the teams have been working
14 continuously evaluating, not only those
15 determinations, but the issues that relate to a
16 good and steady progression towards the transition
17 to compliance with the trade execution
18 requirements, which are currently starting on
19 February 15th. So I very much appreciate the work
20 of the staff and their ongoing work as we go
21 through working on these issues and hopefully
22 getting to an area of smooth transition in the

1 coming days and weeks.

2 With respect to the MAT applications,
3 the Commission received five made available to
4 trade determinations via, which is part 40.6 of
5 our rule, for certain interest rate swaps and
6 certain credit default swaps. Four of the five
7 MAT determinations have proceeded. There's one
8 application for self-certification, which is open
9 for a 90-day window pursuant to Commission rule,
10 which will hit that window in the beginning part
11 of March.

12 The review process for a made available
13 determination is fairly discrete. The staff are,
14 or presentation rather requires that one of six
15 factors for a SEF to meet under 37.10 or for a DCM
16 38.12, those six factors are whether they are
17 ready willing -- ready and willing buyers and
18 sellers, frequency or size of transactions,
19 trading volume, number and type of market
20 participants, bid-ask spread, usual number of resting
21 firm or indicative bids or offers. So the
22 Commission's regulations require only one factor

1 to be considered on each of the MAT applications,
2 their filings address multiple factors.

3 The standard review with respect to the
4 MAT determination process is to evaluate whether
5 the filing is inconsistent with the Commission's
6 regulations or the Commodity Exchange Act,
7 otherwise the swap becomes subject to the trade
8 execution requirement. The division upon receipt
9 of the application stayed those applications for a
10 period of 90 days citing the complexity of the
11 questions presented. By staying the applications,
12 that allowed there to be an open comment period,
13 which was the mandatory time period for 30 days,
14 approximately 20 comments were submitted with
15 respect to the MAT applications.

16 So at this part I want to touch just a
17 little bit about process and the communications
18 that we had with the, which staff had with the
19 applicants, which was focused on, you know, we
20 conducted our evaluation of consistency with the
21 Act and regulations. We evaluated the comments
22 that focused on those factors for determination,

1 one of those mandatory six factors. We also ask
2 questions of the SEFs. And we particularly
3 requested that those, that the SEFs respond to the
4 comments, so that we were able to have a dialogue
5 with the SEFs that took in questions or comments
6 that we saw, but also incorporated those that came
7 in through the public comment period, which was
8 very important for us then to ensure that, to the
9 extent that the public did have comments, that
10 they filed those or got those to us in a timely
11 manner as part of the process.

12 The majority of the comments received by
13 the Commission emphasized the need to limit the
14 MAT determination to certain benchmark tenors. So
15 there was some conversation or communication
16 surrounding the scope of the products that were
17 subject to self-certification. During the course
18 of the Fall some of the certifications were
19 revised, and the scope of those certifications
20 were narrowed somewhat. There were several
21 comments that we received that were sort of
22 outside of the MAT process.

1 I know the Commissioner asked this
2 morning about, sort of what determination we had
3 in play with respect to how we evaluated the
4 comments. I'm reading a little more into his
5 question than what he, how he articulated it; but
6 getting to the point of the division's obligation
7 and the self-certification was very narrowly
8 focused on whether the product as presented was
9 one that could be self-certified. So questions
10 that didn't relate to the six factors were not
11 part of our, sort of internal process, although
12 they informed the division operationally about how
13 we should be looking at trading on these complexes
14 and questions that we had about transition.

15 And what I'm getting at is sort of
16 questions surrounding whether there should be a
17 phased-in implementation schedule, packaged
18 transactions, which I'll touch on in a minute,
19 operational concerns, whether the SEFs were in
20 compliance or not in compliance with the rulebook
21 obligations. And just stopping there for a
22 second, as part of the temporary registration

1 process, the SEFs, of course, are obligated to
2 ensure that their rules are in compliance with the
3 Commodity Exchange Act and regulations.

4 So starting February 15, we will begin
5 the process of those interest rate and credit
6 default swap products that are now subject to the
7 trade execution mandate. Over the past several
8 weeks we have been in communication, not only with
9 those applicants who had products self-certified,
10 but the other SEFs to the extent those SEFs are
11 interested in also offering products that are
12 subject to the trade execution requirement. So we
13 are looking at operationally those SEFs who are
14 indicating that they have the ability to trade the
15 product set forth in the made available to trade
16 determination.

17 We then also are looking at this
18 question concerning packages. And to be, and
19 these words matter, sort of, you know, what is a
20 package transaction definitionally is something
21 that we'll talk about a little bit more on
22 Wednesday, but looking at, you know, as presented

1 by various commenters in the application, where
2 you see a grouping of transactions that involve
3 two or more counterparties, two or more
4 instruments, one of which will be subject to the
5 made available to trade requirement, and as
6 collected; that series of transactions are
7 contingent, all components are contingent on the
8 execution of each other.

9 So loosely looking at that, loosely or
10 specifically looking at those as sort of some
11 guidelines for packages, the question presented to
12 the Commission and to the division in particular
13 is, how do we transition swaps that are going to
14 be subject to the made available to trade
15 determination onto SEF when there are products
16 being traded or relationships that parties have
17 entered into that will have multiple components?

18 And I'm looking forward to having and
19 hearing more about those issues today and as we
20 get into the upcoming weeks. The division in,
21 under the guidance of the Chairman, is looking at
22 relief for surrounding packages for an interim

1 period so that we can get to transition over the
2 course of the next few months and think
3 thoughtfully about how we introduce those package
4 transactions onto SEF. So some of the questions
5 that we'll be talking about --

6 ACTING CHAIRMAN WETJEN: Vince.

7 MR. MCGONAGLE: Yeah.

8 ACTING CHAIRMAN WETJEN: Maybe I'll just
9 chime in right here.

10 MR. MCGONAGLE: Sure.

11 ACTING CHAIRMAN WETJEN: Sorry to
12 interrupt. But we will release a letter later
13 today with, along with a press release that
14 provides some temporary relief in the manner that
15 Vince generally described. So those transactions
16 that include multiple component parts, including a
17 swap subject to the mandate, will have relief from
18 the mandate until May 15th. We'll continue
19 sorting through this book today and then Wednesday
20 at the roundtable to figure out a more thoughtful
21 solution to these types of transactions, but as I
22 said, we'll release the letter later today.

1 There's a few other things, too, we're
2 going to do, one relates to making it clear that
3 anonymous trading on the SEF will remain
4 anonymous. And so we've done an interim final
5 rule that addresses that, which is to say a party
6 of a trade cannot learn the identity of a
7 counterparty by accessing the trade repository.

8 We're also going to provide guidance
9 later today related to how consent to jurisdiction
10 can be provided to the SEF. And then finally, I
11 think it might already actually be in the website,
12 but we're going to have a central location on the
13 website that clearly identifies which instruments
14 are subject to the mandate. There have been some
15 questions after these press releases in response
16 to the MAT submissions that have gone out as to
17 which precise instruments, getting into some
18 detail about terms, which ones are actually
19 subject to the mandate. So in an effort to clear
20 that up, we'll have a central place that where
21 that information will be provided on the CFTC
22 website.

1 So I thought it might be appropriate at
2 this time to announce that to the group here, but
3 look for those three different documents later
4 today. And as I said, I think the website
5 probably has information about the mandated swaps
6 already. So --

7 MR. LEWIS: Can I ask a question?

8 ACTING CHAIRMAN WETJEN: Sure.

9 MR. LEWIS: And is that envisioned a
10 hard deadline or as one that could be extended
11 further?

12 ACTING CHAIRMAN WETJEN: Well, as I
13 said, I think it allows us more time to figure out
14 what to do or what the right approach is to these
15 package transactions, and so it certainly doesn't
16 foreclose additional action; but I don't want to
17 predict one way or another exactly what we will do
18 that week. We have some flexibility with that
19 date, I think.

20 MR. MCGONAGLE: Thank you, Mr. Chairman.
21 And I also want to thank the Commission, too, for
22 their input as we go through some of these

1 transitional issues, is particularly, you know,
2 Commissioner O'Malia's office even in sort of
3 discussing a game plan around packages and
4 evaluating how we can build in some time on this
5 front-end to consider, seriously consider these
6 issues so that we're effective in our response,
7 and the Chairman's leadership has been very much
8 appreciated as well on all of the items that we're
9 talking about here today and other issues yet to
10 come out.

11 On the panel for Wednesday, we've
12 identified some areas, and then I'll stop in a
13 couple of seconds. Clearing of package
14 transactions, the execution, how to, you know, how
15 do we stay focused on the required methods for
16 execution as set forth in the SEF rule? There's
17 been some question concerning whether or how we
18 might use alternate methods for execution. What
19 is a package transaction, sort of how it's
20 defined. And this idea surrounding phasing.
21 Phasing, you know, cleared versus uncleared
22 products, products that might not otherwise be a

1 jurisdictional product, but are nonetheless in the
2 real world dealt with in these package
3 transactions.

4 So we'll be looking for substantive
5 feedback on these in a number of questions that
6 the team have prepared and planned to have a very
7 robust roundtable on Wednesday. Thanks.

8 CHAIRMAN O'MALIA: That roundtable is
9 10:00 a.m.? 9:00 a.m.?

10 MR. MCGONAGLE: 9:00.

11 CHAIRMAN O'MALIA: 9:00 a.m. Lots of
12 witnesses?

13 MR. MCGONAGLE: Lots of witnesses. We
14 have about 16 witnesses, so we try to incorporate --
15 what we're going to have is one table for three
16 hours and so we can bounce, you know, certain
17 questions off particular witnesses, but then have
18 the panelists, you know, give input, even on those
19 areas of course if they're not specifically
20 directed, directed to answer. And we think that's
21 a way given the time period that we have, would be
22 the best way to facilitate a conversation.

1 ACTING CHAIRMAN WETJEN: I was just
2 going to add one other quick thing. The purpose
3 of all of these efforts I just mentioned before
4 is, you know, we're trying to figure out what
5 obstacles there are to SEF trading. We're trying
6 to eliminate those where appropriate, because what
7 we're trying to do here obviously is maximize the
8 amount of trading that's happening on these
9 facilities. And where it's appropriate to address
10 something, even on a temporary basis that appears
11 to us at the agency to be standing in the way and
12 keeping people from wanting to trade on SEF or
13 being able to trade on SEF, we want to address
14 that and take away the reasons or excuses or
15 whatever you want to call them that some folks
16 might have for keeping their trading activity away
17 from these regulated platforms.

18 So I can't promise that we've addressed
19 every last problem that might meet that criteria,
20 but that's certainly been the goal and we'll
21 continue working on other issues as they arise.

22 CHAIRMAN O'MALIA: Thank you very much

1 for that. I definitely support the relief and
2 have been working with the Chairman on this. At
3 risk of making your roundtable moot, we actually
4 have four witnesses here that will walk through
5 and explain the challenges or hurdles. And to the
6 Chairman's point, we do want onscreen trading, but
7 I, you know, based on the comments we received and
8 with all due respect to your characterization of
9 how we came up with the MAT, you know, what we can
10 and cannot consider in the MAT determination, you
11 know, it is important we consider some of the
12 technical, operational, and as you pointed out,
13 jurisdictional concerns.

14 And I believe once we kind of identify
15 the packages and their different attributes and
16 the individual challenges facing each one of them,
17 I think we can tick off, you know, over time and
18 with the appropriate, you know, certainty in the
19 market on timetables or investments or technology
20 requirements that we should be able to take care
21 of these things and bring them on screen as soon
22 as possible. How you MAT a non-jurisdictional

1 product is going to be interesting. I hope the
2 SEC will be there, shed a little light on what
3 they feel like, but I think the market is already
4 solved for that, we can, whether it's MATed or
5 not, but they can certainly trade these things as
6 packages and do so quickly and achieve the price
7 transparency on screen and clearing.

8 Whether, how we get to the absolute
9 strictures of MAT will be interesting, but let me
10 just start, we're going to go, actually I think
11 we're going to start with Sunil, and then we'll go
12 with Stephen, Alex, and Mark, and then save Mr.
13 Fitzpatrick for last there for the new issues.

14 MR. HIRANI: Scott, if it's okay,
15 Stephen can go first, he's going to do some
16 foundational work.

17 CHAIRMAN O'MALIA: Okay. Never mind
18 then, ignore the Chairman.

19 MR. BERGER: Thank you. I did have a
20 few slides. I don't know if there's a way to get
21 them up, but okay.

22 CHAIRMAN O'MALIA: They should be

1 preloaded. Yeah, there you go.

2 MR. BERGER: All right, great. So I
3 thought just for some level setting, I'd start
4 with the definition of package transactions,
5 provide a few examples, talk about the economic
6 benefits we see to package transactions, provide a
7 quick analysis of the representative transaction
8 costs of being able to do some certain
9 transactions as a package versus having to execute
10 their components on an outright basis, talk about
11 some of the items we feel we need to address, and
12 then our recommendations.

13 But I guess, you know, based on the news
14 that we just heard, you know, I'd like to say that
15 we're very grateful for the opportunity to have
16 more time to flush out these issues, come up with
17 solutions. We do share the overarching objective
18 of moving these package transactions onto trading
19 platforms where they can benefit from the pre- and
20 post-trade transparency and greater competition
21 that can be afforded. So, you know, we think it's
22 a great first step that we have some more time to

1 think through the issues.

2 So from a definitional perspective, you
3 know, I think there's probably a more technical
4 legal definition that's been laid out on some of
5 the requests for no-action relief, but generally
6 when we talk about package transactions, we're
7 talking about a transaction that involves the
8 simultaneous pricing and execution of two or more
9 component instruments. For our purposes, one or
10 more of those is a MAT swap and the others are
11 either, another swap that's not MAT or a cash bond
12 or futures contracts. The transactions are a
13 combination of buys and sells or pairs and
14 receivers on a swap. There's a reasonable degree
15 of correlation between the components. And the
16 risk of the offsetting components is approximately
17 equivalent.

18 And in that context we're talking about,
19 in the rates market, we're talking about the
20 interest rate risk, so we talk about these
21 transactions as being DV01 neutral or duration
22 neutral.

1 My comments today here are focused
2 largely on the rates market, though, I think
3 analogous, you know, analogies can be made in the
4 credit markets. And I'll let others talk about
5 that. So --

6 CHAIRMAN O'MALIA: Stephen, could you
7 pull that mic just a little closer.

8 MR. BERGER: Sure thing. Sorry. Is
9 that better? Okay.

10 So some common examples of package
11 transactions in the rates market, I've listed
12 here, I won't read them all, but you can kind of
13 bucket them. There's multi-swap package
14 transactions, so that's the curves and the
15 butterflies, so where you're executing a number of
16 clearable swaps. There's swaps versus securities,
17 so swap spreads or MBS basis where you're
18 executing a swap against a government bond or an
19 agency security. There's swaps versus futures.
20 So an invoice spread, for example, is a swap
21 versus a T-note future. And then the last is what
22 I'd call a cleared swap versus an uncleared swap.

1 So something like a swap versus a swaption. And
2 that's the Delta neutral option packages at the
3 bottom.

4 Being able to execute package
5 transactions as a package has a number of benefits
6 for market participants; you get a tighter bid
7 offer spread, you only have to cross that bid
8 offer spread one time versus having to cross it
9 multiple times if you had to do separate leg by
10 leg execution, you get more targeted and efficient
11 risk transfer, and you eliminate the legging risk,
12 which is the risk that the market moves between
13 each of the individual executions.

14 So what I'd like to say is that package
15 transactions aren't some form of financial
16 alchemy, they actually do deliver real benefits to
17 market participants and they're commonly traded
18 and they're kind of a crucial mechanism for the
19 global risk transfer system. I don't want to bore
20 people with the details, but you know, we've done
21 some analysis here to look at what the
22 representative transaction costs would be if

1 you're able to execute a package transaction or if
2 you're forced to split up the execution of legs on
3 an outright basis. So the next two slides is an
4 example of a swap spread, which is a swap versus a
5 Treasury. And after that a swap curve, which is
6 two different swaps at different tenors.

7 And what we found, you know, using real
8 prices that were quoted in the market is that the
9 cost of executing the two legs separately on an
10 outright basis is triple. So you functionally
11 triple transaction costs if you break up the
12 simultaneous and contingent execution. So that
13 kind of brings us to what we believe is the
14 threshold point, which is that we don't want to
15 break up the simultaneous and contingent execution
16 of a package transaction.

17 So that bring us to the question of,
18 well, if one leg of the package transaction is MAT
19 and has to be executed on a SEF and the other
20 isn't, what do we do about it? Can we get it all
21 on the SEF or can we not? So as noted in the
22 beginning we're not philosophically opposed to

1 moving package transactions onto SEFs. We see
2 that there would be benefits to that. It's just
3 not going to be ready by, I think as we all
4 acknowledge now, by February 15th.

5 And so what are the hurdles we have to
6 overcome to get there? One of the first items to
7 address that we saw was for multi-swap package
8 transactions is there a communication protocol and
9 an ability to do credit limit checking at the
10 package level, and there doesn't appear to be
11 today. When people execute a curve or a
12 butterfly, those legs individually go down the
13 execution to clearing workflow. And you can't do
14 a pre-trade credit check at the package level, and
15 the CCP, the clearinghouse, sees the legs come in
16 individually; and so when they're looking at the
17 risk that's presented, they don't appreciate that
18 there's offsetting legs within that package
19 transaction. So that's one item that we
20 identified that needs to be addressed.

21 For swap spreads, since you're executing
22 a swap and a government bond separately, you want

1 an assurance that they're both going to
2 clear/settle. We've also noted there appear to be
3 some jurisdictional concerns about the trading of
4 Treasuries on a CFTC regulated platform. So
5 that's something that, again, taking some time to
6 think through would be of benefit. Invoice
7 spreads seem to pose another challenge because
8 they involve two separately regulated products in
9 a future and a swap. And something like a swap
10 versus swaption, it appears that, you know, maybe
11 we can look to a model that's existed in the
12 futures space, which is the EFRP model for some
13 kind of off SEF, ability to execute the MAT swap
14 leg off SEF, but still subject to the rules of the
15 SEF and still be able to then pair it with some,
16 with a swaption.

17 So our recommendations, we're first to
18 do no harm. And again, that goes back to the
19 threshold matter of not breaking up the execution
20 of package as a whole. We think the industry is
21 already, and some of the other panelists will
22 probably talk about this in more detail, the

1 industry is already working to identify solutions.
2 For example, the communication language around
3 multi-swap package transactions. And we think, you
4 know, with some constructive dialogue with the
5 Commission, we can find solutions to address
6 perhaps the jurisdictional issues or whether there
7 could be an EFRP-style solution for swaps.

8 And thankfully the last recommendation
9 seems to have already been realized to some
10 extent, and so providing a little more time to
11 think through these issues will, I think, will be
12 of benefit to the market. So thank you.

13 MR. HIRANI: Scott, thanks for inviting
14 us to this.

15 This is obviously a topic that's near
16 and dear to our heart, it's something that we've
17 engaged the Commission about in the past. So, you
18 know, I think Stephen covered the basic
19 definitions, you know, of packages. And I think,
20 you know, so it isn't, obviously the package is
21 already taking place and those are similar to the
22 ones that Stephen talked about. So packages are

1 obviously taking place in the marketplace.
2 They're taking place, you know, either via RFQ or
3 via spreadsheets or instant messages. So it isn't
4 that packages are not taking place. So what's the
5 issue? What's the issue with packages?

6 The issue with packages is that you
7 can't just look at it in the context of, am I able
8 to trade it on a screen or not. The question that
9 needs to be asked is, you know, does the
10 infrastructure exist pre-trade, trade, and
11 post-trade that can support the regulatory
12 requirements and the compliance requirements that
13 are required of all of us, you know, to trade
14 these instruments. And as we think about it, you
15 know, the complexity of a risk assessment
16 processing protocols and standards increases, so
17 does the legal and regulatory matters as you go
18 from packages that are entirely composed of MAT
19 instruments. So you have a package of greater
20 than one, you know, package that has a greater
21 than one MAT swaps.

22 And then it becomes more complex. You

1 have MAT swaps commingled with non-MAT swaps. And
2 then you take it to a whole other level when you
3 comingle cleared swaps that may or may not be MAT
4 with uncleared swaps. And then you take it
5 another level of complexity when you say, you have
6 instruments that are swaps that are governed by
7 this agency and then non-swaps that are governed
8 by this agency, then to talk about swaps that are
9 governed by this agency and other instruments that
10 are governed by someone else besides the CFTC. So
11 that's the way we think about the complexity of
12 packages.

13 So, you know, we have come here on
14 numerous occasions to talk about, you know, the
15 technology and infrastructure that trueEX has
16 built for packages under our PTC products. So,
17 you know, as we started interacting with FCMs,
18 CCPs, buy-side firms, market makers, and credit
19 hubs, you know, it came to our attention that
20 there was actually not a single protocol or a
21 language to describe these packages. So the way
22 they're currently done is you could have a buy-side

1 firm that has a package of a hundred line items and each
2 of those hundred line items, you know, are sent to
3 this CCP, are sent to the FCM one by one and
4 there's no intelligence that surrounds the package
5 that communicates to everybody who needs to touch
6 it, whether it's the FCM, you know, for pre-trade
7 credit checks, CCP for clearing it and doing the
8 risk assessments, or the credit hubs in the future
9 to subtract the credit limits; they don't have an
10 infrastructure that can process them as one atomic
11 unit.

12 They process them in a linear fashion.
13 And we already know anecdotally in the marketplace
14 that numerous large buy-side firms have had
15 issues, operational issues with the FCMs, those,
16 you know, an example might be the first, the first
17 line item you sent, you know, is a trillion
18 dollars pay fixed in 30 years, and the remaining
19 99 line items, you know, in essence diffuse that
20 risk. So you send the first line item, and for
21 whatever reason, the other 99 don't get processed
22 immediately, so it has a real impact on risk

1 margin and the credit profile of the parties that
2 are involved.

3 And neither of the credit hubs have the
4 ability to do pre-trade credit checks in aggregate
5 for the package. And the processing at the CCPs,
6 they can process them one at a time, but as I
7 said, it doesn't exist to do them as one unit.
8 And the regulatory framework does not exist,
9 doesn't recognize packages, and doesn't exist to
10 accommodate the time that's required for pre-trade
11 credit checks as well for the clearing from the
12 CCPs. So that is what we feel is missing the in
13 marketplace.

14 So, you know, as Stephen alluded to, I
15 think there's -- so what's a solution? So someone
16 asked me before the panel, you know, that's great
17 there's going to be relief, but what's the game
18 plan? So the solution is that is there needs to
19 be a common standard protocol, because it's not
20 sufficient for one or some subset of the parties
21 to agree to a protocol. And they need to agree to
22 the protocol on how to describe the container, on

1 how to describe the packages; but to also agree to
2 the workflow, to agree to the SEF / DCM workflow as
3 well as a non-SEF workflow, and also to make sure
4 that the next versions of FIX and FpML take into
5 account the protocols that have been largely
6 agreed to by, you know, a subset.

7 And so there have been, there's been a
8 group of folks including SEFs, FCMs, CCPs,
9 buy-side firms, market makers who have actually
10 made a lot of progress over the last three months.
11 There's been agreement on the package IDs.
12 There's been agreement on the line item IDs.
13 There's been agreement on the workflows that are
14 going to be required to process on a SEF basis and
15 an agreement on how to process it on a non-SEF
16 basis.

17 I'm not going to bore you with all of
18 the details of the protocol, but they're available
19 and they take into account the variety of
20 different packages that both Stephen and I have
21 articulated. Okay. So you need to make sure that
22 the IDs are there and the workflows are

1 articulated.

2 So, what's our recommendation? You know,
3 obviously our recommendation is to not have
4 packages be subject to the execution mandate on
5 the 15th. And, you know, we thank you for already
6 putting that into action. You know, a couple of
7 other things to look at is to look at the time
8 that the FCMs have to evaluate net risk, to look
9 at the time that the DCOs have to evaluate the
10 packages. And then we would have a checklist-like
11 approach to make sure that there's a regulatory
12 framework that has been accommodated and SEFs
13 allow market participants to execute packages and
14 both the FCMs and the credit hubs have the ability
15 to assess the packages as a whole as well as the
16 clearing organizations.

17 And, you know, this is in our letter
18 that we have previously submitted to the
19 Commission. You know, we would also urge this
20 group and the Commission to look the a phasing in
21 approach. Obviously, you know, look at the most
22 simple packages first and make sure that there's

1 no technology or operational nightmares. And then
2 as we have successes, build on that and increase
3 the complexity. Thank you very much.

4 CHAIRMAN O'MALIA: Sunil can you go,
5 and your chart on page 2, are they in kind of an
6 order of priority consistent with this phasing? You
7 said address them individually or kind of on a
8 swap-by-swap or package-by-package basis. You
9 have a list of maybe 10?

10 MR. HIRANI: Yeah, and you know,
11 there's, you know, I'm not claiming they're
12 complete, but yeah, I think the first three are
13 the ones I think that may be in order, but then I
14 don't think they might not necessarily be in
15 order. Because the fourth one commingles CFTC
16 and, you know, a non-CFTC agency. So, I think, you
17 know, clearly two MAT instruments, a fives versus
18 a tens, twos-fives that are both MAT, I think that
19 would be the first one to tackle. And then tackle
20 butterflies. And then tackles twos versus 17s or
21 something.

22 I think, you know, to deal with integer

1 swaps, you know, MAT integer swaps first, make
2 sure the infrastructure is there. And I think,
3 you know, there's, as I said before, there's been
4 a lot of good work already done on getting, you
5 know, folks that typically don't work together,
6 they've been working together very cooperatively
7 to come to an agreement on things that have
8 typically taken years in the past to accomplish.

9 So I would say, start with MAT
10 instruments first. Let's see how that goes. Get
11 the infrastructure working and get the credit
12 -- you know, the most important thing is
13 looking at the riskiness of the package and
14 assessing the risk appropriately, getting all of
15 that to work through the whole transaction life
16 cycle and all the handshakes to work. I think if
17 you can do that in the first phase, if you can
18 sort of kick it down the road, because clearly,
19 you know, you've got the spigot, you can control
20 the spigot. That would be the way I would think
21 about it.

22 MR. BERGER: Well, just one quick thing

1 I would add is that the first three transaction
2 types on the list, those are ones where the
3 industry for cleared swaps could solve it with the
4 language fixes, you know, referred to in terms of
5 if the FpML language can be upgraded to
6 communicate package transactions as a whole, that
7 can solve the challenges associated with the first
8 three on this list. Then we get into more, once
9 we get past the first three, we get into more
10 complex actual, like, legal jurisdictional
11 questions on top of the just infrastructure ones.

12 ACTING CHAIRMAN WETJEN: If that's the
13 case, then I guess we can, we don't continue
14 discussing this, but one of the suggestions there,
15 one of the other slides, one of Sunil's slides was
16 that we have to revisit the 1.73 or 1.74
17 concerning the speed with which the FCM is to
18 reject a trade or not, if it's -- I guess help me
19 understand that a little better if you can. Why
20 would that be necessary?

21 I thought if we were to do, just allow
22 for some more time for technological upgrades and

1 making sure the right connectivity is in place
2 between all the different infrastructure
3 participants, it should be easy enough, soon
4 enough for both the FCM and the DCO to assess the
5 risk of a package transaction, especially if it's
6 one just involving swaps.

7 MR. HIRANI: Yeah, so that's a good
8 question, Mark. So it takes time to message.
9 Some of these portfolios can be quite large. And
10 there's a number that they have been kind of
11 converging to without the benefit of scale
12 automation and technology, and that number is
13 around, you know, 100 to 150. There's, you know,
14 obviously been some packages that trade, you know,
15 in several hundred line items, but there's a
16 reason that the industry has converged to a number
17 like 100, between 100 and 150, because just the
18 system cannot process them, in, you know, in an
19 operationally efficient manner.

20 So even if you could have a language
21 that describes the packages, which, you know,
22 there's a very good version of it floating around,

1 you still need to communicate it to the CCPs, you
2 need to communicate it to the FCMS, or to the
3 credit hub. So that takes time. And then, you
4 know, and then you have to wait for the turn
5 around time. So and there is a need in the world
6 to do packages, you know, vastly greater than the
7 line items that are being processed here. So
8 there's going to be time that it takes to send it
9 to the, whether it's the FCM, CCPs, or credit hubs
10 and then for them to do their risk assessment and
11 then to communicate it back.

12 And, you know, we've done some
13 preliminary testing of that. We're, you know,
14 happy to share some of the data. But, I do think
15 that those numbers will have to be reviewed
16 because, you know, that may not allow enough time
17 to process large packages.

18 ACTING CHAIRMAN WETJEN: Ananda wants to
19 pipe in here.

20 MR. RADHAKRISHNAN: If you guys have the
21 technology to trade the package, how come you
22 don't have the technology to compress it or send

1 it to a clearinghouse? I don't get it. So you
2 say it takes time, it takes time for a credit hub
3 to figure it out, it takes time for a
4 clearinghouse to figure out how to clear it;
5 shouldn't it take the same amount for you guys to
6 figure out how to trade it?

7 MR. HIRANI: So yeah, so, Ananda, you're
8 obviously right, the technology exists to trade
9 it.

10 MR. RADHAKRISHNAN: Right. So --

11 MR. HIRANI: And the technology exists
12 to communicate it.

13 MR. RADHAKRISHNAN: Okay.

14 MR. HIRANI: But what doesn't exist is a
15 common language to describe it. So we can send it
16 to CME, LCH and we can send it to the FCMs, but the
17 infrastructure doesn't exist to evaluate it as one
18 atomic unit. So they can evaluate it on a line
19 item basis.

20 MR. RADHAKRISHNAN: Okay. But if the
21 trader can evaluate it as one unit, I mean, the
22 example you gave, right, like so many bits is what

1 you pay, why can't you give that to -- I don't get
2 it. I mean, if someone -- are you saying that the
3 trading community is that much smarter than the
4 clearing community? Are they --

5 MR. HIRANI: No. I mean, the analogy is
6 --

7 MR. RADHAKRISHNAN: Do they need to come
8 in and figure it out?

9 MR. HIRANI: So let's say you go to
10 Russia for the Olympics. And, you know, they
11 speak Russian over there. You speak English. You
12 know, you're both communicating, you think you're
13 communicating about the same sport; but you need a
14 translator to get, you know, your language
15 converted into the local language. That's really
16 what we're talking about.

17 MR. RADHAKRISHNAN: Yeah.

18 MR. HIRANI: See, everybody can evaluate
19 the risk.

20 MR. RADHAKRISHNAN: Okay.

21 MR. HIRANI: But there's not a
22 consistent way to describe the package and there's

1 not a consistent way to keep track of the package
2 and a consistent way to evaluate the riskiness of
3 it. That's all I'm saying.

4 MR. BERGER: And I think people can
5 communicate bids and offers back and forth to each
6 other about anything you want. I mean, any
7 product or service anywhere in the world. I think
8 the issue is that if we're communicating and
9 market participants are, you know, pretty good at,
10 you know, identifying what they're communicating a
11 bid or offer about; but if we decide, you know,
12 we've agreed on a price for a swap package that's
13 a butterfly and has three legs and for some reason
14 the FCM or the CCP sees the first leg and doesn't
15 know that there's two more coming that, you know,
16 have different risk characteristics than the first
17 leg; they can make an errant decision based on
18 just not having the knowledge that it was part of
19 something else.

20 So just the language that I think we're
21 talking about is a flag that when someone receives
22 a leg of a transaction, they know it's leg one of

1 three, two of three, or three of three. So --

2 MR. RADHAKRISHNAN: What's stopping you
3 from sending the whole, the entire package to the
4 CCP?

5 MR. BERGER: Right now the language
6 doesn't support the wrapper.

7 MR. RADHAKRISHNAN: The language what?

8 ACTING CHAIRMAN WETJEN: But once
9 the language is in place, right? I mean,
10 then --

11 MR. BERGER: Or you know, to get really
12 nerdy, I mean, there's something called FpML. And
13 FpML version 5.7 is due to unfortunately not come
14 out until July and they've agreed on the language
15 upgrades they want to make to include a wrapper
16 and to include the itemization so you can say it's
17 leg one of fifty, two of fifty, et cetera. And,
18 you know, once that standardization of the
19 language is agreed upon, people can start building
20 to it even ahead of the July release date; but it
21 would have been great to solve that a lot sooner,
22 but --

1 MR. HIRANI: Yeah, so, Ananda, I mean,
2 no work is stopping, you know, so we've already
3 adopted it, but it's, you know, we need the
4 industry to adopt it. And that's really what
5 we're talking about.

6 MR. RADHAKRISHNAN: So to the extent
7 that anything you do in this package comprises a
8 product that has to be cleared, you are sending it
9 to clearing, right?

10 MR. HIRANI: Yeah, yeah.

11 MR. RADHAKRISHNAN: Okay.

12 MR. HIRANI: Absolutely.

13 CHAIRMAN O'MALIA: Alex.

14 MR. ELVIS: Yeah, thank you very much,
15 commissioner, for the opportunity. And also,
16 thank you for the relief. It's relieving
17 certainly. And you'll -- [Laughter.]

18 CHAIRMAN O'MALIA: I'm glad to hear it.

19 MR. ELVIS: And you'll also be relieved
20 to here that Stephen and Sunil got most of my
21 points, so I won't be talking for very long. But,
22 I do want to sort of, Sunil mentioned that these

1 packages are already happening in the market
2 today, and I wanted to give a little bit of data
3 as to how frequently they're happening. So swap
4 spread trades, for example, benchmark swaps with
5 integer tenors against benchmark Treasury bonds is
6 the overwhelming majority of the integer dealer
7 swap volume.

8 Options with Delta exchanged are also
9 the overwhelming majority of the interdealer
10 option volume and approximately 40 percent of our
11 volume with our investor customers. So not only
12 are these transactions happening, they're
13 happening very frequently and they're an
14 absolutely integral part of how people think about
15 and manage risk. And I certainly share Stephen's
16 two goals. You know, I agree that we want to be
17 able to solve this problem and get these
18 transactions on to a regulated venue as quickly as
19 we can, but I think, you know, as important is to
20 ensure the continued ability of market
21 participants to be able to execute these
22 transactions as packages without having to break

1 them up.

2 Because in my view, the critical aspect
3 of what makes a package a package, yes, it's done
4 simultaneously, yes, there's contingent pricing;
5 but the risks of the package are almost by
6 definition much less than the risks of the
7 individual components, otherwise people wouldn't
8 want to do them as packages. And so what we're
9 seeing, and I think what we're thinking about is
10 not necessarily whether or not these packages
11 should trade on a SEF, but how they should trade
12 and whether or not they should be subject to an
13 EFRP-like regime, a regime that's similar to the
14 block regime, or the order book, RFQ-3 minimum.

15 And when you think about these trades,
16 if one of the legs is a MAT swap and other legs
17 are not MAT swaps, I think the SEF community and
18 the staff and the Commission have spoken and said,
19 well, look, on this six-factor analysis, these
20 other components of the trade do not have
21 sufficient buyers and sellers or are not subjected
22 to clearing mandate or do not demonstrate

1 sufficient liquidity.

2 So I think, you know, while we think
3 about how to get those trades onto a SEF and how
4 to process them effectively and ensure that the
5 Treasury leg settles, for example, or that the
6 swap leg of an option with Delta, if that swap is
7 deemed to be void because it's rejected for
8 clearing, we have something consistent to do with
9 the option leg, I think are part of the
10 conversation and something that we need to be
11 thoughtful about.

12 And then finally I wanted to make one
13 point about transparency. And I know that, you
14 know, when we look at the SDR data, we try very
15 hard to eliminate as much noise as we can from the
16 SDR data so that we can use it for the purposes in
17 for which it was intended. It's very difficult to
18 weed out what is actually Delta risk transfer and
19 what in some cases is not actually Delta risk
20 transfer because that particular swap is done
21 concomitantly with a series of other swaps. So in
22 Sunil's example, you have the trillion dollar

1 tenure swap and 99 other smaller swaps that offset
2 them.

3 You see the trillion dollar swap on the
4 trade, on the table, you say, that's a big trade,
5 a lot of Delta just went through the market, when,
6 in fact, a lot of Delta didn't just go through the
7 market. And I think we could enhance and we can
8 borrow something from the equity markets, you
9 know, as FpML 5.7 gets rolled out and the wrappers
10 are identified and designed, we could enhance the
11 post-trade price transparency quite a bit as well
12 as the ability for the Commission and staff to
13 make sure that the package trades are not being
14 used to evade RFQ to 3 or order book trading by using
15 something to tie those trades together so that any
16 participant in the market can see the option and
17 the Delta that was exchanged, the trillion dollar
18 swap and the 99 other items and really understand
19 what was happening in the market and when it
20 happened.

21 And that applies on a pre-trade basis as
22 well. I worry about doing a large

1 out-of-the-money option trade with a client and
2 then both of us having to go into an order book or
3 RFQ folks, RFQ-3 folks each separately in opposite
4 directions in large amounts of duration, sending,
5 you know, almost -- no, not almost -- an actually
6 false message to the market about the amount of
7 buying and selling and interest that's happening.
8 So I think, you know, we support the pre- and
9 post-trade transparency, the benefits of the pre-
10 and post-trade transparency that SEFs and the SDR
11 and reporting rules bring.

12 And I think that just one thing to keep
13 in mind throughout these conversations that
14 package transactions actually are quite
15 complicated when it comes to that and should be
16 thought about carefully.

17 CHAIRMAN O'MALIA: Thank you. Mike
18 Hennessy. I believe I called you Mark earlier, I
19 apologize.

20 MR. HENNESSY: No problem.

21 CHAIRMAN O'MALIA: Who is Assistant Vice
22 President in Treasury and Capital Markets, Federal

1 Home Loan Bank of San Francisco. Thanks for
2 coming all this way.

3 MR. HENNESSY: Yeah, no, thanks for
4 having us. We appreciate the invitation to
5 participate and for the opportunity to talk about
6 the Federal Home Loan Bank's unique version of
7 package transactions which has not really been
8 explicitly addressed by some of the other market
9 participants either here or in comment letters on
10 this subject matter. By way of background, as you
11 may already know, the Federal Home Loan Bank
12 system is comprised of 12 Federal Home Loan Banks
13 and the Office of Finance, its fiscal agent, and
14 was established with the passage of the Federal
15 Home Loan Bank Act in 1932 to provide liquidity to
16 the nation's financial institutions.

17 Currently, and has been the case all
18 along, the banks are cooperatively owned with
19 approximately 7,000 member financial institutions,
20 which include banks, thrifts, credit unions,
21 insurance companies, and community development
22 financial institutions. So that said, and moving

1 right into package transactions, we agree with the
2 comments expressed here by the other panelists
3 about how important package transactions are and
4 that they need to be preserved for the
5 marketplace. And we generally share the same
6 concerns around package transactions and agree
7 with the Commissioner's observation that in many
8 instances more time for package transactions is
9 needed, especially for those combinations that
10 involve swaps and other swaps.

11 However, the trading practices of the
12 Federal Home Loan Banks generally do not involve
13 these types of package transactions. Instead, the
14 Federal Home Loan Banks use packages in a unique
15 way, and that is really limited to the negotiated
16 swap to bond issuance package transactions. And,
17 you know, issuing debt in the capital markets in
18 this manner is the primary mechanism by which the
19 Federal Home Loan Banks fund themselves. We
20 included some statistics in our comment letter
21 regarding the MAT submissions, and I'll summarize
22 those briefly here.

1 You know, since 2008 and through the
2 third quarter of 2013, about 73 percent of all
3 debt issued by the Federal Home Loan Banks on an
4 account basis was done in a package, and on a
5 notional basis that's about 67 percent. So I
6 think, you know, it's obviously very integral to
7 the Federal Home Loan Banks' business strategy.
8 And I think I'd like to walk you through an
9 example of one of these transactions and how they
10 come about and who the market participants are in
11 each of these transactions.

12 So one of the key features of this type
13 of transaction is that it's multi-party. So the
14 Federal Home Loan Banks are bond underwriters, and
15 our swap counterparties have an open dialogue
16 around, you know, our funding needs. And
17 essentially each business day the Federal Home
18 Loan Banks broadcast to the marketplace where
19 they're willing to issue debt on a package basis.
20 So we are, we generally take the asset side of our
21 balance sheet and convert that to three-month
22 Libor. So we have these synthetic three-month

1 re-pricing assets. And we make the similar asset
2 liability hedges on the liability side.

3 And so, you know, we enter into these
4 transactions -- we broadcast to the market
5 participants that we need this specific funding
6 level on a 3L basis and bond underwriters and swap
7 counterparties go out and canvas their investor
8 base to try to deliver that type of package
9 transaction for the banks. If they are able to
10 successfully find investors or devote a balance
11 sheet to our debt issuance needs, they'll present
12 us with an opportunity to say, Federal Home Loan
13 Bank of San Francisco, would you like two year debt
14 at Libor less five. And if that's acceptable, a
15 few minutes later, all parties to that transaction
16 will hop on a phone call and read the terms of the
17 bond issuance and execute that issuance, read the
18 terms of the swap hedging transaction, execute
19 that swap. And the Federal Home Loan Banks are
20 left with their synthetic three-month liability at
21 Libor less five.

22 But we, as part of that transaction,

1 we're not negotiating the individual prices of the
2 bond or the swap, instead we're solely focused on
3 that net funding target. And this has a lot of
4 advantages for the banks, most of which were
5 already described by the gentleman to my right,
6 but this is the most cost effective way for us to
7 issue debt. And, you know, we use it more than
8 any other strategy as evidence of that. We're
9 able to obtain better funding levels than if we
10 were to just go out into the marketplace and issue
11 a floating rate bond index to three-month Libor.
12 It also allows our debt to trade with greater
13 liquidity as we're able to issue across the
14 maturity spectrum. And it also reduces risks.

15 It reduces risks for the Federal Home
16 Loan Banks because we avoid legging risk
17 associated with the timing, you know, of issuing a
18 bond and subsequently swapping it; but it also
19 reduces execution risk for all the parties of that
20 transaction, and it does this by increasing the
21 probability that the issuance and the hedging
22 transaction occurs in the first place. If

1 issuance and hedging was split apart, which could
2 be the case as a result of made available to
3 trade, it will increase the likelihood that these
4 transactions do not materialize, because the bond
5 underwriters will be focused on their customers'
6 needs, delivering a fixed rate bond, for example,
7 with a specific coupon target.

8 And that issuance of that transaction,
9 while it might be suitable for the underwriter and
10 their clients, the Federal Home Loan Banks are
11 going to be focused on what that debt issuance
12 swaps to on a Libor basis. And so the incentives
13 are not well aligned. And so small market moves
14 could cause deals to be turned down or not occur.
15 And so that's an inefficient use of resources.

16 So, you know, we're concerned basically
17 about the presence of this third party in the
18 package transactions, the third-party bond
19 underwriter, excuse me, it makes it, you know, in our
20 idea unlikely that these transactions will be able
21 to be executed on a SEF. At least in the
22 foreseeable future, there doesn't seem to be much,

1 you know, development around taking bond issuance
2 and moving that into this electronic trading
3 world. You know, it's, you know, yes, we're
4 talking about swaps and bonds, which certainly
5 seems to be in play for mandatory trading on SEFs
6 such as, you know, the swap spread strategy, which
7 is, you know, swaps versus Treasuries, you know,
8 but that's a little bit different than what we're
9 talking about here.

10 The Treasury note or bond is, you know,
11 available onscreen. And in many instances already
12 trades electronically today. Where when you're
13 talking about a new-issue debt instrument, there's
14 no CUSIP available. It's not really, you can't
15 pull it up and analyze it. And that technology is
16 just not in place today.

17 So that's really kind of the way that
18 the Federal Home Loan Banks use package
19 transactions. And, you know, we're supportive of,
20 you know, moving towards electronic trading and we
21 think that it's best for the market, but we are
22 concerned about this nuance-type transaction. And

1 we're open for other ideas, because we generally
2 like the functionality and the efficiency provided
3 by SEFs, specifically around the speed of clearing
4 and certainty of clearing and would not be opposed
5 to, you know, a regime that allows us to privately
6 negotiate these multiparty package transactions,
7 but still subject to those, the swap and that
8 transaction to processing on a SEF.

9 CHAIRMAN O'MALIA: Thank you. In this
10 FIXML 5.7 or, there are a couple of things on the
11 bottom here of this MAT to MAT, MAT to non-MAT;
12 will this also support, be supported in a data
13 repository and be informative? I keep thinking
14 about all the questions, all the data
15 harmonization issues we had in the first panel
16 today and I don't --

17 Vince, I don't know if you've given this
18 any thought, but maybe as part of your roundtable
19 that, you know, at least we'll ask the right
20 questions about how we designate this. And if
21 they're going to make an if FpML upgrade anyway,
22 does that increase our surveillance capability and

1 our ability to see it in the SDR? I know Sayee
2 mentioned today we don't have a MAT designation.
3 He's over here if you're looking.

4 MR. MCGONAGLE: No, I wasn't.

5 CHAIRMAN O'MALIA: Oh, okay.

6 MR. MCGONAGLE: I was looking for my
7 guys.

8 CHAIRMAN O'MALIA: Oh, all right. Well,
9 and now you guys are working this cross
10 divisional. You're all one team, right? So --

11 MR. MCGONAGLE: Exactly.

12 CHAIRMAN O'MALIA: But maybe you'd ask
13 that question and get some thought. And maybe
14 somebody --

15 Sunil, I don't know if you have a sense
16 of if that will help us in the SDR context?

17 MR. HIRANI: Yeah, I think that was a
18 really good point that Alex brought up. And in
19 fact, you know, in the meeting that we had on
20 Friday, there's three, four of the people from
21 this group that are liaising with the FpML folks
22 and FIX and that was a discussion that we

1 eventually got to, which is, you know, we need to
2 make sure that the SDRs -- you know, one of the
3 SDRs was present, the second one wasn't, that they
4 also adopt the protocol. Because I think, you
5 know, the point that Alex raised is very valid,
6 you don't want to communicate, you know, in a
7 misleading fashion what the intent of that
8 transaction was. So the codes that, you know,
9 this group has come up with, you know, we would be
10 very open to share them with all of the SDRs and
11 say, this is what, you know, the FCMS, the CCPs,
12 and the SEFs, and credit hubs have agreed to. And
13 I think that's a great idea, because it's going to
14 mislead, the tape will be very misleading if --
15 you know, the risk will be grossly overstated if
16 you don't flag it as such.

17 MR. HENNESSY: Yeah, I agree. There
18 definitely needs to be some data reporting
19 enhancements. I mean, quite simply you're, the
20 swap is not priced as a single-leg transaction.
21 It's inextricably tied to the other leg. And you
22 know, if there's not a way to identify that, it's

1 definitely misleading in the repository.

2 MR. BERGER: It's interesting and I
3 definitely agree, it would be great to flush it
4 out in more detail. I think that clearly with
5 respect to the real-time post-trade public
6 transparency, it's, it would be a great addition
7 to be able to see things at the package level.
8 Functionally at the CCP and in terms of kind of
9 how things get risk managed, they still ultimately
10 then get risk managed as individual line items
11 because they aggregate across a portfolio, and so
12 there may actually be a distinction between what
13 gets done at the CCP and the SDRs in terms of
14 still keeping it at line item levels, but for the
15 post-trade transparency, there would be value.

16 MR. ELVIS: Yeah, I agree with that. I
17 mean, they could be clearing at separate CCPs or
18 one could not be clearing at all, for example.

19 CHAIRMAN O'MALIA: Vince, or anybody on
20 the panel, this cash futures basis, these trades,
21 these futures don't trade on SEFs, how do we deal
22 with that problem? I mean, at least that's

1 within, both are within our jurisdiction. We have
2 rules that say, by the way, you can't trade
3 futures on SEFs, but --

4 MR. MCGONAGLE: Right. So I don't see,
5 futures on SEFs are not permitted, so that is, I
6 don't know that we're going to be, maybe we'll
7 consider alternatives, but I don't know that we
8 were planning ongoing down that route. And
9 certainly looking at these other, sort of
10 non-jurisdictional products that aren't or
11 cross-jurisdictional issues, I think are some
12 areas where there's room for discussion to see
13 where we're going to go, but we haven't
14 contemplated or talked about doing anything sort
15 of on SEF that involves a futures product.

16 CHAIRMAN O'MALIA: When you say, we're
17 not going down that route, meaning you're not
18 going to mandate a package transaction with a
19 benchmark swap and a future to be MATed?

20 MR. MCGONAGLE: So I would be looking at
21 maybe having another conversation about the fact
22 that either you could do it, you know, work the

1 deal packages on DCM, where they could do both
2 futures and the trade executed swap or they're
3 going to have, whether there then be -- if it it's
4 on a SEF, it would have to be busted up.

5 CHAIRMAN O'MALIA: Okay.

6 ACTING CHAIRMAN WETJEN: But let me ask
7 a follow-up question. If a trader is looking at a
8 screen and the screen is provided by an entity
9 that's jointly registered as both a DCM and a SEF,
10 first of all, I think that's a possibility, number
11 one. And then number two, as a practical matter
12 under that scenario, it probably wouldn't matter.
13 Isn't that right, Vince?

14 MR. MCGONAGLE: That sounds right, but
15 that's one of those things that I'd like to come
16 back and talk to my group about.

17 ACTING CHAIRMAN WETJEN: I mean, if the
18 RFQ is different, but I mean, again, theoretically
19 anyway, if a package were listed on an order book
20 and traded on an order book, it would seem that
21 would be very much possible.

22 MR. MCGONAGLE: Yeah, we'd want to take

1 that back.

2 CHAIRMAN O'MALIA: Anybody else? Oh,
3 Cliff. I'm sorry. And then Supurna. I know you
4 were --

5 MR. LEWIS: I just have, obviously the
6 timing of the administrative back end processing,
7 the wrapper, the FpML is all important and you
8 have a, I'm not suggesting anything be done
9 precipitously, but I would suggest that ultimately
10 the package trades have to be brought into this
11 framework or the framework makes no sense. And
12 you can't be half pregnant about requiring certain
13 parts of this to be cleared without understanding
14 that fundamentally, and we talked about this
15 before, the sequence of requirements is backwards.

16 The trading requirement should have
17 preceded clearing requirement. That's water over
18 the dam. But if you guys have a fundamental role
19 of being concerned about systemic risk and not
20 adding to the risk of these instruments now
21 residing at clearinghouses, you're stuck. And
22 every moment that these kind of arbitrage

1 opportunities continue to exist, they will be
2 exploited. I know you've heard of the Cubs grab,
3 right? The new swap product which has a \$1 bet
4 attached to it that the Cubs win the World Series.
5 That's not me making it up, that's actually a
6 design to avoid either, any of the requirements,
7 either clearing, SEF, swap data repository.

8 Now I'm not saying that that's the
9 purpose of this. Obviously it has to be feasible
10 to be able to move these into the environment, but
11 it is not without considerable risk that the day
12 in which you force the hard cut over, big bang,
13 whatever you want to call it, to take place. And
14 by the way, this is not risk unrelated to market
15 circumstances. It is not without precedent that
16 there could be a major interest rate movement.

17 And, gentlemen, you do not want to be
18 halfway between these two worlds if that happens.
19 You know, when margins are going to be readjusted
20 in a major way. I mean, this is, you know, you're
21 on a path and I just would urge you to consider
22 the risks of not seeing this through as

1 expeditiously as possible. I'm not arguing with
2 whether this was the right way to do it or the
3 wrong way to do it. The legislation is very clear
4 and your purposes have been made very clear in
5 terms of moving as much as you can into the new
6 environment. Good luck. I'll be in Argentina
7 where there's a more stable environment.

8 MS. VEDBRAT: Yeah. I think, you know,
9 I'd like to thank the panel for giving us, you
10 know, a good introduction into the main type of
11 packages that exist out in the market. I would
12 just like to add like, you know, one comment about
13 the package trades. Like, each package, there's a
14 reason for it, you may be hedging duration risk or
15 you may be isolating vol[atility] risk, so just to
16 keep that in mind. Because as clients as, you
17 know, we're using these packages, there's a reason
18 behind it. And, you know, if these packages are
19 forced to trade independently or separately, apart
20 from the fact that, you know, they exposed us to
21 all the risks that Stephen outlined as economic
22 benefits, it also, you know, breaks our ability to

1 be able to hedge or isolate the risk that we're
2 trying to hedge.

3 The other thing, you know, that I'd just
4 like to, you know, ask, you know, ourselves, as
5 committee members as well as, you know, our
6 witnesses, we just got 90 days extension and we
7 really do need to have a plan of action on how
8 we're going to get these trades either, you know,
9 MATed -- not MATed -- to trade on SEFs or to make
10 a decision that we may be allowed to trade them
11 off SEF and then just process them on SEF, because
12 otherwise we're going to be back here on day 89 as
13 clients still, you know, facing the same
14 uncertainty of, like, you know premature movement
15 onto SEFs or like an infrastructure that really is
16 exposing us to more systemic risk than reducing,
17 you know, the risk that we're all set out to do.

18 So I mean, the ones that I'd like to
19 highlight, we need, you know, the SEFs to be able
20 to provide us the solution to trade these as
21 linked packages. You know, that's very important.
22 I know we have some of the SEFs sitting out there

1 and then we also have, you know, some of our
2 dealer counterparties that, you know, provide us
3 liquidity. And then on the back end, you know,
4 whether we consider clearing element of it or the
5 limit checking, all of those need to take into
6 account linking these transactions and looking at
7 them as net risk, you know, versus separate risk.

8 CHAIRMAN O'MALIA: Brian.

9 MR. DURKIN: I'd like to thank the panel
10 also and agree with much of what has been said
11 here in terms of the need for time. And thank you
12 very much, CFTC, for granting us some time in this
13 regard. The CME has been working with the
14 industry in terms of coming up with the mapping
15 solutions that are required for these
16 transactions. And I think, you know, the panel
17 here did a nice job of laying out some of the
18 complexities associated with doing so.

19 But, I also, you know, firmly believe
20 that we can come to that resolution and to that
21 solution. So this room shouldn't leave itself
22 like wondering, can this be accomplished. It can

1 be. And there's very much a focus on making that
2 come to fruition. Now, you know, there is some
3 point that has been made here, and I just need to
4 clarify. We suggest well, you know, use an EFRP
5 transaction, it's been alluded to, let's just, you
6 know, be able to do that as a, maybe as a first
7 approach. And, you know, I need to be very clear
8 that those around this table and those listening
9 have an understanding of what we're talking about
10 in terms of what constitutes an EFRP transaction.

11 You know, EFRP transactions have been in
12 place for several years. And today that framework
13 for these trades, it starts with core principle
14 nine for DCMs. And that requires that markets
15 provide a centralized, open and competitive
16 mechanism for trading listed contracts ensuring
17 that the price discovery process in the
18 centralized market is protected. Core principle
19 nine grants DCMs the right, but not the
20 obligation, to permit certain noncompetitive
21 trades from occurring. And that is premised on
22 the rules that are adopted by the exchange.

1 So at the CME noncompetitive trades have
2 historically been and will continue to be a very,
3 very narrow exception to our centralized open and
4 competitive trading in these contracts. Our rule
5 538, which governs EFRPs in our markets, it
6 limits noncompetitive transactions requiring them
7 to be, one, privately negotiated, and also only
8 over-the-counter contracts, that is they aren't
9 executed or subject to the rules of a DCM or a
10 SEF, nor are they to be used as that related
11 position component.

12 So across all of our DCMs, the EFRPs
13 account for just over two percent of our exchange
14 volumes. Now, the low percentage of EFRP activity
15 does not necessarily suggest that market
16 participants do not more broadly establish package
17 exposures as has been explained today. That may
18 or may not involve our futures contracts. It
19 means that they most commonly establish those
20 exposures in a more competitive manner. At the
21 CME group, we prefer these methods of establishing
22 package exposures wherever possible because, one,

1 they contribute to the price discovery process
2 taking place in a centralized market and they
3 facilitate a tighter bid-ask spread and they
4 enhance the overall transparency in our markets.

5 Before the Commission were to consider
6 expanding or permitting EFRP-like-type
7 transactions for SEFs, we recommend the Commission
8 finalize the proposed EFRP regulations under core
9 principle nine for DCMs, which have been proposed
10 -- which have been pending as a proposal for over
11 three years. In our experience over the past
12 three years, CFTC staff has in many instances
13 treated these proposed regulations as final
14 without an informal staff, even an informal staff
15 interpretation.

16 And we believe that this action in the
17 absence of final regs. has created a lot of
18 confusion in the marketplace. There's a lot of
19 uncertainty in terms of compliance risk for
20 exchanges for FCMs, for brokers, and for market
21 participants. So that's an area that I think
22 we're going to have to tackle more deeply.

1 MR. MCGONAGLE: If you can just
2 before we go to the next.

3 MR. MCGONAGLE: Okay. And just to touch
4 briefly on a different tack to the extent that,
5 and I thought I touched on this when we started,
6 that the trade execution requirement talks about
7 two required methods for execution. So to the
8 extent that anyone is contemplating sort of some
9 alternate method, please pay close attention to
10 the discussion. And if you want to challenge
11 whether there was a discussion, the sufficiency of
12 the discussion concerning whether alternate
13 methods for execution are appropriate with respect
14 to swaps subject to the trade execution mandate.

15 So that's a way of saying, you know, the
16 more legal analysis that folks want to submit to
17 the Commission or to DMO in particular as we
18 evaluate this with respect to what the SEF rule
19 said, what comments were made around the SEF rule,
20 what are the required methods for execution,
21 including whether the DCMs are faced the same
22 limitations as SEFs with respect to required

1 execution methods; and then to the extent that
2 folks want to articulate whether or how there
3 could be an exception, that would be much
4 appreciated.

5 CHAIRMAN O'MALIA: Pierre.

6 MR. LAMY: Yes, thank you. First, I
7 would like to thank the panel because it was a
8 very, I would say, fascinating discussion about
9 the value of those package transactions and the
10 fact that we need to adjust the (inaudible) that we have
11 to accommodate those package transactions. The point
12 that I would like to make is the fact that the XML data
13 presentation is probably the easy part of all
14 this. And I think the part of the cross-asset data
15 presentation is a big part, the fact that we will
16 have, as you pointed out, the case of OTCs that
17 we'll have a future as you mentioned, but
18 also security, the U.S. Treasury example. And I
19 think that we will need to think about those in
20 the context of SEF, but also the context of SDRs
21 and Part 45, Part 43 reporting, which scope is currently
22 limited only to the derivatives transaction.

1 So I think we should focus early on with
2 those assets that are not in the scope of Title
3 VII as to how we tackle those. Because I just have
4 the impression that this will be the difficult
5 part of everything.

6 And I was also wondering, in the
7 commodity space, do we have also some package
8 transactions that would involve physical
9 commodities or any of those things?

10 MR. LEWIS: Yes.

11 MR. LAMY: But that would not include a
12 physical. That would be the OTC and the
13 futures, that would be the easy one.

14 MR. LEWIS: You're right.

15 CHAIRMAN O'MALIA: Wally, and then we're
16 going to go to Scott to remind us of the other
17 outstanding TAC -- SEF issue.

18 MR. TURBEVILLE: I think a lot of
19 people, unlike myself, might be predisposed to
20 saying that if it's happening in the market, it
21 should continue to happen. Unlike you, maybe I
22 missed something. I did not hear why the earth

1 will stop rotating if package transactions are
2 done in their component parts as opposed to
3 altogether. I hear that obviously that if a
4 package deal is done, that means a lot of
5 different swaps are going to get done, which would
6 -- if they were done individually, we'd all know
7 what the price is, and now we'll never know what
8 the price of them individually is.

9 I understand that if you own a bond and
10 you hedge it with a swap, you know, you're going
11 to have to put a margin on the swap. I understand
12 that you're doing your deals and you have to do
13 three-month Libor swaps, but you can do
14 three-month Libor swaps. The chances of you being
15 foreclosed from the market are very little there.
16 So what I hear is that people sort of want to do
17 these, and I guess sometimes I hear that it's just
18 convenient and somebody has been told there's an
19 execution risk, I got that, somebody has been told
20 that it's a nice thing to do; but I also
21 understand why it obscures the market, and maybe
22 the world won't stop spinning if you can't do

1 these.

2 There's a roundtable coming up. I'm not
3 asking you to satisfy my personal needs, whether
4 it's based on ignorance or maybe lack of bias, one
5 or the other or both; but I've got to tell you, it
6 sounds to me like enabling a system that isn't,
7 that really is a system that's created to create,
8 you know, deals for folks -- well, for instance,
9 if you -- well, the whole idea about paying three
10 spreads, you come to me and say, I want to do two
11 or three swaps together, and I'll give you one
12 spread. I'm going to go lay it all off, so I'm
13 going to experience those spreads and pass the
14 cost along to you, for instance.

15 It doesn't sound -- it sounds to me like
16 a lot of this is a discussion that defies the laws
17 of preservation of mass and energy, if you know
18 what I mean. So not today, it doesn't have to be
19 today, I'm not asking people to stay late or to
20 satisfy me and you don't have to satisfy me, I
21 guess; but to me if -- it does sound a little bit
22 troubling that the world will end if you don't do

1 these things transparently in components as
2 opposed to in big wads.

3 MR. ELVIS: I think I'm willing to stay
4 late to address that. Well, I think there's, what
5 you -- the last part of what you said, it's
6 actually, you know, in many cases in practice
7 quite the opposite. So, you know, I mentioned in
8 my initial remarks that the interdealer-broker
9 market is for interest rate swaps overwhelmingly
10 composed of spread trades.

11 And the reason why we can price the
12 individual singular interest rates swaps that our
13 clients ask us to perform is because once we have
14 that risk, we have two hedging tools at our
15 disposal. We actually have multiple. We have
16 futures, we have other swaps, and we have
17 Treasuries. And the ability for us to take the
18 offsetting Treasury risk, for example, and then
19 transform that back into the swap that we did for
20 our client is what enables us to make the prices
21 that we do. So it's not even so much that we are
22 passing costs along to customers because we have

1 to go and do each of the individual legs
2 separately and hedge each of the individual legs
3 separately, frequently it actually works the other
4 way and we will hedge using the most available or
5 the cheapest or the hedge that fits our overall
6 portfolio the best.

7 And then we'll do an invoice spread or
8 we'll do a Treasury spread to manage that risk.

9 MR. TURBEVILLE: But somebody has to get
10 back to the underlying risk eventually.

11 MR. ELVIS: Well, that underlying risk
12 has been passed to us, but in my example, that
13 underlying risk has been passed to us by our
14 client already.

15 MR. TURBEVILLE: Does the guy you do the
16 spread deal with eventually or somebody down the
17 chain has to get to the actual real risk.

18 MR. ELVIS: Well, somebody may have, you
19 know, capacity for Treasury risk whereas we have
20 capacity for swap risk or that's, you know, that's
21 -- the reason these things exist is because people
22 have different portfolios and different goals.

1 And I don't think anybody is suggesting by any
2 means that the world will end. I think what we
3 are suggesting is execution costs will rise, risks
4 that neither party to a transaction want, they're
5 going to be forced to have or they will be forced
6 to bear the execution risk, which you minimize a
7 little bit; but I think it's real, particularly in
8 volatile markets. And this is something that
9 happens, you know very frequently in the market.

10 And many of the suggestions that we've
11 been making are designed actually to enhance the
12 transparency of these transactions. So for
13 example, linking them on the tape is a
14 transparency-enhancing suggestion. The managing
15 the clearing --

16 MR. TURBEVILLE: Post-trade, post-trade?

17 MR. ELVIS: Sure, post-trade.

18 MR. TURBEVILLE: Not pre-trade?

19 MR. ELVIS: Well, if you have an order
20 book that's available, you can see where the price
21 is. And I would also say that you can't quote a
22 swaption in vol[atility] terms unless you can fix the

1 Delta leg, otherwise you have to quote the option in
2 price terms. And as the underlying moves around,
3 you're going to have to be constantly
4 recalculating, and it's actually going to be much
5 less transparent I would say. Because I could
6 say, oh, well, I see Treasuries at nine plus, no,
7 I see them at nine, well, my -- you know, if we
8 say, if we agree the Treasury is at nine plus and
9 we're going to exchange the Treasury, agree the
10 ten-year swap is at three percent, we're going to
11 exchange it at three percent, that makes the
12 negotiation between the two parties to swaption
13 much more transparent and much cleaner in my
14 opinion.

15 MR. BERGER: I would just add, I mean, I
16 think we should all be encouraged by the fact that
17 execution venues are all trying to build better
18 mousetraps for package transactions right now. I
19 mean, Sunil could spend an hour talking about his,
20 you know, portfolio termination and compaction
21 tool. There are a number of SEFs that already
22 stream quotes for swaps, curves, and butterflies,

1 and swap spreads, so and I think, you know, we've
2 discussed already how in the interdealer markets
3 there's probably already great central limit order
4 books for certain types of package transactions.

5 So there is a mechanism to see this work
6 in, you know, the post-SEF landscape. I think
7 there's just, you know, there's some hurdles to
8 overcome to make sure it works seamlessly.

9 CHAIRMAN O'MALIA: Thank you. Let's go
10 to Mr. Fitzpatrick here and close this out.

11 MR. FITZPATRICK: Thank you, Chairman
12 O'Malia. For those of you that don't know, my
13 name is Scott Fitzpatrick. I'm a representative
14 today here as the current Chairman of the
15 Wholesale Market Brokers Association. I'm also
16 representing one of the firms that has a central
17 order book for some of these package transactions.
18 I was going to go into a little bit of preamble,
19 Scott, but -- is that better, okay, all right
20 we're good -- in the interest of time, I was going
21 to go into a little bit of a preamble of how we
22 got here, but I will go straight to the points.

1 ACTING CHAIRMAN WETJEN: And for the
2 record O'Malia is shaking his head.

3 MR. FITZPATRICK: I see they acknowledge
4 it from the Chairman, thank you very much. And
5 what I will say is that it isn't all bad news.
6 Obviously, you know, we sat here today and there's
7 been a monumental effort that has gone on across
8 the industry, not only from SEFs, but from swap
9 dealers, major swap participants, ECPs, and also
10 our international colleagues and getting as much
11 of this market efficiently and functionally
12 operational on SEFs as it can. However, in saying
13 all of that, there are still a few issues out
14 there that merit some discussion or some reference
15 in today's TAC meeting.

16 I've deliberately left off the package
17 transactions knowing the agenda for today
18 obviously and coming up over the next few days.
19 So what I want to do is just focus in on four of
20 these areas. The first one being the confirmation
21 requirement in rule 37.6(b) and more specifically
22 footnote 195 in the obligation that SEFs maintain

1 privately negotiated master agreements between
2 counterparties. Second issue I will touch on is
3 the remaining cross border concerns as they relate
4 to counterparties and execution platforms. The
5 so-called embargo rule we'll talk about as point
6 three and its restrictions on disclosing trade
7 information under the CFTC's real-time reporting
8 rules. And finally I'll briefly touch on issues
9 having to do with post-trade straight-through processing
10 and the pre-trade credit checks with the CFTC's
11 guidance that trades rejected by clearinghouses
12 are immediately void ab initio.

13 Originally we were going to talk about
14 each one and open it up for discussions, I'm just
15 going to barrel through all four, and if anyone
16 has any comments or wishes to raise discussion
17 points at the end, we can do that. So first of
18 all, I'll touch on the confirmation requirements
19 and specifically footnote 195. Under the final
20 rule 37.6(b), the Commission requires that at the
21 time of execution, the SEF provide each
22 counterparty to a transaction that it is entered

1 into on or pursuant to the rules of the SEF with a
2 written record of all the terms of the transaction
3 which legally supersedes any previous agreement and
4 serve as confirmation of the transaction.

5 And the preamble to the final rule, the
6 Commission stated in a footnote, footnote 195 that
7 SEFs could incorporate by reference the terms of a
8 separate master agreement as long as the master
9 agreement is submitted to the SEF before execution
10 and nothing in the confirmation terms contradicts
11 the standard terms from the master agreement. As
12 a result of the unexpected implications of this
13 footnote 195, there is widespread confusion
14 currently and uncertainty within the industry
15 regarding how to comply with the final rule or
16 indeed resolve to agree completely on the legal
17 interpretation of this ruling.

18 While certain market participants have
19 engaged Commission staff on this issue, I believe
20 the industry would generally agree that for the
21 clarification from the Commission is necessary.
22 As a practical matter and speaking on behalf of a

1 SEF, it would be difficult, to say the least, for
2 SEFs to house a complete and accurate library of
3 the privately negotiating terms of free standing
4 master agreements between customers. Market
5 participants enter into these bilateral agreements
6 separately from SEFs. And as a matter of industry
7 practice until now, these agreements are not
8 provided to other entities including SEFs.

9 Even if some master agreements, we have
10 provided to SEFs entirely, logistical difficulties
11 would make it impractical for SEFs to ensure
12 possession of all bilateral agreements and,
13 secondly, accurate records as these agreements may
14 be amended and restated periodically, let alone
15 the fact that the industry would be required to
16 manage a vast matrix of which SEFs have which
17 versions of which documents for which products on
18 an ongoing basis.

19 As I'll indicate on more than one
20 occasion during this brief presentation, this is
21 an example of a situation where the theory is
22 simple, but the practical implementation depending

1 on where you fall in terms of the solution, are
2 potentially complex and time-consuming and
3 arguably questionable in terms of real value to
4 the Commission of requiring SEFs to maintain such
5 a library, thus adding once again to the cost and
6 technological stress points to the function of the
7 markets.

8 On cross-border concerns, we're
9 understanding that there are a number of ongoing
10 compliance concerns related to the Commission's
11 cross-border interpretive guidance and policy
12 statement, I'd like to focus this particular point
13 on the staff advisory issued by the Division of
14 Swap Dealer Intermediary Oversight in November
15 of last year regarding the application of
16 transaction level requirements to activity in the
17 U.S. and the dislocation between the guidance
18 provided to the trading community versus that of
19 the SEF community.

20 Staff advisory number 13-69 stated that a
21 non-U.S. swap dealer, whether an affiliate or not
22 of a U.S. person, regularly using personnel or

1 agents located in the U.S. to arrange, negotiate, or
2 execute a swap with a non-U.S. person, generally
3 would be required to comply with the transaction
4 level requirements. Notably, the division believed
5 these requirements would also apply to swaps
6 between a non-U.S. swap dealer and a non-U.S.
7 person booked in a non-U.S. branch of the
8 non-U.S. swap dealer if the non-U.S. swap dealer
9 is using personnel or agents located in the U.S.
10 to arrange, negotiate, or execute such swaps.
11 That was quite the --

12 CHAIRMAN O'MALIA: Do you want to say
13 that again?

14 MR. FITZPATRICK: I got it right the
15 first time, we'll leave it there. Earlier, in
16 January of this year, the Commission requested
17 comments on the advisory by providing no-action
18 relief under CFTC letter number 14-01 to extend
19 the time for non-U.S. swap dealers to comply with
20 certain transaction level requirements to
21 September 15, 2014. Though this no-action
22 release provides relief to non-U.S. swap

1 dealers, it leaves open numerous cross-border
2 questions related to SEF trading. At the same
3 time while relief has been given to non-U.S. swap
4 dealers, there has been no corresponding relief
5 issued in the context of platforms operating in an
6 execution capacity for these entities.

7 To put this into context of TAC and
8 apply the technological concerns, they're simple
9 to describe, again, but complicated to implement.
10 Trading platforms are extremely complex pieces of
11 technology, any changes, even the slightest of
12 them, take time to assess, analyze, define, code,
13 test, and implement for the simple reason that if
14 they go wrong, they can have a significant
15 financial impact on liquidity, and worst case a
16 client position, and as a result run the risk of
17 damaging the relationship between the user of the
18 platform and the provider of the platform.

19 Having situations like the one I have
20 just described in play currently seriously impacts
21 day-to-day decision making as to how you run your
22 platform from a business perspective, a regulatory

1 perspective, but also where you dedicate resource
2 to make things right when you don't quite know
3 what is right and you're overstretched on your
4 resource as it is.

5 Leaving that one there, I'll touch
6 briefly on the embargo rule. The embargo rule
7 covered under Commission rule 43.3(b)(3), which
8 generally prohibits a registered SEF or DCM from
9 disclosing swap transaction and pricing data
10 related to publically reportable swap transactions
11 before public dissemination of such by an SDR, as
12 a result of the rule SEFs and DCMs that would like
13 to continue to permit workups may face workflow
14 issues or in a more simplified fact pattern,
15 cannot share trade information with their
16 customers until confirmation that the SDR has
17 published the information or that the SEF or DCM
18 can confirm transmittal of the required
19 information to the SDR. This delay could have
20 material effects on market liquidity.

21 Anecdotally, I'm aware of potential
22 remedies that include providing an exception to

1 this requirement so that SEFs can publish
2 information simultaneously at the point to which
3 the swap goes to the SDR; however, even that is
4 laced with confusion in the context of direct
5 connection to an SDR versus the use of third-party
6 technologies and at what point transmittal of
7 information has been deemed to have happened.

8 All that said, the reality of this
9 situation is that the workflow complications are
10 real, the practicalities of the required
11 technology solutions are real, and the impact can
12 be severe.

13 Finally, on the four points and the end
14 of my remainder of that there's other things going
15 on as well as package transactions, pre-trade
16 clearing certainty. In September of last year
17 Commission staff released guidance on the
18 straight-through processing of swaps reminding
19 market participants of their obligation related to
20 swaps on a SEF or DCM that are intended to be
21 cleared. Among other things, the staff stated
22 that clearing FCMs must screen orders for

1 execution on a SEF regardless of the method of
2 execution, and in turn SEFs must permit clearing
3 FCMs to screen on an order-by-order basis. The
4 staff folks have noted that the Commission's
5 regulations require SEFs to have rules to
6 facilitate prompt and efficient processing by DCOs
7 and DCOs to accept or reject trades on a SEF or
8 DCM as quickly as would be technologically
9 practical as if fully automated systems were used.

10 And under the guidance, any trade that
11 is executed in a SEF or DCM and that is not
12 accepted for clearing should be void ab initio.
13 Subsequently, staff issued a no-action letter 13-66,
14 which stated that subject to certain conditions, a
15 SEF would not be subject to enforcement action for
16 implementing the rules that established a new
17 trade old terms procedure until June 30, 2014.

18 Before I conclude, I'd just suggest that
19 the issues here for discussion take on several
20 forms. Firstly, the readiness of the marketplace
21 to adopt the various methods and sources of the
22 credit management process. Are platforms and the

1 credit hubs ready with fully tested models for
2 all market types, central limit order book, RFQ,
3 voice, on a push, and on a ping basis? Is the
4 concept of a one and done on a trade that failed
5 to clear for non-credit reasons realistic and
6 acceptable?

7 As I understand it, historically trades
8 can and do go through several iterations of
9 resubmission before ultimately clearing. What if
10 the trade that fails to clear is a component part
11 of a package transaction? And finally, is the
12 time limit of 30 minutes for resubmission
13 acceptable?

14 At this point I'd like to thank you, Mr.
15 Chairman O'Malia, for the opportunity to speak
16 here today. And I'll now hand it back to you to
17 close.

18 CHAIRMAN O'MALIA: Well, thank you very
19 much for doing that. I'm sorry we won't have more
20 time to discuss it at this meeting. I did want to
21 think about those. I know the cross border will
22 be taken up on Wednesday, so that's a great

1 development. And some of these other things,
2 we'll continue to look at.

3 I'm grateful for all the participants,
4 everybody that rearranged their schedule to
5 accommodate the snowed-out date. I'm grateful for
6 the panelists to make their arrangements. I'm
7 grateful for our staff that have participated in
8 this meeting, have stayed in here to listen to all
9 of the recommendations that have been made today.
10 So with that we are done. Thank you.

11 (Whereupon, the PROCEEDINGS were
12 adjourned.)

13 * * * * *

14

15

16

17

18

19

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

CERTIFICATE OF NOTARY PUBLIC

DISTRICT OF COLUMBIA

I, Mark Mahoney, notary public in and for
the District of Columbia, do hereby certify that
the forgoing PROCEEDING was duly recorded and
thereafter reduced to print under my direction;
that the witnesses were sworn to tell the truth
under penalty of perjury; that said transcript is a
true record of the testimony given by witnesses;
that I am neither counsel for, related to, nor
employed by any of the parties to the action in
which this proceeding was called; and, furthermore,
that I am not a relative or employee of any
attorney or counsel employed by the parties hereto,
nor financially or otherwise interested in the
outcome of this action.

(Signature and Seal on File)

Notary Public, in and for the District of Columbia

My Commission Expires: March 14, 2014

