

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

U.S.COMMODITY FUTURES TRADING COMMISSION
CFTC TECHNOLOGY ADVISORY COMMITTEE (TAC)

Wednesday, March 27, 2019
10:04 a.m.

Commodity Futures Trading Commission - CFTC
Three Lafayette Centre
1155 21st Street, N.W.
Washington, D.C. 20581

BEFORE:

Brian D. Quintenz, CFTC Commissioner
Richard Gorelick, TAC Chairman
Daniel Gorfine, Designated Federal Official

ALSO PRESENT:

Rostin Behnam, CFTC Commissioner
Dawn DeBerry Stump, CFTC Commissioner
Dan M. Berkovitz, CFTC Commissioner

1	AGENDA	
2	<u>PRESENTATION</u>	<u>PAGE</u>
3	Opening Remarks	4
4	Commissioner Brian D. Quintenz	4
5	Chairman J. Christopher Giancarlo	
6	presented by Mike Gill	12
7	Commissioner Rostin Behnam	14
8	Commissioner Dawn Stump	14
9	Commissioner Dan Berkovitz	16
10	Introducing 2019 TAC Agenda and New Chair	18
11	Panel I: Automated and Modern Trading Markets	
12	Subcommittee Presentation & Special	
13	Presentation from DMO	22
14	Panel II: Virtual Currencies Subcommittee	
15	Presentation & Special Presentation from ABA	48
16	Panel III: Cybersecurity Subcommittee	
17	Presentation	123
18	Panel IV: Distributed Ledger Technology and	
19	Market Infrastructure Subcommittee Presentation &	
20	Special Presentation from ISDA	162
21		
22		
23		

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

AGENDA (Cont'd)

<u>PRESENTATION</u> (Cont'd)	<u>PAGE</u>
Closing Remarks	210
Chairman J. Christopher Giancarlo	
presented by Mike Gill	210
Commissioner Dan Berkovitz	210
Commissioner Brian D. Quintenz	211

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

P R O C E E D I N G S

MR. GORFINE: Good morning. As the TAC designated Federal officer, it is my pleasure to call this meeting to order. We are very much looking forward to today's discussions, which build on the foundation the TAC established last year and the work of our four subcommittees.

Speaking of our subcommittees, I would like to thank at the outset our outstanding ADFOs, who manage our subcommittees. And that includes Jorge Herrada, Scott Sloan, and John Coughlan. Pursuant to FACA requirements, we are also excited to welcome our new chairman of the TAC, Richard Gorelick.

TAC sponsor Commissioner Quintenz will now give his opening remarks.

COMMISSIONER QUINTENZ: Thank you, Dan. And good morning, everybody. Welcome to this third meeting of the Technology Advisory Committee.

Before we begin, let me just thank again all of our committee members for your robust participation and traveling here to be with us, taking time away from your jobs to participate and give us the benefit of

1 your expertise as well as to all of our subcommittee
2 members, who are not on the full committee but who have
3 participated in the discussions that have led to the
4 discussion that we are going to have today. We very
5 much appreciate all of your time and expertise.

6 In particular, I would like to take a moment
7 to recognize our new chair of the Technology Advisory
8 Committee, Richard Gorelick, for his willingness to
9 lead and giving so generously of his time to advance
10 our work here. Richard has a long and distinguished
11 history as a market participant in the derivatives
12 space. He has been an astute and consistent source of
13 feedback for us here at the CFTC over a long period of
14 time and has been a longstanding member of the
15 Technology Advisory Committee, has participated on two
16 of this committee's subcommittees. He has testified in
17 front of Congress. Richard, thank you for continuing
18 to provide us the benefit of your expertise and now for
19 your leadership.

20 We have a packed agenda today. So forgive me
21 for having a little bit of a longer opening statement
22 than normal, just to try to highlight some of the

1 things that we will be discussing. First, starting
2 right off the bat, for our Automated and Modern Trading
3 Markets Subcommittee, CFTC's own Elitza Voeva-Kolev,
4 and our new chief market intelligence officer, Mel
5 Gunewardena, will present a new and fascinating report
6 prepared by the CFTC's Market Intelligence Branch,
7 entitled, "Impact of Automated Orders in the Futures
8 Markets."

9 The staff report analyzes manual and
10 automated trading's impact on the commodity futures
11 markets. Specifically, the report examines transaction
12 data in 30 different futures contracts for the period
13 between January 2013 through December 2018 and analyzes
14 the correlation, if any, of increased automated trading
15 with volatility. The report contains several
16 significant conclusions, including that the increase in
17 automated order activity in all commodity futures
18 markets has not correlated to increases in end-of-day
19 price volatility.

20 The report will become in my opinion a
21 substantial anchor and a reference point in the journey
22 to achieve an objective, data-driven understanding of

1 the impact that automated and algorithmic trading play
2 in our markets. I am extremely proud that this robust
3 agency work product will be unveiled right here before
4 our own TAC committee, with the public publication soon
5 to follow.

6 The report is an excellent example of our
7 staff using the data that the Commission collects in
8 order to examine and better understand how market
9 structure, trading activity, and market fundamentals
10 are evolving in our core markets. I note that this
11 report complements an earlier MIB report issued this
12 past June examining sharp price movements in the
13 commodity futures markets.

14 Further staff reports and data analysis,
15 along with the expertise represented right here on this
16 committee, are critical to accurately and specifically
17 identifying the impacts and true risks associated with
18 automated and algorithmic trading as well as how the
19 development, adoption, and deployment of market-
20 incentivized solutions are mitigating those risks. I
21 look forward to more thoughts on that topic in the
22 future from this subcommittee.

1 Secondly, our Virtual Currencies Subcommittee
2 will first hear presentation from Peter Van
3 Valkenburgh, director of research at Coin Center, on
4 various consensus mechanisms used for virtual
5 currencies. Currently, both Bitcoin and Ether rely on
6 a proof of work consensus mechanism to validate their
7 respective ledgers. However, the Ethereum Foundation
8 has announced its plans to shift to a proof of stake
9 consensus mechanism at some point in the future, in
10 part, to reduce energy consumption. The transition
11 from proof of work to proof of stake consensus
12 mechanisms raises important questions for both market
13 participants and regulators, including how the use of
14 either mechanism affects the likelihood that a bad
15 actor could manipulate or falsify the ledger. These
16 issues are also among the many topics on which the
17 Commission recently sought comment in a request for
18 information about the evolution of the cryptocurrency
19 market and potential new virtual currency-based futures
20 and derivatives products.

21 Following Mr. Van Valkenburgh's presentation,
22 we will hear from Kathryn Trkla and Charlie Mills from

1 the American Bar Association's Jurisdiction Working
2 Group of the Innovative and Digital Products and
3 Processes Subcommittee. It is a name only lawyers
4 could love apparently. That group has recently
5 published a comprehensive overview of the current
6 Federal and state regulation of virtual currencies and
7 digital assets, along with identifying key policy areas
8 for additional consideration. I am excited to hear
9 from these distinguished panelists on their work.

10 Next, our Cybersecurity Subcommittee will
11 hear from Josh Magri, senior vice president and counsel
12 for regulation and developing technology at the Bank
13 Policy Institute. Mr. Magri will provide an overview
14 of the Financial Services Sector Coordinating Council's
15 cybersecurity profile. The profile presents a possible
16 common, standardized approach that regulators could use
17 when examining cybersecurity at firms.

18 We will also hear about how the transition to
19 cloud-based infrastructure may pose unique
20 cybersecurity concerns for firms as firms work to
21 adjust their current controls to a shared-
22 responsibilities environment.

1 The Cybersecurity Subcommittee has also begun
2 to review existing regulatory guidance on third party
3 vendor risk management, with the goal of presenting
4 possible recommendations to the full TAC about ways in
5 which the CFTC could strengthen its existing guidance
6 in this area. We will hear from subcommittee members
7 about their progress to date on this important
8 initiative.

9 Finally, our Distributed Ledger Technology
10 and Market Infrastructure Subcommittee will present on
11 the current state of DLT, including challenges towards
12 more widespread adoption and potential use cases. The
13 panel will explore if there are specific areas where
14 the CFTC regulations may be inhibiting the adoption of
15 DLT or additional areas where further guidance from the
16 agency could support further development.

17 And, lastly, we will hear from ISDA
18 representatives about the recent release of the common
19 domain model 2.0 for interest rate and credit
20 derivatives. The further actualization of DLT in the
21 derivatives space depends on the ability of market
22 participants to digitize all aspects of their financial

1 transactions. Once the terms of a swap can be reduced
2 to a completely digital, industry-accepted standard,
3 then automated trade reporting; centralized
4 recordkeeping; and, ultimately, smart contracts become
5 possible. ISDA's CDM 2.0 aims to create a standard
6 digital representation for products and lifecycle
7 events in the interest rate and credit derivatives
8 markets, with the hope of expanding to other asset
9 classes later this year. CDM 2.0 is now fully
10 accessible to all market participants, which is an
11 important step towards building broader consensus and
12 supporting its further application in new projects.

13 Just quickly, before I conclude, I would like
14 to very much thank Dan Gorfine, our designated Federal
15 officer and the director of LabCFTC, for his tireless
16 work in organizing all of the conversations and effort
17 to have today's meeting. I also would like to
18 recognize Jorge Herrada, John Coughlan, and Scott Sloan
19 for being the designated Federal officers for our
20 subcommittees and for their tireless work.

21 I am now going to turn it over to the
22 chairman and my fellow commissioners for their

1 comments, but, as many of you may have noticed, our
2 fearless leader, Chairman Giancarlo, is not with us
3 this morning. He is recovering from a cold and didn't
4 want to turn our public meeting into a quarantined one.
5 So, instead, we have Mike Gill, the agency's chief of
6 staff, to give the chairman's remarks.

7 Mike, be warned the chairman assured me he
8 would be watching very closely and judging your
9 delivery. So please proceed.

10 MR. GILL: Well, I actually just got a report
11 from our data technology group that everything was
12 working with the webcast and such because he is
13 actually watching this on the webcast. So, ODT, be on
14 guard.

15 Thank you, Commissioner Quintenz. As you
16 mentioned, the chairman is, unfortunately, under the
17 weather and cannot be with us in person today, but he
18 did want me to come and make a few remarks,
19 specifically applauding the TAC on its structure,
20 focus, and timeliness in exploring a range of emerging
21 technologies and issues that are impacting our markets
22 today.

1 The subcommittee's structure and the
2 dedicated work of its members are helping to drive
3 deep-dive consideration of issues across automated
4 trading, virtual currencies, DLT, and cybersecurity.

5 I look forward to hearing from a broad range
6 of special guest speakers, who will be sharing timely
7 insights and feedback with the Commission. It is
8 through this type of engagement that we as a Commission
9 stay at the forefront of emerging issues and topics.

10 To that end, I want to preemptively thank our
11 staff from DMO and specifically its MIB branch for
12 sharing their findings on the impact of automated
13 orders on markets. When the chairman conceived the
14 Market Information Branch, it is exactly this type of
15 summary in these reports that he had in mind. And so
16 the chairman is especially pleased that MIB is here and
17 presenting its reports.

18 I would also like to commend the TAC DFO.
19 Daniel is going to get a large head after everyone
20 thanks him for all of his work. But he and his team
21 have done a tremendous job with this Technology
22 Committee, and they should be recognized.

1 It looks like we are in for a day of rich
2 dialogue and discussion. And I look forward to sitting
3 in the chairman's seat and learning all I can today.
4 So thank you very much.

5 COMMISSIONER QUINTENZ: Thanks, Mike.

6 Commissioner Behnam?

7 COMMISSIONER BEHNAM: Thanks, Commissioner
8 Quintenz. Welcome to all of you back to the CFTC.
9 Thanks, Commissioner Quintenz, for your leadership on
10 this issue. And thanks to Mr. Gorelick. And, of
11 course, as Richard and I discussed earlier, I am going
12 to call him chairman from now on. So congrats to him
13 on his new job.

14 I look forward to today's discussion. I do
15 have to peel out a little bit early after lunch. So I
16 will excuse myself now but certainly look forward to
17 the dialogue and the findings that the committee
18 members have.

19 Thank you.

20 COMMISSIONER QUINTENZ: Thank you.

21 Commissioner Stump?

22 COMMISSIONER STUMP: I am going to repeat

1 everything that everyone said. Thank you to
2 Commissioner Quintenz for having the meeting.

3 This is my second TAC meeting. So I feel
4 like I have my feet under me a bit better than I did at
5 the last one. I am really excited. Particularly this
6 first presentation is exciting to me. I am so happy
7 that you all have engaged in this work. I know you all
8 know that the commodity markets are continuing to adapt
9 to the new automated trading environment that they
10 operate in. In particular, near and dear to me are the
11 agricultural markets. And so I think this work is
12 going to be hugely helpful to those particular
13 industries in understanding how the markets have
14 evolved. So thanks to everyone who worked on all of
15 the reports that are being presented today,
16 particularly the first one.

17 And a huge thanks -- I doubt that Daniel is
18 going to have an inflated ego, as Mike suggested, but
19 you really do deserve a lot of credit for putting
20 together a tremendous group of folks and work product.
21 So thank you very much.

22 And, Richard, we are really excited that you

1 are chairing the TAC.

2 Thank you.

3 COMMISSIONER QUINTENZ: Thanks, Commissioner
4 Stump.

5 Commissioner Berkovitz?

6 COMMISSIONER BERKOVITZ: Thank you,
7 Commissioner Quintenz.

8 Good morning, everyone, and welcome to the
9 CFTC. It is great to see so many people that I have
10 been working with over the years in this forum.

11 I want to thank Commissioner Quintenz for
12 hosting this meeting and welcome Richard as the chair.
13 I am very much looking forward to our meeting today on
14 technology. Use of ever-advancing technology has
15 always been a key feature of the derivative markets.
16 And the presentations today address a number of issues
17 of significance for our market participants.

18 The first panel on automated order trading is
19 very timely. As we know, automated trading is a major
20 part of our markets and part of the -- most of the
21 financial markets rely on automated trading. I am glad
22 to see the Commission is continuing to examine this

1 issue.

2 I am very much looking forward to the second
3 panel, the American Bar Association. I am very
4 familiar with the work of that organization. Over the
5 years, it has provided many thoughtful analyses and
6 recommendations to the Commission on a variety of
7 issues that have actually found their way into our
8 regulatory structure. And so I am very much looking
9 forward to the presentations today from those
10 panelists.

11 When I was before the Senate Agriculture
12 Committee last year during my nomination hearing, I was
13 asked about data use and management. At that time, I
14 informed the committee that this is an area that I
15 believe the CFTC should be focused on going forward.
16 The afternoon panels will touch on data protection and
17 management. And so I am very much looking forward to
18 that panel.

19 Distributed ledger technology. I recently
20 had the privilege of being on a panel discussion with
21 one of the distinguished members of this committee:
22 Brad Levy, over there. We were on a panel. And not

1 only was I to participate on the panel, but I got to
2 see another panel at this conference talking about
3 potential use of distributed ledger technology in the
4 energy industry. There were a number of exhibits at
5 that conference on this topic. And I am very much
6 looking forward to the presentations on how DLT can
7 impact and improve our markets and our regulatory
8 oversight of those markets.

9 So I am very much looking forward to all of
10 the presentations today and again welcome all of the
11 members of the committee. Thank you.

12 COMMISSIONER QUINTENZ: Thank you,
13 Commissioner Berkovitz. I thank my fellow
14 commissioners and the chairman and Mike for your
15 thoughtful comments.

16 And, with that, I am very pleased to turn it
17 over to our new TAC chair, Richard Gorelick.

18 CHAIRMAN GORELICK: Thank you, Commissioner
19 Quintenz, Mr. Chairman by proxy, and all of the CFTC
20 commissioners.

21 I am honored to have been a member of this
22 Technology Advisory Committee since it was

1 reconstituted in 2010 and now to be named chair of the
2 TAC. I look forward to building on the long body of
3 work of this committee.

4 It has been an important venue to foster
5 public dialogue on the role of technology and
6 automation in today's modern electronic markets. We
7 are witnessing a fascinating time where technologies
8 are continuing to have a profound impact on how we
9 trade and even what we trade. As chairman, I look
10 forward to working with my TAC member colleagues to
11 provide the Commission with feedback and
12 recommendations that assist the agency in its oversight
13 of our markets and to continue to support a regulatory
14 environment that understands and utilizes technology to
15 promote fair competition, encourage innovation, enhance
16 transparency, manage systemic risk, lower costs for
17 investors and hedgers, and give the CFTC the tools that
18 it needs to be an effective modern regulator.

19 I would now like to discuss the approach for
20 today's meeting. As previously noted, we will have
21 presentations by our four subcommittees on Automated
22 and Modern Trading Markets, Virtual Currencies,

1 Cybersecurity, and DLT and Market Infrastructure. We
2 will also have a few special guest presentations,
3 including from the CFTC's Division of Market Oversight.

4 Before we get started, please ensure that
5 your microphone is on when you speak and that you are
6 speaking clearly into the mike so that the webcast and
7 the teleconference audiences can hear you. If you
8 would like to be recognized during the discussions,
9 please place your name card like this so that it sits
10 vertically on the table or raise your hand. For TAC
11 members participating by phone, please keep your phone
12 on mute until you are ready to speak and identify
13 yourself beforehand. Please also refrain from using
14 electronic devices during the meeting.

15 We have one more item to share before we get
16 started with our first panel. And for that, I will
17 turn back to Daniel.

18 MR. GORFINE: Great. Thank you, Richard.

19 Shockingly, I don't have this memorized yet.
20 So I am going to read it to you. During this meeting,
21 there may be references to specific products, entities,
22 or services. Please note that the views and opinions

1 expressed in this meeting regarding these products,
2 entities, and services do not necessarily reflect those
3 of the United States Government or the CFTC.
4 Additionally, any reference to such products, entities,
5 or services is not an endorsement or recommendation by
6 the United States Government or the CFTC but are simply
7 examples of technological solutions in the financial
8 services and commodity markets. Therefore, the CFTC
9 welcomes comments from the public about alternative
10 technological solutions to address the matters
11 discussed during this TAC meeting.

12 Instructions for submitting additional
13 comments and the deadline for submitting such comments
14 are provided on the CFTC website under the TAC meeting
15 webpage. Please note that any comments made in
16 connection with this TAC meeting will be made available
17 to the public.

18 Thanks.

19 CHAIRMAN GORELICK: With that, let's turn to
20 our first panel discussion, which will include
21 presentations from the Automated and Modern Trading
22 Market Subcommittee and a special presentation from DMO

1 on the impact of automated orders on markets. You will
2 hear first from Mel Gunewardena and Elitza Voeva-Kolev
3 of the CFTC. Mel, who is also the new CFTC chief
4 market intelligence officer, we will begin with you.

5 MR. GUNewardENA: Thank you, Richard.

6 I am going to hand this over to Elitza, who
7 has really done a lot of the work with this report. It
8 is the beginning, not the end of the work in automation
9 that we do, but I think you will find that this work
10 across almost 2.3 billion transactions that occurred
11 between 2013 and 2018 provides great insight into the
12 level of automation and the effects of that in our
13 industry.

14 With that, I am going to hand it over to
15 Elitza to go through the report. And we will be both
16 open to taking questions, comments, and even
17 suggestions as we kind of continue our work in this
18 regard. Elitza?

19 MS. VOEVA-KOLEV: Thank you.

20 So I will be reviewing an analysis of
21 entering orders manually and automatically in futures
22 markets. This research is a broad overview of trading

1 activity. And the views expressed here are staff's
2 views and not necessarily the views of the CFTC, the
3 chairman, or the commissioners.

4 So over the past few years, staff from the
5 Division of Market Oversight has interviewed numerous
6 market participants who trade across different
7 commodities. And what we discovered from these
8 interviews is that nowadays participants, aided by
9 technology, are able to place large numbers of
10 transactions for significant volumes. And because it
11 is important to understand how this technology-driven
12 trading is affecting our markets, we followed up with
13 this analysis and used internal CFTC transactional
14 data. And what we did is, basically, we compared
15 manually to automatically placed orders and examined
16 what effects, if any, these two mechanisms had on
17 futures markets.

18 What we found was that the percentage of
19 automatically placed orders has increased for all
20 commodity futures markets. Automated orders are
21 smaller in size than manual orders. And the resting
22 times are shorter than the resting times of orders

1 placed manually. Automated orders are almost
2 exclusively limit orders. And although the level of
3 automation increased steadily each year, historical
4 volatility of end-of-day prices did not exhibit the
5 same trend.

6 We used a basic historical volatility measure
7 that involved settlement prices, instead of intraday
8 implied volatility. And I will go into more detail
9 later in the presentation. And now some definitions
10 are in order.

11 So automated and manual order entry refers to
12 how an order is placed on the order entry message.
13 This is a self-identified flag, which is reported by
14 traders themselves. And it is required only by the
15 CME. So our analysis was limited to CME contracts. So
16 for the CME, annual manual order entry means that
17 somebody physically entered an order through a
18 touchscreen or using a keyboard.

19 Automated orders, or ATS, how we call them,
20 on the other hand, were generated or routed without any
21 human intervention. And this can be a very simple
22 program telling the computer to buy at a certain price

1 or it can be a really advanced algorithm.

2 This is the place to say that automated
3 trading is not the same as high-frequency trading, or
4 HFT. HFT is just a subset of automated trading
5 because, as I said, the field does not inform on the
6 level of sophistication. And, nowadays, most trading
7 platforms offer some degree of automation.

8 We analyzed over 2.3 billion transactions
9 from 2013 to 2018. We couldn't go further back in time
10 because the very end of 2012 is when we started getting
11 the field automated and manual populated in our data.
12 We analyzed 30 contracts in 8 commodity groups. And
13 all data was sourced internally from trade capture
14 reports.

15 And this next slide, page 4, this is a list
16 of the futures contracts that we assigned to the eight
17 commodity groups, which are currencies, equities,
18 financials, energies, metals, grains, oilseeds, and
19 livestock. I am not going to list all of the futures
20 markets, but you should know that we only included the
21 most actively traded contract in each commodity group.

22 And we are going to begin by examining how

1 the degree of automation in futures has changed over
2 the years. This graph on page 5 shows the share of
3 automated orders present in futures markets. On top,
4 you can see all of the DMO-defined commodity groups.
5 The x-axis shows you each year. And, then, the y-axis
6 shows you the percentage of automated orders in each
7 commodity group.

8 And, as you can see, across all groups, the
9 share of automated orders increased from 2013 to 2018.
10 On average, the percentage of the automatically entered
11 orders in currencies, equities, and financials, which
12 are the first three panels on the graph, increased by 7
13 percent. We see a more dramatic increase of about 19
14 percent for the physical commodity groups of energy,
15 metals, grains, oilseeds, and livestock.

16 As you can see, the level of automation for
17 the first three panels, or the financial products, was
18 already very high, but automation is filtering to the
19 rest of the markets. And it was nearing 70 percent at
20 the end of last year. And after conducting interviews
21 with market participants, we determined that a possible
22 explanation for the high level of automation in the

1 financial products is the very high transactional
2 volumes in these markets and also the low basis risk
3 between the futures contracts and the underlying cash
4 market. In contrast, physical commodities usually have
5 higher basis risk associated with contract or delivery
6 specifications of the cash market.

7 We also looked into options, even though this
8 is not reflected in this graph. On average, we found
9 that across all groups during 2018, automated orders
10 were 23 percent more common for futures than for
11 options. And this observation is expected since
12 options are very customizable, which makes it more
13 difficult for options orders to be automated. As
14 technology improves, we could see increased automation
15 in options trading, too.

16 And, next, we are going to look at resting
17 times of limit orders and limit orders because, as you
18 will see in a couple of slides, limit orders are the
19 most prevalent order type. And this graph shows you
20 how long orders were exposed to the market before being
21 consummated. Resting time is basically the time
22 between when an order was entered on the order entry

1 message and when it was filled. In a sense, resting
2 time is a measure of the speed of trading.

3 The red lines show you the automated orders.
4 And the blue lines show you the manual orders. The
5 graphs with the white background, the exchange uses a
6 first in/first out, or FIFO, algorithm to match buy and
7 sell orders; whereas, the group shaded in yellow, the
8 matching algorithm prioritizes based on order size.

9 As you can see, manual orders tend to be
10 exposed to the market for a little longer. And from
11 interviewing market participants, we have determined
12 that a possible explanation for that occurrence is that
13 market limit orders tend to be placed away from the
14 market. And that is why they take a little longer to
15 fill. And overall from this graph, we can see that the
16 commodity groups with the higher level of automation
17 also have shorter resting times.

18 And then the next slide, on page 7, we
19 investigated whether there was a difference in
20 transaction size between manual and automated orders.
21 And this graph shows on average how many contracts were
22 consummated per transaction. Again, the automated

1 orders are in red, and the manual are in blue. As you
2 can see across all markets, the average number of
3 contracts consummated per transaction was a little
4 smaller for automated orders. On average, in most
5 markets, there were about two contracts per transaction
6 with the exception of the equities and the financial
7 groups.

8 The e-mini S&P, NASDAQ, the 10-year Treasury
9 notes, Eurodollar, and the Federal funds all trade
10 large orders. We examined the participants in our
11 trade report database and found that in those markets,
12 they are big institutional traders who place large
13 orders.

14 We also investigated the order composition of
15 manual and automated orders. Automated orders on this
16 graph are shown at the top panel, and manual orders are
17 shown at the bottom panel. The different order types
18 that markets typically allow are limit, shown in gray;
19 market, in purple; and stop, in orange. Limit orders
20 allow the buyer to define a maximum purchase price for
21 buying and minimum sell price for selling an
22 instrument. Market orders get executed right away at

1 the current market price. And stop orders do not
2 immediately go on the book. They must be triggered at
3 the price level submitted with the order.

4 As you can see on the graph, automated orders
5 are almost exclusively limit orders. Manual traders
6 use stop orders four percent and market orders 11
7 percent of the time. The reason for this is that
8 automated traders can replicate the functionality of
9 stop and market orders by relying on their speed in
10 reading the market and placing limit orders instead.

11 Even if an order has stop loss components to
12 it in the computer behind the scenes, how it comes to
13 the market and how we see it in our data is a limit
14 order. What this means for us is that when we try to
15 understand market events, we cannot rely on stop orders
16 to help explain what happened. And since 2013, the CME
17 updated their velocity logic of halting trading to
18 include both stop and limit orders. And on our end, we
19 are seeing fewer market events in which stop orders
20 were implicated.

21 Next, we examined how overall prices have
22 changed over the study period. We analyzed price

1 movements in two ways, the first one by counting the
2 number of up-and-down price ticks or the number of
3 times prices moved every day. This measurement is at
4 the top panel of this graph. We also calculated the
5 variance of one-day natural log price returns from the
6 end-of-day settlement prices and then normalized them
7 to an annual volatility measure. This is shown at the
8 bottom panel of the graph.

9 Even though these two measurements seemed
10 similar, they addressed different aspects of trading.
11 The transaction-to-transaction price moves capture
12 price fluctuations driven by factors intrinsic to the
13 market, such as market structure and trading activity.
14 The historical statistical volatility is considered to
15 be driven by market fundamentals because it involves
16 changes in prices over long periods of time, in this
17 case over years.

18 As we see, price moves and day-to-day
19 historical volatility move in tandem. In other words,
20 intraday trading accurately reflects the fundamental
21 driven volatility.

22 We also performed a basic statistical

1 analysis on the two price measurements I just
2 discussed. This scatter plot shows the correlation
3 between historical end-of-day price volatility and
4 average number of price moves.

5 The numbers within the individual blocks
6 represent the correlation coefficients. Most of these
7 coefficients are above 0.5, meaning that there is a
8 moderate-to-high positive linear relationship between
9 the two price measurements. If the two measurements
10 did not move jointly, that would have raised concerns
11 about trading mechanisms and overall market structure.

12 So the presentation that is on the screen is
13 actually missing one page, but everybody should have it
14 in their presentation in front of them. The title of
15 the slide is "Correlation Between Historical Volatility
16 and Share of Automation."

17 So we performed the similar correlation
18 analysis that I just showed you on the relationship
19 between historical volatility and share of automation.
20 The correlation coefficients between the historical
21 volatility and how automation has increased in the
22 commodity groups, those coefficients are either around

1 0.1, which implies that there is no relationship
2 between the two, or around -0.5, which implies a
3 negative linear relationship. That simply means that
4 as one variable increases in value, the other variable
5 decreases.

6 As we discussed at the beginning of this
7 presentation, the level of automated trading in futures
8 markets has increased steadily from 2013 to 2018. This
9 correlation analysis shows that historical end-of-day
10 price volatility has not equally been increasing year
11 over year. This does not imply that automated trading
12 has not affected short-term market events.

13 And next is the last graph of the
14 presentation. This graph simply displays trading
15 volume and historical day-to-day volatility together.
16 As expected, as historical volatility goes up, so does
17 the trading volume. For example, in the equities, the
18 well-known decrease in volatility from 2015 to 2016 and
19 its subsequent increase in 2017 are, similarly,
20 reflected in the changes in volume for those years.

21 So, again, this was a broad overview of
22 trading in the futures markets and the increased level

1 of automation. And based on our analysis and
2 interviews with market participants, we have concluded
3 that the number of automated orders in futures markets
4 has increased. Automated orders are smaller, faster,
5 and mostly limit orders. And historical end-of-day
6 volatility does not appear to follow the same increase
7 as that of automated orders.

8 And we would welcome all feedback and
9 suggestions for follow-up studies or any comments. So
10 please feel free to reach out to me or Rahul if you
11 would like to.

12 CHAIRMAN GORELICK: Okay. Thank you very
13 much for that presentation.

14 Before we move on to the second presentation
15 from this subcommittee, I wanted to open up for
16 questions here. Is there anyone on the committee here
17 that wanted to ask some questions about this
18 presentation? Adam?

19 MR. NUNES: Thank you.

20 My question is, did you look at whether or
21 not the increase in automated orders is because firms
22 who are always sending a very large portion or just

1 sending more orders or that, you know, firms that
2 previously were sending manual orders converted and
3 started sending a bigger portion of automated orders?

4 MS. VOEVA-KOLEV: So it is actually a
5 mixture. Some of it is because there is just more
6 volume traded. And some of the manual orders are
7 converting to automated.

8 CHAIRMAN GORELICK: Elitza, I had a question
9 for you on the presentation. Did you look at
10 distinguishing the limit orders between marketable
11 limit orders that were immediately cross the bid-ask
12 spread and nonmarketable that tended to be resting
13 orders?

14 MS. VOEVA-KOLEV: No. We did not do that
15 distinction.

16 CHAIRMAN GORELICK: Okay. I suspect that
17 that might be an interesting follow-on to this study.
18 And it may provide some interesting information about
19 resting times, for example.

20 MS. VOEVA-KOLEV: Thank you.

21 MR. WORKIE: I just had a question about the
22 last conclusion, where you talked about the increase in

1 automation and then compared it to a lack of increase
2 in volatility. Were you expecting there to be an
3 increase in volatility over that period of time? I was
4 just trying to understand, what was the correlation you
5 expected to see? And how did that differ from what you
6 actually saw?

7 MS. VOEVA-KOLEV: I don't think that we
8 expected to see the correlation differ because, again,
9 this is not the volatility. It is not intraday
10 volatility. This is just a very broad view of
11 volatility from settlement price to settlement price.
12 So we were happy to see that the information from the
13 fundamentals kind of trickled to what happened in the
14 market every day, but I don't think we expected to see
15 a very different outcome.

16 CHAIRMAN GORELICK: John?

17 MR. LOTHIAN: Did you look at automated
18 trading by participant type, whether commercial or
19 professional trader or retail trader or any of those
20 categories?

21 MS. VOEVA-KOLEV: No, we did not. We did not
22 differentiate between any of the participants.

1 Everybody was included.

2 MR. LOTHIAN: Okay.

3 CHAIRMAN GORELICK: Brad?

4 MR. LEVY: Thanks.

5 You talk about currencies, equities, and
6 financials being similar in level of automation because
7 of the low basis and connection to the cash underlier
8 but then financials seemed to be a bit different as
9 well because of the nature of that market as larger
10 trades, institutional. Was there any more work done
11 there or expected to be done there on that difference
12 between the financial side versus equities and
13 currencies and understanding how that larger order size
14 impacts in even certain environments that are higher-
15 volatility? And what might the differences be?

16 MS. VOEVA-KOLEV: We have not studied that,
17 but that is a very interesting idea. And we would
18 probably follow up. Thank you.

19 MR. LEVY: Thank you.

20 CHAIRMAN GORELICK: Paul?

21 MR. CHOU: In terms of resting time and limit
22 orders, did you look at, you know, the various tick

1 sizes that different markets might have, you know, in
2 the sense that larger tick sizes, people might just
3 hold it a lot longer, just, you know, where small tick
4 size is, the resting time would likely be shorter, just
5 one comment?

6 MS. VOEVA-KOLEV: We did not look at that but
7 thank you.

8 CHAIRMAN GORELICK: Aubree?

9 MS. GREENSPUN: So I am just looking to
10 further refine my understanding of the data set. So
11 when we talk about orders, I am assuming that also
12 included quotes.

13 MS. VOEVA-KOLEV: I am sorry. Can you please
14 say that again?

15 MS. GREENSPUN: When you talk about orders, I
16 am assuming that also includes market-maker quotes. Do
17 we not? Are you not differentiating those in your data
18 set?

19 MR. NUNES: That is not really a thing on CME
20 on the futures side.

21 MS. GREENSPUN: Okay. So you don't?

22 MR. NUNES: So it is order-based.

1 MS. GREENSPUN: Okay. And, then, lastly, as
2 far as the data set, when we talk about orders, are
3 they all outright orders, looking at seeking liquidity
4 in the lit market or does that include the block orders
5 as well?

6 MS. VOEVA-KOLEV: It is outright implied and
7 also spread implied.

8 CHAIRMAN GORELICK: Okay. As we go forward,
9 I would like to ask everyone to introduce themselves
10 when they first speak and also to speak a little bit
11 louder. I am getting some feedback that some of the
12 sound is a little quiet. So Larry?

13 MR. TABB: In terms of timeliness, your
14 granularity is pretty -- you know, at the low levels,
15 it is kind of hard to really understand. Do you have
16 better time granularity than seconds?

17 MS. VOEVA-KOLEV: No. I mean, the data does,
18 but it was very hard to put it in the graph and, you
19 know, show it on a yearly basis.

20 CHAIRMAN GORELICK: Bryan?

21 MR. DURKIN: I don't have a question. I have
22 more of a comment and a compliment to the Commission

1 staff. I think this report is very important to have
2 concluded what we around this committee for a number of
3 years have emphasized, which is this is a natural
4 evolution of these markets. The efficiency of these
5 markets, the analogy that you did to validate that you
6 were not seeing any impacts to volatility or creation
7 of volatility by the increased usage of automation I
8 think is a very important point to have been able to
9 conclude in this report because that has been a topic
10 of I think misinformation that has circulated out there
11 from time to time. So it is a great credit to you and
12 your team for being able to do a factual representation
13 of what has been occurring in the evolution of these
14 markets.

15 I also think that it just ties into the great
16 work of this group. And I know Richard will hear this
17 again, but, you know, we started down this path
18 probably 11 years ago as a unit of market participants
19 in developing systematology and capabilities that we
20 have had in place in anticipation of this continued
21 evolution of these markets. When we started this whole
22 process with this review, it was really in response to

1 the IOSCO recommendations in terms of having certain
2 protocols in place, to which this Commission has led
3 the charge in that regard in the context of the best
4 practices and the capabilities that we have in place
5 that I think have also been analogous to the great
6 performance of these markets as they continue to evolve
7 and continue to be more automated.

8 CHAIRMAN GORELICK: Thank you, Bryan. I will
9 echo that comment.

10 I think this is a great use by the CFTC of
11 empirical data. And I really am happy to see the CFTC
12 acting in this role of an expert regulator to really
13 get into the data and understand what we can learn from
14 the market. So thank you very much for the
15 presentation.

16 MS. VOEVA-KOLEV: Thank you.

17 CHAIRMAN GORELICK: So before we move on, is
18 there anyone on the phone who would like to weigh in on
19 this one?

20 MR. HEHMEYER: So, Richard, quick question.
21 This report is now public?

22 CHAIRMAN GORELICK: Yes, it should be. Thank

1 you.

2 MR. GUNewardena: It will be made public
3 shortly.

4 MR. HEHMEYER: Okay. Thank you.

5 CHAIRMAN GORELICK: Okay. With that, we will
6 move on to the discussion from the Automated and Modern
7 Trading Market Subcommittee. I will turn it over to
8 Adam, Alex, and Bryan.

9 MR. DURKIN: The subcommittee has continued
10 to spend a great deal of time looking at, as we were
11 requested to do so, the evolution of these markets.
12 The IOSCO proposed, you know, recommendations that were
13 recently issued, taking a good look at the various
14 protocols that we have had in place over the years.
15 And speaking, you know, on behalf of my fellow
16 colleagues, you know, we feel very strongly that the
17 model that we have had in place that the Commission has
18 continued to support is the development of a
19 principles-based approach to having the appropriate
20 risk management protocols in place.

21 The focus of the IOSCO report has been, you
22 know, very specifically tied to having protocols in

1 place to deal with velocity in the markets. And I
2 think it is fair to say that, you know, we as a
3 marketplace at the CME group and constituents around
4 this table take a very holistic approach to this. And
5 that is one element of ensuring the integrity of a
6 marketplace and having protocols in place that deal
7 with the practices on the front end as orders are
8 entered into the system, the responsibilities in terms
9 of those market participants entering those orders,
10 having the appropriate risk protocols in place on the
11 front end on the part of the exchanges in terms of
12 having their automated systematology in place to
13 preserve that market integrity as those orders reach
14 the platform and providing the marketplace with a
15 robust set of controls, whether it be on pre-trade or
16 post-trade basis, for the marketplace to be able to
17 respond to and take appropriate corrective action
18 should there be, you know, a situation of concern and
19 then continuing to evolve those capabilities as these
20 markets continue to evolve. So I think we all feel
21 very strongly that, you know, the process and the
22 approach that we have taken collectively is the right

1 approach in terms of being standard bearers in
2 evolution and making sure that these controls and these
3 capabilities continue to evolve with these markets.

4 MR. NUNES: So I think the only thing I would
5 add, we did discuss that with respect to SEFs. And,
6 you know, Bryan alluded to principles-based approach.
7 You know, we did discuss that the market structure on
8 SEFs is significantly different from the DCMs that we
9 see, you know, with such a high degree of automation
10 and that, you know, the pre-trade controls are likely
11 to be different. But if we take a principle-based
12 approach, they can be applied in the appropriate manner
13 based on the market structure of the SEF.

14 MR. STEIN: So I would just add the small
15 point that the established liquid markets in the SEFs
16 work very well and as we evaluate options to bring
17 better oversight and integration of the less-liquid
18 one-off trades, that we don't undermine the liquidity
19 and the well-functioning nature of the current market.

20 CHAIRMAN GORELICK: Okay. Thank you for that
21 discussion.

22 I just want to kick it off to the

1 subcommittee and to the committee here to confirm sort
2 of Bryan's statement here. Does the subcommittee feel
3 that the major U.S. futures exchanges generally adhere
4 to these IOSCO principles that we have been talking
5 about?

6 MR. DURKIN: Well, speaking for the
7 exchanges, yes, we absolutely do.

8 (Laughter.)

9 MR. DURKIN: In fact, you know, I would say
10 we have led those efforts. And, you know, clearly that
11 is something I think for the U.S. markets to be
12 extremely proud of and for the CFTC to be extremely
13 proud of because the things that were outlined in that
14 report are mechanisms and, you know, guidelines and
15 programs that we all collectively have developed over
16 the years and have adhered to and have led those
17 efforts. So yes.

18 CHAIRMAN GORELICK: Good. Thank you, Bryan.

19 From the rest of the group here, were there
20 any thoughts on this discussion that we have heard
21 today?

22 (No response.)

1 CHAIRMAN GORELICK: Okay. So to just briefly
2 summarize, I think what I am hearing from the
3 subcommittee, that the U.S.-based exchanges are today
4 complying with the IOSCO principles, that the SEFs
5 present some -- because of the different trading
6 mechanisms, they present some unique questions around
7 these mechanisms as well.

8 Are there exceptions or areas on the SEFs
9 that require special handling, things that would be
10 distinct from the IOSCO principles, as we see them
11 applied on the exchanges?

12 (No response.)

13 CHAIRMAN GORELICK: Okay. Maybe that is a
14 topic we need to continue to consider in the
15 subcommittee going forward.

16 So, with that, I would open it up to
17 questions from anyone on the phone or, otherwise, I
18 think we are prepared to move on.

19 (No response.)

20 CHAIRMAN GORELICK: So I thank you very much
21 to the subcommittee.

22 I think we will arrange for a quick shift

1 here. And in a few minutes, we will pick up with the
2 Virtual Currencies Subcommittee presentations. Thank
3 you.

4 MR. GORFINE: Okay. While we are actually
5 switching panels and presentations, we will take a
6 five-minute coffee break and then reconvene here in
7 about five minutes.

8 (Recess taken.)

9 CHAIRMAN GORELICK: I think we are going to
10 get started here. We have got two very detailed and
11 extensive presentations here this next segment that I
12 would love to give the appropriate amount of time to.
13 So I would now like to turn to our next panel, in which
14 we will hear from our Virtual Currencies Subcommittee
15 members Mr. Gary DeWaal and Mr. Peter Van Valkenburgh
16 and guest speakers Mr. Charles Mills and Ms. Kathryn
17 Trkla, who will discuss the recent American Bar
18 Association publication that provides a comprehensive
19 survey of the regulation of virtual currencies and
20 other digital assets. We will then open up the
21 conversation to the TAC membership to explore next
22 steps for the subcommittees and its work.

1 With that, I will turn this over to Mr.
2 DeWaal.

3 MR. DeWAAL: Thank you, Richard. And I might
4 note that until at least a couple of days ago, Richard
5 was co-chair of this subcommittee, departed off on a
6 trip to Cuba. The next thing I knew, I was running
7 this thing alone. So thank you very much, Richard, for
8 that opportunity.

9 Thank you, commissioners, for having us.
10 Thank the rest of the TAC members. And, of course,
11 thank the audience for all being involved in these
12 important conversations.

13 And, again, without being repetitive -- of
14 course, it is repetitive -- this is fast. It is great.
15 But the CFTC has a Technology Advisory Committee. It
16 is so consistent with the chairman's objective of being
17 a Twenty-First Century regulator, emphasizing
18 technology. And, you know, I personally appreciate
19 being a part of this process.

20 The subcommittee has been spending time
21 trying to understand and trying to make recommendations
22 related to potentially where the Commission may be

1 going in approving other derivative contracts.
2 Currently, there are approvals on derivatives based on
3 Bitcoin, but there is certainly interest in seeing
4 approvals of other derivatives based on other
5 cryptocurrencies through the self-certification process
6 as well as, obviously, new applicants.

7 And so we were very, very interested when the
8 CFTC launched its RFI on Ether. And, of course, we
9 read with great enthusiasm many of the comment letters.
10 And, as we review the comment letters, one of the hot
11 topics that seems to be addressed was the whole issue
12 of the fact that Ether is likely moving from a proof of
13 work to a proof of state consensus mechanism sometime
14 this year. And we thought it would be helpful to
15 better understand what that is. There seemed to across
16 the board a little bit not clarity in some of the
17 answers.

18 And Peter was kind enough to offer to help
19 explain it a little bit better, talk about, you know,
20 some of the controversies, more secure, less secure.
21 And so, hence, I am going to shut up and let Peter take
22 over and give some real valuable insight.

1 MR. VAN VALKENBURGH: Thanks, Gary. Thanks
2 to the commissioners and the members of the TAC for
3 having me.

4 So consensus mechanisms, it is a big-looking
5 word. It is not exactly something a lot of people who
6 are more comfortable with financial markets are going
7 to be comfortable discussing necessarily because it
8 comes from the world of computer science. And these
9 are the foundational revolutions behind
10 cryptocurrencies that I am about to describe. And
11 because of that, it is helpful to start with a meme and
12 a little bit of background. Why do we have
13 cryptocurrencies? Why do we have open blockchain
14 networks? What is their purpose? Because their
15 purpose informs the mechanisms and the designs of those
16 mechanisms that power them.

17 So just a quick review. Cryptocurrencies en
18 masse, they take centralized services, and they turn
19 those services into peer-to-peer internet protocols.
20 So if you think about your email, there is no company
21 that runs that. It is something that is an internet
22 protocol, the simple mail transfer protocol, and allows

1 you to send an email from one person to another, even
2 though you might use Gmail, she might use Yahoo!, he
3 might run his own email server, although I wouldn't
4 necessarily recommend that in Washington, D.C.

5 PayPal or Venmo is an example of a
6 centralized internet service that is actually kind of
7 like Twitter or Facebook, which are centralized
8 messaging services, as compared to a decentralized
9 email. And PayPal and Venmo are, of course, ways of
10 paying people across the internet. So the goal of
11 cryptocurrencies and open blockchain networks is to do
12 the things that PayPal does but do them without the
13 company actually running the show, do them as a peer-
14 to-peer internet protocol. And that is really quite
15 stunning. It is really fantastic.

16 So how does it work? Well, it works with
17 blockchain-crypto-magic, as we all know, which can
18 solve most of the problems that we are all facing in
19 our lives. What I really mean is it works because of
20 peer-to-peer networks and consensus mechanisms. Now, I
21 am not going to talk much about peer-to-peer networks
22 today. Suffice it to say that you may think of a hub-

1 and-spoke model of communications where everyone is
2 kind of talking to a central node and then they relay
3 the messages outward, to the edges. That is not peer-
4 to-peer networking. Peer-to-peer networking literally
5 means that this computer could potentially through the
6 internet form a direct connection with any other
7 computer in this room, and they could all connect to
8 each other and propagate messages through the entire
9 network without relying on any one participant.

10 Consensus mechanisms, however, are the topic
11 of the presentation I am going to give today because
12 they are even more complicated in many ways.

13 So, just to remind you -- and if you have
14 seen me present before, this slide might look familiar.
15 If we are talking about decentralized applications or
16 decentralized services, money is the simplest one to
17 probably use as an example, but we could talk about
18 identity or file storage or other things. And it
19 basically looks like this. In the normal traditional
20 centralized paradigm, you have got a company like
21 PayPal that does a bunch of things for its customers or
22 its users. And that is things like checking passwords

1 and login credentials, keeping track of who has paid
2 who, managing their employees to make sure everyone is
3 working together to make this service as good as it
4 could possibly be.

5 Now, how do you take that and take the
6 company out of it and put it into a peer-to-peer
7 network? You basically need to automate those three
8 big services. And that automation is the process of
9 getting every computer to agree on essential data to
10 the service. And that process of getting all of those
11 computers across the internet to agree is the process
12 of consensus. So when we talk about consensus
13 mechanisms, we are talking about the actual technology
14 to get all of the computers to agree on the sorts of
15 things that PayPal would otherwise be in charge of
16 setting as rote.

17 So what are these things? Well, the first
18 thing to point out is that, of course, this is
19 distributed ledger technology. This is a particular
20 type that uses an open network. And what that means is
21 copies of the data that is essential to the consensus,
22 the data that we actually are trying to reach consensus

1 over, is stored redundantly on computers all over the
2 world. Every computer on the network gets a copy of
3 the ledger. And that is very important and gives us
4 some great and kind of neat resiliency features
5 because, unlike having centralized servers, you could
6 imagine one computer being somewhat malicious, hence
7 the horns, or another computer going offline because of
8 some catastrophe or just the loss of electricity. And,
9 yet, the network as a whole is fine because there are
10 copies of this data stored on hundreds, if not
11 thousands or tens of thousands, of other computers.
12 Fault tolerance is what we are talking about here.

13 Now, another thing to point out is I have a
14 stylized version of a blockchain here or of a block in
15 the blockchain. And you see I have got timestamps.
16 Now, the fact of the matter is we don't actually have a
17 good way of knowing which transaction comes before
18 which transaction if we just have a big, long list of
19 them. And that is because if we were going to actually
20 timestamp each transaction, we would need to rely on a
21 centralized party to do the timestamping. You know,
22 you would need to rely on the United States Government

1 perhaps to do the timestamping or you would need to
2 rely on a corporation. And that is simply not how we
3 want to build these systems with a single point of
4 failure.

5 So blockchain and open blockchain networks,
6 instead, we don't timestamp necessarily every
7 transaction. But we can reach consensus over whether a
8 batch of transactions happened before or after another
9 batch of transactions. And we call these batches
10 blocks in the terminology of cryptocurrency, and they
11 are, of course, blocks in the blockchain. And the
12 reason why we can have all of these computers agree
13 that one block happened before another is that a piece
14 of data from the previous block must be used to build
15 the next block. And, really, what you are doing is you
16 are taking all of that data, that previous block, and
17 running it through a mathematical function called a
18 hash function, and taking the output -- the output is
19 just the answer to the equation, if you will -- and
20 putting that output in the next block. The only way to
21 create that output would have been to have that initial
22 data. So you know that if you see that output in the

1 end of this chain, it must have had the inputs from the
2 previous block, which must have had the inputs from the
3 previous block, which means we know that that block
4 happened after those previous blocks. It is just a
5 really rudimentary way but a foolproof way of ensuring
6 that we have an order that everyone can agree on. So
7 we run this data through a hash function.

8 And we can actually calibrate the difficulty
9 of how expensive in computing cycles and electricity it
10 is to run that hash function. We can make it more or
11 less difficult to suit our needs. And because it is
12 difficult, it gives us another neat little feature. We
13 can have every computer on the network that wants to --
14 we call it mining, but it is not the best term -- mine
15 the blockchain run that hash function. And the first
16 person to solve it -- it is sort of like an open-ended
17 math problem -- will be the person that the rest of the
18 network will recognize. So, again, this is part of
19 finding consensus. The first person to solve the
20 equation will be the consensus, the member of the
21 consensus, who will be recognized as authoritative for
22 writing the next block. And so this gives us what you

1 can think of as a provably fair lottery. Now, there
2 are other blockchain networks, permissioned blockchain
3 networks, or social graph blockchain networks, where
4 the participants are previously identified by a scheme
5 organizer. So we don't need to have this hash function
6 base provably fair lottery because you could basically
7 have everyone have a name on the network and put their
8 name in a hat. And then you pull the names out. And
9 that is how you go around and pick who is going to make
10 the next block. But these networks are open networks.
11 And we can't trust everyone to put in a name, honestly.
12 So we have to have some other mechanism of setting up
13 this provably fair lottery of who is going to write in
14 the next block.

15 Now, another thing about my stylized version
16 of the blockchain, there are no real names in the
17 blockchain, like my version here. And the transactions
18 are going to be in the cryptocurrency described by that
19 blockchain, so not dollars and not Vitalik, but,
20 rather, a public key transferring a Bitcoin to another
21 public key. And these public keys are basically the
22 addresses where you get paid, and your private key is

1 something kept on the device that generated the public
2 key that allows you to sign the transactions. And
3 those signatures are what guarantee that the person
4 sending the funds is the person who actually received
5 the funds in the past, they are not trying to send
6 someone else's funds.

7 And who checks all of these signatures?

8 Well, our lucky miner, who was the first to solve the
9 hash function, they are going to announce their winning
10 lottery ticket, effectively, to the rest of the
11 network, "Hey, I solved the function. Check it. Make
12 sure that I am right." And they are also going to
13 share with the rest of the network the signature data
14 from the transactions in the blockchain. And the
15 network is going to check all of that. And this is
16 what prevents people from double spending, prevents
17 people from putting transactions in the blockchain that
18 would be fraudulent, spending other people's money
19 because when this one miner announces this block, they
20 don't have complete power to put whatever they want in
21 that block. It has to obey the rules of the consensus
22 mechanism, which include only transactions with valid

1 signatures. And so everyone else in the network checks
2 those signatures and checks the hash or the work that
3 the miner did.

4 And all of this comes together to form what
5 we call a proof of work consensus mechanism, the thing
6 that Bitcoin uses, the thing that Litecoin uses, a
7 whole bunch of other cryptocurrencies.

8 Now, you might ask yourself, why do all of
9 this work? Well, you get to give yourself a reward,
10 also based on the rules of the protocol. So in Bitcoin
11 right now, you are allowed to give yourself 12.5 new
12 Bitcoins if you win this lottery and form the next
13 block. And you can give that as a transaction to
14 yourself that has no originator. It is the only time
15 you are allowed to send Bitcoins that don't come from
16 somewhere because this is the money creation feature in
17 that network.

18 And, again, if the miner wanted to do
19 something malicious, say give themselves a bigger
20 reward than 2.5 (sic) Bitcoins, which is currently the
21 specified number in the protocol, the rest of the
22 network would see that block, check that work, and say,

1 "I'm sorry. You can't give yourself that big a reward.
2 You are breaking the consensus mechanism's rules." And
3 so in this case, the block is fine. And this is why
4 the miner did all of this work, this computationally
5 expensive, electricity-expensive-type work. It is
6 because they wanted this reward. And they could also
7 collect fees from transactions in the block.

8 Now, it is important, it is really critical,
9 to point out that this is an open consensus mechanism,
10 again, different from, say, a permissioned blockchain,
11 as I described earlier, where all of the participants
12 are identified beforehand, or a social graph consensus
13 mechanism.

14 So what do we have in an open network? We
15 have the possibility of writing the next block in the
16 blockchain. Who can do that? Quite literally,
17 anybody. Anybody who has free software and an
18 internet-connected computer can run this hash function
19 based on the prior data in the blockchain that they can
20 freely download. And if they are lucky, they will win.
21 And their proposed next block will be the one chosen to
22 be added to the blockchain.

1 And it doesn't matter if this is the first
2 time you have ever turned on your computer and happened
3 to install the software. There is no gatekeeper.
4 There is no one you have to seek permission from. You
5 can mine, too, now if you want. You can help build the
6 blockchain. And this means that these networks can
7 potentially get very big, hundreds of participants,
8 thousands of participants, who are all maintaining the
9 data. And they are open-ended. They can get even
10 bigger. More and more people can join. And that just
11 adds to the resiliency of the system. We have got more
12 people checking other people's work. We have got more
13 people so that if one computer goes down or maybe even
14 a whole country's worth of computers go down, then
15 network is fine. That is pretty revolutionary.

16 And, of course, different people if they
17 think they are really good at this mining thing might
18 decide to specialize in it, get really good at it,
19 invest more money in their computers that they are
20 going to dedicate to mining. So some participants
21 might be more powerful than others as far as the number
22 of hash functions they can run on their computers at

1 any given time, as represented, somewhat comically, by
2 my moving gears.

3 So what does this mean? It means that
4 sometimes if more people join the network, more
5 computing power is dedicated to the network. The
6 network is speeding up. They can solve these hash
7 functions more rapidly. And that could potentially
8 lead to a problem because you can think about the hash
9 function as basically just sort of like sitting and
10 flipping a coin and you want to get heads-up 10 times
11 in a row.

12 Now, if any one of us started doing this, we
13 would be here for a very long time. But it is an
14 interesting fact from statistics that if every person
15 around the world, all seven billion, started flipping a
16 coin at this moment, about 1,000 people would get a run
17 of heads-up 10 times in a row on their first try. So,
18 in other words, more people solving this function, more
19 people performing this action means you will get the
20 result you are looking for faster. So blocks would
21 start coming around more rapidly if more people were
22 dedicating computing work to solving these hash

1 functions. And we don't want that.

2 Bitcoins block times. So the times we want
3 blocks to come around, we want it to average about 10
4 minutes, a new block every 10 minutes. That is, again,
5 part of the consensus protocol. That is something that
6 helps computers agree. If blocks come around too
7 quickly, we run the risk that half the network might
8 start building on one block that the other half of the
9 network hasn't heard of yet because of just the delay
10 in sending a bunch of data over the internet from, say,
11 North America to China. And this would be a problem if
12 Chinese miners and American miners are mining on
13 different blocks because then you get a divergent
14 history of the world.

15 So we really want to make sure blocks come
16 around more slowly than as fast as possible if you --
17 and so with Bitcoin, the difficulty of the hash
18 function simply adjusts automatically if blocks have
19 been coming around too quickly or too slowly.
20 Basically, it says that, all right, if all of the world
21 is flipping coins, there is going to be 1,000 people in
22 the first try that get it. We just want one person.

1 And we want it to take them about 10 minutes. Now you
2 need to flip a coin and get heads-up 20 times in a row
3 or 30 times in a row. Someone will still probably
4 crack it, but it will take longer and, vice versa, if
5 blocks have been coming around too slowly, we make the
6 problem simpler, heads-up 5 times in a row, instead of
7 10 times.

8 And this is actually a very accurate
9 metaphor. We are not going to get into the actual hash
10 function, but coin flipping and looking for a string of
11 heads, instead of tails and a mess, is really right on
12 target.

13 So that brings me to the next thing I want to
14 talk about, which is in these proof of work consensus
15 mechanisms, what does the mining hardware look like?
16 And what is this term called "ASIC resistance" that we
17 have heard about from some proof of work consensus
18 mechanisms? So, again, this is all just a way
19 primarily of setting up a provably fair lottery to pick
20 who is going to write the next block in the blockchain.
21 And this is actually a quote from the Bitcoin white
22 paper. This is a screen grab from Satoshi's white

1 paper describing Bitcoin. And this is a brilliant
2 document. I would just like to point that out first
3 before I criticize it. This little highlighted bit
4 here says, "Proof of work is essentially one CPU, one
5 vote. That idea that Satoshi had was that this would
6 be a very democratic and open system, where people
7 running normal-looking desktop computers around the
8 world would all be participating somewhat equally, one
9 CPU, like that CPU; one vote.

10 And the reality is a CPU is good at doing
11 just about everything, but it is not great at doing
12 anything specific. It is a general-purpose computer.
13 And the bottom line is once Bitcoin started becoming
14 valuable, people thought, "Okay. I could do better
15 than this. I could use a more specialized type of
16 computer. And I would be able to solve more of these
17 math equations while using a little bit less
18 electricity per equation. And then I would be
19 outcompeting other people who were mining." And so
20 rapidly we saw the change in Bitcoin miners from
21 desktop computers to sort of these fan-made or
22 hobbyist-made devices that use GPUs or graphics cards.

1 These are the things that you are going to want to put
2 in your computer if you want to play Fortnite or you
3 want to play a game, you want to play something that
4 has got a lot of rich graphics in it and you don't want
5 it to run slowly.

6 Now, it turns out that the chips that are
7 good at rendering those videogames are also really good
8 at solving SHA-256. So if you stack a bunch of them on
9 a rack -- and this is a shoe rack that we bought at
10 Amazon. This is a Coin Center office. We have got
11 this fun little GPU rig we built a number of years ago.
12 If you stack a bunch of these cards on a rack, you can
13 solve these equations a lot faster than just a normal
14 CPU.

15 And then things got even more specialized.
16 So this is already specialized hardware, but you could
17 buy this at Microcenter or wherever.

18 This is what Bitcoin mining looks today. And
19 this is an ASIC mining farm. This is basically a big
20 server warehouse. And on every one of those racks are
21 a bunch of these, which are application-specific
22 integrated circuits. They are chips that are printed

1 silicon to do only one thing. They can't play
2 videogames. They can't play Microsoft Word -- play
3 Microsoft Word. Sometimes it feels like that when I am
4 writing papers. All they can do is solve the SHA-256
5 hashing algorithm, which is that coin-flipping
6 procedure, as I described it earlier. And so they are
7 really efficient at doing just that.

8 Now, what does this mean from a consensus
9 mechanism standpoint? Is this a good thing? Is this a
10 bad thing? People worry about mining centralization.
11 So this is, of course, not quite Satoshi's vision.
12 Satoshi's vision was a bunch of people with CPUs,
13 desktops, their own computers. And these things are
14 expensive and have to be purpose-built in chip
15 foundries. So what it does mean is that only a handful
16 of people, as compared with before, are going to have
17 the resources and the inclination to actually mine
18 Bitcoin. So that might be a con, but there is also a
19 pro here.

20 The pro here is that these things are really
21 costly. So if you want to competitively mine Bitcoin,
22 you are going to have to sink a large amount of capital

1 into the computers and the devices that you need in
2 order to run a Bitcoin miner. And you are going to
3 need to pay a lot of electricity to do it. And this is
4 actually a pretty good security feature. It means if
5 somebody wants to attack the network and they want to
6 obtain a majority of the hashing power on the network
7 so that they are always chosen as the next one to make
8 blocks, they are going to have to dump an incredible
9 amount of money into buying these chips, which can only
10 be manufactured in certain places around the world.
11 And if they tank the price of Bitcoin because they
12 attack the network, which would be the logical outcome,
13 they have just lost their entire investment in the
14 hardware because this hardware doesn't do anything
15 except mine Bitcoin. So there are some neat economic
16 incentives.

17 Now, of course, that wouldn't protect against
18 someone who wasn't economically motivated who just
19 wanted to attack it for the lulz and had somehow a
20 whole lot of money, but, again, we have got pros and we
21 have got cons here.

22 On the topic of 51 percent attacks generally,

1 I just want to say a couple of things. So 51 percent
2 attacks are really not a logical way to attack a large,
3 well-capitalized cryptocurrency. It would be much
4 better to just sort of DDoS or spam the computers on
5 the network, although it is pretty resistant to that as
6 well. And that would be a much cheaper attack. And we
7 see that, and it is not actually usually much of a
8 problem in the Bitcoin network. But a 51 percent
9 attack, where you are really trying to develop and
10 cultivate all of that hashing power is just too
11 expensive. It is a tremendous cost.

12 Even Google, if they pointed all of their
13 machine-learning servers to mine Bitcoin, it is not the
14 right specialized hardware. So they are working at a
15 disadvantage. And they actually just probably wouldn't
16 have enough of it. So even the massive cloud computing
17 companies of the world wouldn't be able to attack
18 Bitcoin, at least as currently specified, unless they
19 have something I don't know about.

20 Now, 51 percent attacks are a real threat to
21 poorly capitalized or small cryptocurrencies that
22 happen to share a mining algorithm with a larger

1 cryptocurrency. And this has been evidenced recently
2 in the 51 percent attack on Ethereum Classic, which is
3 a fork of Ethereum, but it is a separate network. And
4 this was a confusing thing for a lot of people. In the
5 news, you saw reports, "Cryptocurrency is not as safe
6 as you thought, 51 percent attacks much more possible."
7 The bottom line is they are not any more possible with
8 respect to Bitcoin or even Ethereum. But for a small
9 cryptocurrency like Ethereum Classic, they are a real
10 threat. And here is why.

11 Most people -- so Ethereum and Ethereum
12 Classic use the exact same hashing algorithm in order
13 to choose who is going to make the next block. It is
14 different than Bitcoin, though. And so most people who
15 have computers that are good at running that hashing
16 algorithm, they want to mine Ethereum because it is the
17 more widely used network and the tokens are more
18 valuable and you will get more fees because there are
19 more transactions happening there. So most people are
20 using that hardware to mine Ethereum. But if you are
21 like a middling-sized Ethereum miner and you decide,
22 "Hey, if I switched over to suddenly using my computers

1 to mine Ethereum Classic," which is easy because it is
2 the same type of hardware that is good at that, "I
3 would suddenly be a huge Ethereum Classic miner." So
4 you go from being a small fish in a big pond to being a
5 big fish in a small pond. And that means that for that
6 moment when you switch over your hardware to mine
7 Ethereum Classic, you could potentially have all of the
8 hardware necessary to 51 percent attack that network,
9 but it wouldn't work in reverse. Do you see what I
10 mean? This is really a question of smaller or more
11 poorly capitalized cryptocurrencies being vulnerable
12 because there is a bunch of miners already hanging out
13 out there who at any moment could join the network and
14 really unbalance the number of persons who are mining.

15 And that brings me mercifully to proof of
16 stake. Proof of stake, of course, came up frequently
17 in the Ethereum RFI. And I think that the questions
18 from staff and from the commissioners and in the RFI in
19 general have been excellent. And it is an important
20 topic. So I want to give you just a base of knowledge
21 again. And we had to start with proof of work because
22 the easiest way to understand proof of stake is really

1 the same. We are still trying to build with proof of
2 stake systems a provably fair lottery for picking who
3 is going to be mining the next block or creating the
4 next block in the blockchain.

5 And so the question is, in a proof of stake
6 system, how do you get a lottery ticket in order to
7 have a chance to participate? So, of course, in proof
8 of work, your lottery ticket was the calculations your
9 computer was doing. And if you solved that
10 calculation, that proof of work function, you have the
11 winning lottery ticket. We don't have these
12 calculations in a proof of stake system. And this is
13 part of the goal, as stated by Commissioner Quintenz,
14 of Ethereum's move to proof of stake, is to reduce some
15 of the energy usage of the system, so no calculations.
16 How do we provably fair pick somebody amongst this
17 network to make the next block? And how do we make the
18 lottery ticket costly? Because if it was free, you
19 could just claim to have a million lottery tickets.
20 And you are going to do a lot better than someone who
21 is honest and said they only have one.

22 Well, we make the lottery ticket costly

1 because you will have to sacrifice the time value of
2 money, specifically the time value of cryptocurrency.
3 And what do I mean by that? Well, in order to have a
4 chance to be the miner for the next block, you need to
5 point to the blockchain that you are working on and
6 point to a transaction in the past where you decided to
7 stake or immobilize some amount of cryptocurrency on
8 that blockchain. And so that is a sacrifice. That is
9 saying, "I am not going to be able to access this. I
10 am going to hold it. I am not going to use it in
11 transactions or for other purposes."

12 And so that sacrifice is the same sacrifice
13 that we would want in a proof of work system, where you
14 are sacrificing electricity. Instead, you are just
15 sacrificing accessibility of money or the time value of
16 money.

17 And so if you stake more, so, for example,
18 this person staked 95 coins in a block previously, you
19 will have a little bit more power. Just as if you run
20 more computers, you would have more power in a proof of
21 work system. And so, again, the participants are going
22 to be lumpy. Some of them are going to stake more.

1 Some of them are going to stake less. And the
2 probabilities should be provably fair. The more you
3 stake, the better chance you have of winning the
4 lottery. It is like buying a bunch of tickets. And
5 there is still a random function to pick the winner,
6 but you increase your odds if you stake more. And
7 every time the blocks come around, in Ethereum, it is
8 only about 10 to 15 seconds, as opposed to 10 minutes
9 in Bitcoin. Somebody will be chosen as the next block
10 maker based on this provably fair lottery.

11 Now, this does lead to some issues from a
12 consensus mechanism design standpoint. I am not going
13 to go too deep here, but I think you should be aware of
14 them. And they are all probably addressable, but there
15 are issues, nonetheless.

16 The big one is the nothing at stake problem
17 in proof of stake consensus mechanisms. And this is
18 hopefully an explanation that you will be able to
19 follow pretty readily.

20 So take our miner who staked these coins in
21 block -200. So I am changing the blocks to negative
22 numbering from the present. So this is a block 200

1 blocks ago. 1XT03 staked 95 coins and signed so that
2 we know that they are theirs, they are not trying to
3 stake someone else's, with their private key. That is
4 what gives them power on the network. Correct? That
5 is what basically is the equivalent of them having
6 gotten power on the network by mining. They have
7 immobilized some coins.

8 Now look at block -199. Our same staker has
9 now transferred those 95 coins to someone else on the
10 network, probably not them. So they should rightfully
11 lose their staking power. They will go from being
12 someone with 95 coin power to someone with less because
13 they are not staking those 95 anymore.

14 But what if they do this? They create 200
15 blocks from that -200 block. And you might think,
16 well, how could they do that? That is a lot of data to
17 create out of thin air. Well, not really. They just
18 have to take a copy of the existing blockchain, and
19 then they can remove the transaction where they sent
20 the funds to somebody else. And then they present this
21 to the rest of the network as what they think should be
22 the authoritative copy of the ledger. And, remember,

1 they have got 95 staked coins. So depending on how
2 many coins other people have staked, that might make
3 them powerful. That might make them likely to have
4 their version of the blockchain chosen as the
5 authoritative version, rather than the one that
6 involved them transferring the coins away. And that is
7 a kind of an attack. They convince the rest of the
8 network that they sent the coins away, but then they
9 use their staked coins, which were no longer staked to
10 have power on the network, hence this name for this
11 attack, the "nothing at stake problem."

12 And it could get even worse. So our miner
13 could basically say, "Hey, I have got all of these
14 wallet addresses that are now empty, but they were only
15 empty after block 200. Before block 200, they had a
16 lot of coins in them. And then I transferred them away
17 or maybe I will even go and buy private keys from
18 people, people who thought that their wallets were
19 empty because they were, but sometime before block 200,
20 their wallets were full."

21 And so you have those private keys. And you
22 could buy them probably cheap because why would anybody

1 want to hold onto private keys for a wallet that
2 doesn't have any funds in them anymore? And you could
3 credibly make a transaction where you accumulate all of
4 those wallet addresses, and you sign a message saying,
5 "I am staking 2,000 coins now."

6 Now, in your version of the future, you are
7 just staking those. In the real version of the future,
8 you gave them up or never controlled them. So,
9 basically, this is a way to present to the network that
10 you have a lot more power than you actually do. And
11 that is a problem.

12 Now, this is surmountable, but this is also a
13 real problem. So Peercoin was the first proof of stake
14 consensus mechanism-driven cryptocurrency. And it ran
15 into exactly this problem of the nothing at stake
16 problem. And it was attacked.

17 And what they came up with as a stopgap
18 measure was checkpointing. And checkpointing works
19 like this. You say that we are going to regularly as
20 part of the consensus mechanism say that "This is now
21 an established block. We can't have a new proposed
22 blockchain that would reorganize blocks earlier than

1 this block. It is just a checkpoint. So this block
2 now can't move. And so we put this checkpoint, say,
3 here. And this would invalidate this kind of an attack
4 because this miner went too far backwards in the
5 blockchain in order to provide an alternative history
6 or an alternative future where they are more powerful.
7 And so this prevents this kind of attack where you are
8 able to reach back deep into history and present as
9 more powerful than you actually are.

10 This does lead to a certain question, who
11 does the checkpointing? Again, we don't want a
12 centralized party to do the checkpointing. And in
13 Peercoin's original design, when they were faced with
14 this problem, it was literally a checkpoint that was
15 established by a signature from a public/private key
16 pair that was held by the developer of Peercoin, just
17 one person. And so that is not really decentralized.
18 It still was fine because they weren't doing anything
19 malicious, but do we really want to have that single
20 point of failure in the system?

21 In Ethereum's Casper Protocol, for example,
22 as proposed, that checkpointing would happen every 100

1 blocks, which sounds like a long time, but, again,
2 Ethereum blocks come around every 10 seconds or so.
3 And it would happen whenever two-thirds of the
4 validators in the system all agree on a block. Then it
5 will be checkpointed.

6 So this raises some interesting implications
7 potentially for market participants having to do with
8 finality of transactions. And this hopefully is a
9 payoff where we can start thinking about some of the
10 regulatory implications and, really, just the
11 implications for market participants and how they can
12 self-police and have good policies inherent to
13 themselves to prevent these kinds of attacks from
14 causing losses and things like that.

15 So in a proof of stake system, you may need
16 to wait for transaction finality until the transaction
17 is in a block that is prior to one of these
18 checkpointed blocks because at that point, it is no
19 longer vulnerable to this kind of nothing at stake-type
20 attack; whereas, in a proof of work cryptocurrency,
21 there is no checkpointing. So, in theory, the entire
22 Bitcoin blockchain could be rewritten at any moment's

1 notice, but we don't worry about that because merely
2 rewriting two or three blocks would require an
3 astronomical amount of computing power, really. And
4 when you get to five or six blocks, it is just crazy.

5 And so the rule of thumb here is proof of
6 stake, you are probably going to want to wait until a
7 checkpoint in the consensus mechanism. Proof of work,
8 you are going to wait until a transaction is in a block
9 old enough that the computing effort to re-create the
10 chain since then is cost-prohibitive, which on average
11 for Bitcoin, just to give one example, is about six
12 blocks, but every blockchain and every proof of work
13 implementation might be different.

14 And that brings me to my final topic, which I
15 promise to be fairly brief on, which is forks, forks.
16 So consensus mechanisms are designed to prevent forks
17 amongst participants, but they are only designed to
18 prevent forks amongst participants who want to stay
19 together. They love each other. They want to be
20 there. And so under normal conditions, where all of
21 the people who are using Bitcoin want to stay together,
22 forks and reorganizations can happen briefly because,

1 as I said, maybe the North American networks get out of
2 sync from the Asian networks, just because of the lag
3 over the Pacific Ocean for transoceanic cables or
4 things like that on the internet. But they will
5 resolve quickly. And that is again why, that is
6 another reason why, you might want to wait three or
7 four or five blocks to make sure your transaction is
8 really going to be permanent. You didn't accidentally
9 get stuck in a block that was incorrectly built on by
10 part of the network that got out of sync. It is a
11 pretty surmountable problem. It is not really a real
12 deal killer.

13 Now, there is another type of fork that
14 people talk about, rather than these little hiccup-type
15 forks. And this is where a group within the community,
16 so some subset of Bitcoiners, for example, people
17 running the computers, they fundamentally disagree with
18 the rest of the Bitcoin community, the rest of the
19 people running the Bitcoin computers. And they don't
20 want to stay together anymore. So they get a divorce.
21 What they do is they fork. And what they are doing,
22 really, fundamentally is changing their consensus

1 mechanism rules so that they are no longer compatible
2 with the consensus mechanism rules of the original
3 protocol, of the original network. And from that day
4 forward, their computers will only talk to theirs and
5 Bitcoin's computers or the original's computers will
6 only talk to theirs.

7 And this may seem like a real issue, but in
8 this situation, we don't really have a big problem of
9 confusion and a question of whether transactions are
10 going to be final because in this situation, we now
11 actually have two completely different assets. You
12 have Bitcoin, which is the thing on the original
13 version of the chain; and you have this new thing that
14 decided to fork off. And there might be marketing or
15 branding confusion. Is it Bitcoin Classic? Is it
16 Bitcoin Cash? Is it whatever? And eventually
17 communities will settle on these names, but you really
18 have two distinct assets.

19 And so what is the best approach to that for
20 an institutional participant, for a market participant?
21 I will get to that in a second.

22 So, mercifully, I am now at a sort of round-

1 up part of the presentation, implications for traders
2 and funds for market participants. So this proof of
3 stake/proof of work question, how fundamental is it?
4 It is generally not relevant. Again, proof of stake is
5 just another way to build a provably fair lottery for
6 block creation.

7 Now, yes, it may impact some of the best
8 practices for finality that we would want to see
9 amongst market participants. So in proof of stake, for
10 example, you may want to look at the consensus
11 mechanism and think, "Okay. There is checkpointing
12 every 100 blocks in this consensus mechanism. We will
13 wait until a checkpointed block comes around to treat a
14 transaction as final" versus proof of work, where we
15 are just doing it based on computational infeasibility.
16 We know that a reorganization of Bitcoin seven, eight
17 blocks deep is literally more computing power than the
18 world has ever seen three times over. And so we are
19 comfortable with that. And, again, there is not
20 necessarily always going to be a hard and fast best
21 rule here, but that is nothing new to market
22 participants. You want best practices. You want them

1 documented before a problem happens. And then you want
2 steps to be taken to address problems as they arise,
3 hopefully based on what you specified your best
4 practices were.

5 And so the takeaway -- I think I just said
6 this. Institutional participants should have
7 documented procedures for how risks around finality
8 will be mitigated. And they should be specific to the
9 consensus mechanism of the asset that they are trading,
10 but it shouldn't be too hard, really.

11 What about 51 percent attacks? Not a major
12 risk for well-capitalized cryptocurrencies. Again,
13 there are much easier ways to attack networks like
14 Bitcoin. I am not saying they are going to be
15 successful, but it would be lunacy to try to attack
16 Bitcoin by buying a bunch of ASICs and becoming a 51
17 percent miner. It would just be too expensive. They
18 are a major risk for poorly capitalized
19 cryptocurrencies that share a common mining algorithm
20 with a larger cryptocurrency. So this is the Ether
21 Classic versus Ether situation.

22 And so the takeaway here is that

1 institutional participants should be wary of poorly
2 capitalized cryptos. And there are thousands of them.
3 There is this long tale of all of these so-called
4 altcoins out there. And many of them may be based on
5 proof of work, on an algorithm that uses something very
6 similar for hardware as Ethereum or Bitcoin. And in
7 the event of that situation, a couple of Bitcoin or
8 Ethereum miners changing their servers over to mine
9 this little coin, it is going to be like opening the
10 floodgates. That person was a minor participant on the
11 Bitcoin network. They are the whole participant of
12 this little network. And they could attack it. So it
13 is something to be aware of with poorly capitalized
14 cryptocurrencies.

15 Forks. This is the last one. So well-
16 specified proof of work and proof of stake systems may
17 have occasional unintentional forks, where briefly in,
18 say, again, East Asia versus North American miners,
19 they get a little out of sync. And that is fairly
20 simple to deal with. You just again are going to want
21 to have prudent and well-documented procedures over
22 finality. So because of the fact that sometimes blocks

1 make it out of sync, we are going to make sure that
2 this block is six or seven blocks deep to make sure
3 that the network really has agreed that this is the
4 official block.

5 But when a subset of community members reach
6 intractable disagreements, as we have seen with Ether
7 and Ether Classic, as we have seen with Bitcoin and
8 Bitcoin Cash, as we have seen in a lot of
9 cryptocurrencies, there could be a more permanent fork.
10 And that does have implications for traders and funds,
11 for market participants.

12 But the takeaway is this. Institutional
13 participants should have well-documented procedures,
14 again, that describe how they will determine which
15 fork. I always say, "which fork." I feel like I
16 should be saying which tine of the fork, but that just
17 sounds crazy and weird, too. So I am going to say,
18 "which fork," so policies and procedures in place to
19 describe which fork they will honor.

20 So if there is a question over which one is
21 the real Bitcoin, in other words, they need to
22 document, how are you going to make that determination?

1 It could be any number of things. It could be the
2 amount of proof of work effort dedicated to one versus
3 the other fork. It could be the market price of one
4 versus the other fork. It could be any number of
5 things. But they should be policies that are stated in
6 place.

7 And then they also need policies in place to
8 describe what they will do with any windfalls from the
9 other half of the fork. So if your fund was holding a
10 bunch of Bitcoin before the Bitcoin, Bitcoin Cash fork,
11 your fund now also has control of a bunch of Bitcoin
12 Cash. Okay? If you are saying, "We are just going to
13 honor the Bitcoin fork," what happens with all of that
14 Bitcoin Cash you just got?

15 It could be a lot of things. And, again,
16 there is no hard and fast best answer here. There just
17 need to be clear policies that protect your fund
18 participants or your investors or things like that.
19 And it could be Bitcoin Cash will be liquidated and
20 reinvested back into the fund in the form of more
21 Bitcoins -- that is fine -- or it could be we will hold
22 both. That is also fine. There just need to be

1 policies about this.

2 And that is all I have got. Hopefully
3 consensus mechanisms are a little bit demystified.

4 CHAIRMAN GORELICK: Thank you very much,
5 Peter. That was very helpful.

6 Before we open up for questions, I think I
7 would like to turn to Ms. Trkla and Mr. Mills to talk
8 about the recent ABA study that was recently released.

9 MS. TRKLA: Yes, it is. Thank you,
10 commissioners and members of the committee, for
11 inviting us. We are pleased to be here to tell you
12 about the ABA white paper that we recently put out.

13 I do have to say Charlie and I are lawyers.
14 So our presentation isn't quite as high-tech as the
15 last one. We have got bold points in color, no
16 graphics, no animation.

17 We are going to go through the first few
18 slides pretty quickly but wanted to provide a bit of an
19 overview about the genesis of the white paper and first
20 explain a little bit about who we are and the ABA
21 Derivatives and Futures Law Committee first, which is a
22 longstanding committee of the ABA.

1 Our premier event I think is having our
2 winter meetings, usually someplace warm, where we have,
3 you know, two and a half days of substantive conference
4 panels. We have about 500 members of the committee
5 itself. About, you know, 150 to 200 people have been
6 attending the more recent winter meetings. At the
7 winter meeting last year, Chairman Giancarlo was the
8 keynote speaker. And at the time, there was a lot of
9 discussion about Bitcoin futures and virtual
10 currencies. And that was the primary topic of his
11 keynote address to the group. And in his remarks, he
12 challenged the Derivatives and Futures Law Committee to
13 be more proactive in this area and to be sort of
14 thought leaders in addressing issues in this area.

15 So, of course, we formed a subcommittee
16 shortly afterwards. And we have about 80 members on
17 the subcommittee. Yes, it is a mouthful but Innovative
18 Digitized Products and Processes Subcommittee, or IDPPS
19 for short, which is much more fun to say. So we are
20 the IDPPS. And we have about 80 -- well, actually,
21 close to 90 members.

22 And one thing I really want to emphasize is

1 yes, it is a subcommittee of the Derivatives and
2 Futures Law Committee. And many of us on the committee
3 spend a lot of our time dealing with Commodity Exchange
4 Act- and CFTC-related issues. But, of necessity, we
5 are also involved in securities laws matters and
6 related areas. We also have a number of participants,
7 both in the Derivatives and Futures Law Committee and
8 on the subcommittee, who you would probably think of as
9 very prominent securities Bar attorneys, who also deal
10 often with Commodity Exchange Act issues. And then we
11 also attracted to the subcommittee people who are very
12 expert with Fintech and related areas. So it allowed
13 the committee to draw from broad expertise in our work
14 and projects.

15 We do have a mission statement. I am not
16 going to read the bullet points, but, you know, two
17 things to draw out: one of our missions is to help
18 educate ourselves as a committee but also to be
19 proactive in educating others about the laws that may,
20 sort of broadly speaking, impact digital assets or use
21 of the blockchain technology. And that also includes
22 looking at where laws may overlap and what issues that

1 may raise. And so I think the white paper that we put
2 out really exemplifies the mission statement that we
3 set for ourselves.

4 We have three working groups. And the
5 Jurisdiction Working Group, which Charlie Mills chairs
6 and Jonathan Marcus at Skadden vice chairs, is the
7 working group that worked on and produced the white
8 paper that we came out with. We also have a Blockchain
9 Modality Working Group and an SRO Working Group. So we
10 are looking more broadly at other issues in this area.

11 As I mentioned, the white paper was prepared
12 by the Jurisdiction Working Group. We had 34
13 contributing authors, which I find amazing that we got
14 that many attorneys together to produce the white
15 paper, again drawing on the broad expertise represented
16 in the committee, and so 31 attorneys from 16 different
17 law firms. We also had two in-house general counsel
18 who participated and one attorney from the NFA who
19 contributed as well to the drafting.

20 And the white paper had a specific focus on
21 regulation of cryptocurrencies and other digital
22 assets. And so it is sort of one part of our broader

1 mission of issues that we are looking at as a
2 committee. And so, as has been mentioned, you know, we
3 have attempted to put together a comprehensive survey
4 of how Federal and state laws can apply to basically
5 the offer, creation, trading, and use of
6 cryptocurrencies and other digital assets. The paper
7 also does include a summary of some of the key
8 initiatives outside the U.S. as well. And we really do
9 intend this to be sort of a comprehensive survey of the
10 regulations that can be a resource to practitioners and
11 to policy-makers.

12 As mentioned, you know, we have got other
13 working groups within the IDPPS. And so we are looking
14 at broader issues that are really outside the scope of
15 the paper.

16 Now I am going to turn it over to Charlie.

17 MR. MILLS: Thank you, Katie. And thank you,
18 members of the committee and commissioners, for having
19 us. We are really delighted to be able to talk about
20 our paper. We do, as Katie has said, hope it will be a
21 great resource to practitioners. It is not an advocacy
22 piece. It is not saying, "This is what we think should

1 be happening in the future," but it really tries to be
2 a collection or a guide to what the law is, what
3 positions have been taken by different agencies, and
4 where there might be overlap and conflicts in them.

5 I will just quickly go through -- this is
6 just a listing of the topics, which Katie has pretty
7 much relayed already. It starts with a discussion of
8 blockchain technology and the different types of assets
9 that are out there that are being traded and being
10 offered to the public. We then have a section on the
11 Commodity Exchange Act and CFTC regulation. One piece
12 of that that I think for lawyers is valuable aside from
13 -- there is a lot of content there, but we do have a
14 chart at the end that shows the breakdown of different
15 products and the jurisdiction of the SEC and the CFTC
16 over those products. I am not sure that that kind of
17 chart presentation capturing the whole thing is in any
18 other publication that I have seen. So I recommend
19 that to everyone.

20 Section 3 is the federal securities laws, the
21 Securities Act, and the Exchange Act. And then section
22 4 of the paper covers the Investment Company Act and

1 the Investment Advisers Act. Just to continue here, on
2 section 5, we do have a discussion of the overlap and
3 conflicts between CFTC and SEC, which we will address a
4 little later today. That is more talking about how
5 possibly where there is friction or there is lack of
6 clarity between the two agencies on how they will
7 approach these markets, how those might be dealt with
8 aside from new legislation.

9 And then we have a chapter on FinCEN, the
10 Financial Crime Networks Authority at Treasury. And
11 then I might just quickly go through here.

12 So these are the final ones. We have
13 international regulation. We cover a number of
14 different countries and what their approaches are. We
15 don't go into depth, but we do talk about MiFID,
16 Switzerland, Japan, Australia, a number of other
17 countries, as kind of a synopsis of where the rest of
18 the world is going in trying to regulate these markets.
19 Then we have state law considerations, principally
20 focused on New York state, which has the most impact
21 today I think on these markets from a state position.
22 And we do have at the end just a survey of what each

1 state's regulatory regime is or regulations are that
2 can address these markets.

3 MS. TRKLA: With the survey at the end, the
4 focus, really, is on the state money-transmitter-type
5 laws. So it is a comprehensive survey. We recognize
6 there are other aspects of state laws that can be
7 relevant for this area. And those are some of the
8 issues that the Blockchain Modality Working Group, for
9 example, is looking at.

10 MR. MILLS: And so here we will just spend a
11 few minutes here because we thought this might be of
12 greatest interest to this group of the CFTC and SEC
13 regulatory schemes and how they overlap. And section 5
14 of the paper goes into this in some detail, but you
15 basically have where a security underlies a commodity
16 derivative transaction and how does that have -- what
17 happens there, who has control or input into how that
18 is regulated, the situation also where a commodity may,
19 for lack of a better term, underlie a security. And
20 that can be hybrid securities, which the Commission
21 already has rules on. And that was an area that we
22 talk about as an example of how the both Commissions

1 came to a resolution of how to deal with these
2 instruments without necessarily having to go to
3 legislation. Eventually Congress did step in, but it
4 was really ratifying what the agencies had already
5 done. And part of that is the exemptive powers of both
6 agencies to exempt out certain transactions and
7 effectively cede principal control and regulation of
8 them to the other agency.

9 And then you have the issue, which we will
10 talk about -- to me, it is the most complicated one to
11 resolve -- which is where the security, where you have
12 a non-security commodity, like a token on an ecosystem
13 that is going to be used to be a medium of exchange to
14 buy services and goods on that ecosystem that is set up
15 by a private company saying, you know, "This is what we
16 will provide to you. And you can use these tokens to
17 buy and sell our products in exchange for that."

18 So that token might be considered a
19 commodity. Probably I think the CFTC/the Commission
20 would consider it so. And it would just be a CFTC
21 issue except for the ICO, the initial coin offering,
22 process, where the coins are also offered to raise

1 capital in order to build out the ecosystem. And so
2 the SEC has recognized and taken a strong position that
3 these are investment contracts and that they would have
4 plenary authority over the offering of those coins in
5 that context to the public. And the question there is,
6 what is the CFTC's role at the time of initial
7 offering? Does it have none or is there a role?

8 And then what has been also recognized is
9 that these investment contracts can convert into just a
10 pure commodity at some point when the token is just
11 being used as a medium of exchange and it is no longer
12 being used to solicit funds and raise capital from the
13 public.

14 And, as I am sure everybody knows, the SEC
15 has taken the position that and has referenced Ethereum
16 as an example that this can happen. And you can have
17 something that might have been. They don't actually
18 declare I think whether Ethereum was a security or not,
19 but they are saying you can have it where it is an
20 investment contract. Eventually it becomes so broadly
21 utilized that it is purely a medium of exchange and
22 something that the SEC would no longer regulate. That

1 is a tremendous problem for the industry and for this
2 marketplace because there is no system today of
3 regulation or anything else that tells you when
4 something would convert and what are the circumstances
5 when that would happen and are there legacy issues.
6 If, you know, you convert an investment contract, it
7 becomes a commodity. If somebody has a claim that the
8 statute of limitations hasn't run on the investment
9 contract under the securities laws, can they bring that
10 claim if it is no longer considered a security? That
11 is just a simple example of how difficult these issues
12 are.

13 And I will also turn it back to Katie, but I
14 think one of the points that the paper makes is that
15 there probably will need to be if there isn't, really,
16 ongoing formalized processes between the two agencies
17 to survey what these issues are and to try to grapple
18 with them and figure out how to deal with it
19 effectively for the markets and for the public.

20 MS. TRKLA: Thank you, Charlie.

21 I want to go back and just add some basic
22 points. You know, one of the reasons or underlying

1 sort of causes for there being jurisdictional overlap
2 at sort of a nuanced point, we covered it on an earlier
3 slide for Commodity Exchange Act purposes, securities
4 are commodities. And then the statutory framework has
5 allocated, then, which agency regulates derivatives on
6 securities. And then, as Charlie mentioned, the other
7 way you can have sort of both agencies interest-
8 implicated is when securities have commodities or
9 derivatives elements embedded in them.

10 And so jurisdictional overlap issues are not
11 new. There is sort of a long history of those where
12 they have been resolved in different ways between the
13 two agencies. So the particular type of digital asset
14 that Charlie is focusing on that has the sort of unique
15 characteristic where it may start out as a security may
16 also have derivatives or commodities elements at the
17 outset or aspire to have those in the future. It is a
18 different kind of combination that we haven't seen
19 before when sort of the long-term sort of expectation
20 of those offering the instruments is that the
21 securities features are going to be shut over time.
22 And it will be a commodity alone at some future time.

1 And, you know, as Charlie mentioned, that is
2 an area where I think there is some uncertainty of how
3 you manage that transition from a security that may
4 have embedded derivatives or commodities
5 characteristics to something that becomes a commodity
6 alone. And the current framework seems sort of ill-
7 suited for that when you look at, say, what does it
8 mean if you start out offering a particular coin token
9 in compliance with the securities laws. You will, you
10 know, treat it as an initial offering of securities.
11 It seems to us and what we point out in the white paper
12 is that some of the requirements that then wrap around
13 a securities offering actually would inhibit the
14 transformation, then, of that coin to a commodity.

15 So far, the SEC has not allowed any public
16 registrations and public offering of coins as
17 securities. And so today, at least, you would be
18 looking at some kind of private offering, but private
19 offerings of securities also have restrictions that
20 attach to transfers of the securities. And those
21 restrictions or perhaps needing the involvement of a
22 broker-dealer under the securities framework would seem

1 to prohibit the natural evolution, then, of trading of
2 that coin into a non-security commodity. And so that
3 kind of uncertainty seems to have had a bit of a
4 chilling effect in the markets in light of, you know,
5 the various enforcement actions that have come out of
6 the SEC in this area but without a clear sort of
7 framework in place on how you legally can, in fact,
8 offer the coins starting as securities but facilitate
9 the transfer to something that would basically shed the
10 securities features.

11 As Charlie mentioned, there can also be sort
12 of issues about each agency's enforcement of its
13 antifraud authority if there is a question over whether
14 a particular token is more properly viewed as a
15 security or as a non-security commodity. The way the
16 statutory framework is set up when it comes to cash
17 market trading activities, the statutory framework
18 basically allocates and assumes that if it is a
19 security, the SEC regulates that activity; if it is not
20 a security, if it is a non-security commodity, it is
21 the CFTC that has the antifraud policing authority over
22 those coins. And so when you have coins where one

1 agency may be taking action or the other but there is a
2 lack of sort of transparency as to the determination is
3 that really a security or not, there may be some
4 vulnerability to someone subject to the enforcement
5 action perhaps saying, "No. CFTC, you don't have the
6 authority. It is the SEC" or vice versa. So we think
7 these issues of uncertainty also could have
8 implications for the enforcement activities of both
9 agencies and may sort of frustrate those efforts as
10 well.

11 And so if there is one advocacy point in the
12 paper, it is these issues exist. And we hope that the
13 two agencies can perhaps work together to try and sort
14 them out. We think the marketplace would benefit by
15 having sort of clearer and sort of public standards on
16 these issues.

17 We should also note that it is possible that
18 certain digital assets may not be subject to either
19 agency's jurisdiction. You know, one example that gets
20 sort of passing mention in the white paper is if you
21 have an initial creation of a coin and it confers title
22 to a commodity once it is issued in the future,

1 depending on the terms wrapping around how it is sold
2 and who the participants are, it is possible that may,
3 in fact, be something subject to the forward contract
4 exclusion. For example, if the transaction is limited
5 to, you know, commercial parties participating.

6 Then on our last slide and what we examine in
7 this part of the white paper is, are there tools
8 available to the two agencies to try and address these
9 issues without need of legislation? And it may well be
10 that some issues may require legislation, but that
11 would also benefit I think from coordinated views and
12 input by the two agencies.

13 But, basically, we have got, you know, the
14 statutory authority added by Dodd-Frank, the section
15 718 provisions, which establish a framework for the 2
16 agencies to resolve and provide clarity on novel
17 derivative products. This was actually an outgrowth of
18 joint meeting of the 2 agencies looking at these issues
19 in a report in 2009, recommending these statutory
20 provisions.

21 Each agency, of course, has their exemptive
22 authority. And, in fact, the section 718 provisions

1 contemplate that one agency could ask the other agency
2 in looking at products that may implicate both their
3 jurisdictions and regulatory missions to exercise their
4 exemptive authority. So that is contemplated as part
5 of that solution.

6 Finally, I would also note that while the
7 white paper focuses on a particular type of
8 jurisdictional issues relating to, you know, the
9 virtual currencies and other digital assets, there are
10 other areas I think where the marketplace would benefit
11 by coordination between the two agencies. You know, we
12 are both mindful that many FCMs are also broker-
13 dealers. And to the extent there may be issues about
14 custody of coins or procedural matters, the FCM BD
15 community probably would welcome sort of harmonized
16 views between the two agencies on how to address those
17 types of issues.

18 MR. MILLS: Yes. Let me just say one last
19 thing is that we talk about cryptocurrency and digital
20 assets. It is a wide swath of different types of
21 assets that there are. And how you would approach them
22 from a regulatory standpoint may be different. So they

1 are not like a unified asset class from my point of
2 view.

3 You have the example of the Dow token, which
4 the SEC found to look more like an equity interest in
5 an enterprise and look more like a share of stock and
6 giving you certain control rights. And then you have
7 Bitcoin, which is totally different from that. And you
8 have lots of things in between. And you will have more
9 things coming forward as businesses try to incorporate
10 blockchain and tokens into their business models and
11 provide different features going forward. And I think
12 that is another forward-looking issue that both
13 agencies will have to grapple with.

14 Thank you.

15 CHAIRMAN GORELICK: Okay. Thank you very
16 much for both of your presentations. I think they were
17 both very informative and helpful. And I appreciate
18 the work you have put into it.

19 I want to open it up now for questions to the
20 subcommittee and to the committee for both
21 presentations. And please address your questions as
22 appropriate. Brad?

1 MR. LEVY: Yes, a question, maybe an ask. So
2 the three working groups, Jurisdiction, Blockchain
3 Modality, SRO, and the comment on money transmitters
4 being thought of as part of this discussion, I know
5 there is definitely a focus on securities and futures
6 because that is the mandate here. Has there been a
7 thought about thinking about the OCC as also a
8 regulator that may have an interest in this as you get
9 into the broader payments, whether it is just -- you
10 talked about money transmitters.

11 And, then, normally in a lot of our markets,
12 something is moving against money. Those could be some
13 form of token or some form of new process. And I would
14 think the Federal bank regulators have some interest
15 there. And I know there have been some discussions
16 about trust, state-level trust, regulations as you get
17 into the custody or money movement and some turf
18 discussions there as well.

19 So have you thought about the OCC more
20 generally in this discussion as a regulator that is
21 brought into the fold in terms of helping the market
22 get some clarity?

1 MS. TRKLA: That question specifically, no,
2 but I would say that the Blockchain Modality Working
3 Group, that probably has the most sort of amorphous
4 mission because there is a lot that that can cover.
5 And I do see that particular issue as something most
6 appropriate for that particular working group. And I
7 know they have identified a broad range of issues that
8 they are considering.

9 Now, I should say a number of the people on
10 the Blockchain Modality Working Group also contributed
11 to the white paper. So they took a little bit of a
12 break from the work of the Blockchain Modality Working
13 Group. But now that we are past the white paper, that
14 working group has another meeting scheduled to get back
15 on focus with the types of issues they are looking at.
16 So we will make sure to raise that with them as
17 something to add to the list of things they consider.

18 MR. LEVY: Thank you. And just Brad Levy, in
19 --

20 MS. TRKLA: Yes?

21 MR. LEVY: -- IHS Markit. Sorry. I violated
22 your rule.

1 CHAIRMAN GORELICK: Yes.

2 MR. LEVY: And just one. In the panel at
3 2:15 on DLT, which I am not presenting on but I am on,
4 there will be some discussion about the payments end of
5 things because it is that same point, a very broad
6 discussion. And we do believe there are some angles
7 there for this group.

8 MR. MILLS: I would say that we very much
9 appreciate hearing topics that are of interest and
10 people would like to have addressed in future white
11 papers. That was what we were trying to do. And there
12 are lots of different regulations across Federal and
13 state authorities. And trying to figure out which of
14 those to capture in one white paper is important. And
15 hearing if the staff or commissioners, anybody at the
16 table here has a desire for certain issues to be
17 addressed, we would be very receptive to hearing those.

18 MR. VAN VALKENBURGH: Very briefly, I just
19 wanted to add that when the OCC was going through its
20 Fintech comment process, Coin Center filed a number of
21 regulatory comments describing to what extent we think
22 virtual currency activities fit into core banking

1 activities. If that is of interest to you, I am happy
2 to share that as well.

3 CHAIRMAN GORELICK: Thank you.

4 Chris?

5 MR. HEHMEYER: All right. Chris Hehmeyer,
6 Hehmeyer Trading in Chicago. I may have a point for
7 you. And I will throw this out to Peter.

8 In our crypto trading desk with our
9 counterparties, we do a lot of this KYC/AML stuff. You
10 did a beautiful job today of describing blocks and
11 blockchains. It reminded me a little bit of the old
12 days back when I years ago worked in the back office.
13 And the delivery receipts if you turned them over were
14 signed by the companies. And you could track where the
15 delivery receipt had been.

16 The prospect of know your trade, knowing the
17 history of the block and where it has been and whether
18 a trade or a blockchain, a digital asset has some sort
19 of taint to it, is that going to be possible for the
20 regulators to track that? And is that a good legal
21 thing to maybe have a look at?

22 MR. VAN VALKENBURGH: So the blockchains we

1 have been talking about today, things like Ethereum and
2 Bitcoin, have transparent transactions. There is no
3 attempt to encrypt or obfuscate the information there.
4 The information does not, of course, include human-
5 readable names, as I said, but addresses are
6 persistent. And if you can identify somebody as the
7 holder of the private key that matches that address,
8 you can basically look at their full transaction
9 history.

10 It is actually far more public than, say, the
11 movement of cash, even through the correspondent
12 banking system. And that actually is probably a design
13 flaw that needs to be amended longer-term. You know,
14 crime fighting is important. Financial surveillance
15 laws, like the Bank Secrecy Act, are important. But if
16 we are going to rely on these systems for all manner of
17 important financial interactions, we are going to be
18 building a panopticon where people can see every
19 person's transaction. If you learned the Bitcoin
20 address of the person in the cubicle next to you and
21 they were being paid in Bitcoin or something like it,
22 you could watch their paychecks coming in. Nobody

1 wants that world.

2 So as the technology moves forward, we are
3 seeing the evolution of cryptocurrencies. We are
4 seeing proposals to change Bitcoin to make it more
5 private. We are seeing proposals to develop new
6 cryptocurrencies. And some of them are already
7 running, like ZCash and Monero.

8 And these are real fundamental innovations
9 that Coin Center -- and, of course, we are an advocacy
10 organization. Although we represent the freedom to
11 innovate, we don't represent any companies. But we
12 believe that these are fundamental to preserving human
13 rights as we move into a future where machine learning
14 and big data will basically make us always subject to
15 surveillance, whether it is corporate surveillance or
16 the surveillance of a good government, like the U.S.,
17 or of a not-so-good government.

18 And so we have recently published a report
19 that describes the level of transparency in some
20 networks and the level of privacy that some newer
21 networks afford users. And we also delve into why that
22 is important, why developing these technologies is en

1 masse going to be a good thing. And we have another
2 report that we recently published delving into the
3 constitutional law issues of attempts to regulate, say,
4 software developers of these more private networks as,
5 say, Bank Secrecy Act-regulated parties and things like
6 that.

7 CHAIRMAN GORELICK: Thank you.

8 Alex?

9 MR. STEIN: Alex Stein, Two Sigma.

10 Before I ask my question of Charles and
11 Kathryn, I would like to back up some of what Peter
12 said. Investors need to know that the IP associated
13 with their transactions is confidential. And so in
14 that sense, the design of the Bitcoin ledger raises
15 challenges, problematic challenges, associated with
16 being able to implement the strategy and not have it
17 transparent to the whole world to reverse-engineer. So
18 there ironically is a tradeoff there.

19 I don't know that I am totally on board with
20 the need for total anonymity. And that is going to
21 drive my next question to the ABA representatives. Did
22 you think with respect to the underlying cash

1 instruments about how the rule of law and the ability
2 for recourse plays out in these instruments? Obviously
3 if it is a derivative and it is regulated by the CFTC,
4 the market makers are regulated, but a transaction of
5 Bitcoin or Ethereum, you know, in a smart contract, I
6 don't have all of those mechanisms.

7 MS. TRKLA: The short answer is yes,
8 generally, not for purposes of the white paper. Again,
9 that is one of I think many issues that would fall
10 under the Blockchain Modality Working Group, but I know
11 that is one of the issues that we have discussed during
12 the calls of that group, so perhaps more to come.

13 MR. STEIN: I think that is a very important
14 area for the institutionalization of this asset class.

15 CHAIRMAN GORELICK: Okay. Great. I see
16 three more name cards up. So we will have three more
17 quick questions, and then we will take a break for
18 lunch. So why don't we go around this way,
19 counterclockwise, starting with Larry?

20 MR. TABB: Hi. I am Larry Tabb, Tabb Group.

21 Peter, when you were talking about a proof of
22 stake/nothing at stake, you know, and kind of taking

1 out transactions in the past and kind of manipulating
2 it and, you know, printing a block that you really
3 shouldn't have access to, if that gets accepted, don't
4 I manipulate the chain and, in effect, you know, either
5 take or remove or add coins onto a block that, in
6 effect, where I didn't have money or coins, I now have
7 coins?

8 MR. VAN VALKENBURGH: So that is the root of
9 the nothing at stake criticism, which is that you would
10 be able to artificially present as having more power
11 than you should because you have gone so far back in
12 the blockchain that you are able to claim as yours
13 staked coins that have since been transferred out of
14 those wallets.

15 And that is why checkpointing is generally
16 regarded as the right way to build a consensus
17 mechanism that relies on proof of stake because it
18 effectively says once two-thirds of the network, just
19 to take Casper's protocol from Ethereum as an example,
20 agrees on this block and we have been 100 blocks since
21 the last checkpoint, it is checkpointed. And so any
22 miner or staker in this case who presents an

1 alternative history that goes back further than this
2 block is, per se, violating the rules of the consensus
3 mechanism because we don't allow those deep
4 reorganizations.

5 And, you know, this sounds scary, I suppose,
6 but it is not my intent to suggest that proof of stake
7 is by any necessary reality any more risky than proof
8 of work. These things are somewhat underdetermined,
9 but there are running proof of stake cryptocurrencies.
10 So, as I said, Ether is transitioning, but there are
11 already running cryptocurrencies that use proof of
12 stake mechanisms that have, to my knowledge, not been
13 attacked. And these are things like Tezos. And I
14 could get you a longer list if you are curious but, you
15 know.

16 MR. TABB: Thanks. But how difficult would
17 it be to like, you know, "Let's have a checkpoint. Do
18 it" -- you know, have coins, do two transactions, and
19 then, you know, manipulate like the third one or the
20 fourth one or is that just the lottery process would
21 make that really difficult for me to --

22 MR. VAN VALKENBURGH: Right.

1 MR. TABB: -- basically ensure that I am
2 going to be the third guy to do a transaction after I
3 have sold my coins?

4 MR. VAN VALKENBURGH: Right. So the nothing
5 at stake problem arises because you are able to pretend
6 to be an outsized important character on the network,
7 basically, because you claim all of these staked coins
8 that you shouldn't be able to claim because long ago,
9 they were transferred out of those wallets.

10 With checkpointing, you couldn't do that
11 except with respect to the most recent blocks, which
12 the pool of coins that you could claim to stake and
13 then transfer away ends up being much, much smaller,
14 effectively. It is all a game of theoretical design,
15 but these are surmountable problems. And I think we
16 are seeing really brilliant people surmount them.

17 MR. WORKIE: Haime Workie from FINRA. This
18 question is also for Peter.

19 Regarding the 51 percent attack, you
20 mentioned that the higher the capitalization of the
21 digital assets, the less likely it is to be subject to
22 a 51 percent attack. Does that relate to the

1 concentration of miners that exists within the context
2 of that, digital assets? And if it does, is there a
3 concern? As there are increased levels of
4 concentration, those problems could start creeping up
5 within the context of digital assets that have higher
6 capitalizations?

7 MR. VAN VALKENBURGH: So you are absolutely
8 right. That would be another factor. One factor would
9 be just the total capitalization because then we can
10 rationally assume that there will be miners who are
11 seeking the rewards, which will be equivalently large.
12 And, therefore, there will be more power dedicated to
13 the network. And, therefore, it will be harder to
14 obtain the power to attack the network. But if all of
15 that power is concentrated amongst one or two
16 participants, you potentially run the risk that one of
17 those participants becomes malicious and tries to
18 attack the network.

19 Now, I will say on this question, when you
20 see reports of, you know, X Bitcoin miner has close to
21 50 percent or close to 30 percent, you know, it looks
22 like a big number. Right? More often than not, these

1 are so-called Bitcoin mining pool operators, in which
2 case they are a server that is talking to the rest of
3 the Bitcoin network and presenting new blocks. But
4 they have got a pool of persons working under them, who
5 they don't even know, who just dial up and talk to them
6 over the internet, who provide them the work. And this
7 is something that these pool members want to do because
8 it would spread out their rewards from the protocol
9 because the pool as a whole will win blocks more often
10 than one miner working alone. So it spreads out the
11 income, but it does mean that the pool operator
12 potentially has the power to determine which
13 transactions make it in blocks or don't and, in theory,
14 the power to 51 percent attack.

15 But what I will add is when we see pool
16 operators become large fractions of the total mining
17 power on the network, we see the pool members leave the
18 pool and go to other pools because they don't want to
19 run the risk that the person that they are mining under
20 is going to attack the network.

21 So these economic incentives, they go both
22 ways. And it is a more complicated picture than I

1 think a lot of headlines often depict.

2 MR. WORKIE: And I guess the second part of
3 that question is, should there be any concerns from a
4 regulator's standpoint in the context of separate
5 regions, for example? There has also been talk of
6 mining being concentrated in certain types of countries
7 or certain regions. Is that a concern that we should
8 take into account with respect to 51 percent attack?

9 MR. VAN VALKENBURGH: So I don't think it is
10 a grave threat, particularly, again, because of this
11 pool issue. So you hear headlines about all of the
12 minings in China, things like this. Again, most of the
13 dominant Chinese miners are pool operators. And so it
14 is not necessarily a situation where one person is
15 running this mining farm in China. It is a bunch of
16 people making independent decisions.

17 And then the other thing that is important to
18 point out is a miner with a lot of mining power is
19 still extremely constrained in what they can do. They
20 can't double spend transactions unless they are able to
21 convince somebody that the blockchain looks like X and
22 then reorganize it by presenting the network with an

1 alternative history. And that is something that would
2 be very visible to the rest of the network. And you
3 would basically be able to take steps to protect
4 against that. And it is not something that could be
5 surreptitiously done in order to corrupt the ledger.
6 It is something that would be transparent. And then
7 you just need to find ways to fix it, basically. That
8 could still mean that there is a bit of a mess in the
9 short term, but it is not a sort of insurmountable
10 challenge. And that is even under the worst-case
11 scenario.

12 The best-case scenario, a miner has
13 extraordinarily little power. So when the network is
14 operating as normal, a miner can -- all they can do is
15 validate signatures. And that is all they do do. And
16 they choose to put transactions in a block. They could
17 potentially not put transactions in a block by
18 systematically choosing to ignore transactions from
19 certain participants on the network, but somebody else
20 could pick that up, that transaction up, with trivial
21 ease and put it in their block. So there is a lot of
22 redundancy built into this system, even as we move to a

1 world with some level of mining centralization.

2 And then the last thing -- I know I have
3 given you a lot -- is that there are proposals to
4 change exactly how, even in Bitcoin, this mining
5 competition works that could really well-address this
6 issue. So part of the issue here is that the pool
7 operator is able to choose which transactions go in a
8 block, rather than the members of the pool. There is a
9 proposal to actually allow the members of the pool when
10 they provide their work bind the pool operator to
11 including the transactions in the block that the pool
12 member wants included, rather than the pool operator
13 having that power. And that would significantly
14 effectively redecentralize the power to choose or not
15 choose transactions and move that power away from the
16 centralized pool operators while still allowing the
17 pool members to have a smoothed-out rate of return on
18 their mining activities.

19 CHAIRMAN GORELICK: Thank you, Peter.

20 Chris, I think you have got time for one last
21 question.

22 MR. CHATTAWAY: I will make it quick. Chris

1 Chattaway, Goldman Sachs. This is perhaps more of a
2 comment, general comment, perhaps even to the chair
3 here.

4 With public interest in trading on virtual
5 currencies being a bit more subdued lately than it was
6 perhaps the same time a year ago, my sense is that
7 people are focusing on tokenization of real-world
8 assets, whether it be fiat currency or mortgage-backed
9 securities. I wonder if it would be helpful for this
10 audience to hear some expert, you know, testimony or
11 views on how that market structure is evolving -- I
12 certainly would find it helpful -- and what sort of
13 considerations the CFTC would have in that arena.

14 CHAIRMAN GORELICK: Yes. I think that would
15 be a good topic to put on the agenda for the
16 subcommittee going forward. Thank you, Chris.

17 MR. GORFINE: Okay. Great. With that, let's
18 go ahead and take our lunch break. And we will
19 reconvene right around 1:15, maybe a few minutes after.
20 Thanks.

21 (Whereupon, at 12:30 p.m., a luncheon recess
22 was taken.)

23

1 A F T E R N O O N S E S S I O N

2 MR. GORFINE: I will turn it back to Richard,
3 and we will be back in session now.

4 CHAIRMAN GORELICK: Thank you, Daniel.

5 I would now like to turn to our next panel,
6 in which we will hear from our Cybersecurity
7 Subcommittee members, Mr. Tom Price -- where are we?
8 Okay. There.

9 MR. PRICE: Yes. Hello.

10 CHAIRMAN GORELICK: -- Tom Price, Ms. Nina
11 Neer, Mr. Gil Vega, and Mr. Jason Harrell, as well as
12 Mr. Josh Magri from the Bank Policy Institute. We will
13 then open up to our broader TAC membership to explore
14 next steps for the subcommittee and its work.

15 And, with that, I will turn it over to Mr.
16 Price.

17 MR. PRICE: Yes. Well, actually, I will turn
18 it over to Josh, who has led the industry efforts
19 working tirelessly over the last few years to develop
20 what is known as the sector profile.

21 So, Josh, thank you.

22 MR. MAGRI: Thank you, Tom.

1 And, before we begin, I would like to thank
2 the commissioners and thank the staff here today and
3 thank you also, Chair Gorelick. And we really
4 appreciate you hosting this meeting today and inviting
5 us to speak on this topic. My remarks and requests are
6 based on over 2-plus years worth of work with over 50
7 working sessions involving over 300 cyber experts; 150
8 financial institutions; an open NIST workshop; over 9
9 Federal regulators and their input, SRO input, and
10 state-based organizations.

11 And this was all to improve cybersecurity and
12 address challenges represented by these two numbers and
13 a phrase: 40 percent, 3 million and discerning sector-
14 wide risk. That 40 percent number is really the issue
15 statement here.

16 When we did a survey of the industry in 2016
17 through the FS-ISAC, which is our information sharing
18 and analysis sector, we found out that chief
19 information security officers and their teams were
20 spending as much as 40 percent of their time doing
21 compliance-related activity as part of their overall
22 daily portfolio.

1 And one of the things that made this
2 particularly challenging is really the dearth of
3 cybersecurity professionals. I mentioned that three
4 million number. In 2019, we are facing a global
5 shortage of three million cyber security professionals.
6 So, with that, we realized that there had to be a
7 solution that we had to undertake in order to get down
8 that number.

9 And so we realized that our regulatory and
10 supervisory bodies were appropriately concerned about
11 the cyber risks posed. And this particular slide that
12 I am showing here is actually taken from a Government
13 Accountability Office study post-Dodd-Frank. And this
14 is our regulatory structure.

15 So all of these agencies, et cetera, were
16 very much interested in the risks that cyber posed and
17 appropriately so. But, as you can see here in this
18 particular graphic, this concern was expressed through
19 the use of different taxonomies and of language by
20 these various agencies. And these semantic differences
21 led to a tremendous amount of time spent on
22 reconciliation and moved us away from a standardized

1 approach to discern sector risk.

2 Everyone realized that there had to be a more
3 optimal way forward. So we began with mapping. And we
4 learned that there was tremendous overlap. This
5 graphic expresses that. This is a graphic that we
6 produced using Tableau graphical software after mapping
7 over 300-plus lines of cybersecurity regulatory
8 expectations to the NIST cybersecurity framework and
9 ISO 27000 controls. And while it looks like a wiring
10 closet there, we realized that there was hope. So
11 together with the agencies, we were able to straighten
12 out these lines into this much more I would say
13 succinct architecture. And we did so using the NIST
14 cybersecurity framework for organizational structure
15 along with CPM IOSCO's 2016 guidance on cyber
16 resilience for financial market infrastructure with
17 tailoring to the sectors' cyber and regulatory needs.
18 And we used CPM IOSCO because of its global
19 applicability, but we also used NIST because of its
20 ubiquity and cross-sector uptake.

21 And because of its increasing global appeal,
22 if not name, in content, we decided to build off of it

1 and add governance and dependency management as higher-
2 level functions in terms of organizing an overall
3 cybersecurity program assessment methodology. And we
4 extended it to be much more diagnostic in nature
5 because that was something that we saw that the
6 regulatory bodies were truly interested in, was, okay,
7 if you have something like the NIST cybersecurity
8 framework, can you extend it to be more diagnostic in
9 nature? And so we did so.

10 These are the countries that have been at
11 least interested or involved in taking up NIST. And
12 that is the column on the left. Because of our
13 fidelity to NIST and CPM IOSCO, you have a number of
14 countries that have expressed interest in the profile,
15 with one country even suggesting that they may
16 translate it for their own country's purposes.

17 In a minute, I will get to the benefits and
18 the development process. And here you go. Here are
19 the benefits. And perhaps the reason that all of those
20 countries and et cetera had been interested is because
21 of these benefits of using such a standardized approach
22 to cyber assessment. So with respect to financial

1 institutions, the benefits are pretty cognizable and
2 immediate. You will be able to get that 40 percent
3 number down quite a bit and have the frontline
4 defenders getting back to frontline defense.

5 I work for Bank Policy Institute. And prior
6 to its creation just this past year, I was with the
7 Financial Services Roundtable. And we are a CEO-based
8 organization. One of the things that we heard from the
9 CEOs in terms of cybersecurity was that they were
10 starting to get a lot more frameworks coming at them,
11 and it was very hard to track how they were doing in
12 relation to their overall program. And they would like
13 to use something such as NIST because it was something
14 that was being used across the various sectors. And at
15 its highest level of abstraction, it was only a few
16 functions that they could then really kind of keep
17 track of and then drill down into further.

18 The supervisory community, what we learned --
19 and we had conversations with the CFTC, the Fed, the
20 OCC, FDIC, et cetera -- was of great interest was
21 systemic risk and sector risk. And because there was a
22 little bit of a patchwork of assessments within the

1 regulatory space, they weren't able to see the whole
2 picture across the sector. Because we have utilized a
3 NIST-based CPM IOSCO-based approach, this is now
4 something that could be utilized across the sector.
5 And, of course, for the overall ecosystem because it is
6 based on NIST, there will be more collaboration and
7 understanding. And it allows for collective action to
8 better address risks.

9 So this is how we went about creating this
10 architecture and the overall profile version 1.0. We,
11 as I mentioned, over 2 years held about 50 working
12 sessions. This was led under the Financial Services
13 Sector Coordinating Council banner. As you can see,
14 these are the trade associations that were very much
15 intimately involved. We had over 300 individual
16 experts participating, 150 financial firms. NIST
17 actually held a workshop specific to developing out the
18 profile. And we had one-on-one feedback sessions and
19 even group feedback sessions with the Federal Reserve,
20 OCC, FDIC, SEC, CFTC, FINRA, et cetera. So with all of
21 this information, we were able to bring it back to that
22 architecture that I showed you prior.

1 One of the things, though, that did come up
2 during these sessions was, how are you going to scale
3 this diagnostic from those types of entities that are
4 systematically important down to, you know, the 10-
5 person broker-dealer or 3-branch bank. And what we did
6 is we took a lot of the stuff that was available
7 already.

8 There were interagency guidelines. There was
9 particular rulemakings on sound practices. And we
10 essentially tailored it based on those documents and
11 came up with a tiering system. And it is a four-tier
12 system.

13 The first tier, those that are the most
14 systemically important are those that would have a
15 global or a National impact if failed by a
16 cybersecurity event. Then there is also tier 2, which
17 would be applicable to those that if failed, they would
18 have a sub-national or regional impact. And then tier
19 3 is sector-only. And tier 4 is customer third party.

20 And in creating this, you know, we were able
21 to again get buy-in from a number of the agencies and
22 used a lot of their materials. And since we rolled

1 this out in October 25th, we have had over 40 firms
2 that have committed to implementing the profile or
3 actively exploring it for the next 2019-2020 exam
4 cycle.

5 So, getting back to our October 25th launch,
6 we had a National Press Club event; a number of
7 sessions of those that had helped develop it, including
8 some of the folks here to my right; and we also had a
9 number of regulators that came and talked about it and
10 provided positive support.

11 The Federal Reserve at that event said, "We
12 will welcome any financial institution to provide
13 information to us using the structure and taxonomy of
14 the profile." We see that as a boon for harmonization.

15 The OCC said, "If the industry moves to use
16 this profile, that is what we will base our assessments
17 on."

18 The FDIC said, "That was one of the things at
19 the FDIC that we were most interested in looking at, is
20 that tiering."

21 SEC was similarly supportive.

22 In terms of kind of around that event, we had

1 been working pretty much lockstep with NIST and the
2 agencies. NIST got a prerelease review I guess that
3 you would call it. And they sent a letter to our FSSCC
4 chair, saying that the profile was one of the more
5 detailed cybersecurity framework-based sector-
6 regulatory harmonization approaches to date.

7 And the FFIAC in announcing a webinar for
8 October 31st in an October 30th email said that "These
9 resources are actionable and help financial
10 institutions manage cybersecurity risk, regardless of
11 whether they use the FFIAC cybersecurity assessment
12 tool, NIST cybersecurity framework, financial services
13 sector-specific cybersecurity profile, or any other
14 methodology to assess their cybersecurity
15 preparedness."

16 So it is with that that we are here making
17 the following request. We really believe that in order
18 to maximize the benefits of the profile for both
19 financial institutions and supervisory agencies alike,
20 we encourage the following: public statements of
21 support similar to the ones that were found on the
22 prior slide, stating that the use of the profile as an

1 input for examinations and as a mechanism to evidence
2 compliance is acceptable, and also for your support as
3 a common baseline framework for cyber supervision in
4 conversations, both within the FBIIC, which is a
5 collection of financial services regulatory agencies;
6 and with the international regulators.

7 I am going to pause there. Here are the
8 websites where the profile can be found. And then I am
9 going to turn it over to my colleagues for the next
10 presentation because I believe that we are going to be
11 entertaining questions at the conclusion of all three.

12 CHAIRMAN GORELICK: Thank you, Josh.

13 MS. NEER: Good afternoon. And thank you
14 once again to the chairman, the commissioners for
15 hosting us today.

16 The next several slides, we are going to talk
17 about oversight of cybersecurity as firms move their
18 infrastructure into cloud-based environments. We all
19 recognize the importance of cybersecurity, whether it
20 be for individual firms or the financial markets as a
21 whole. We also recognize that CFTC maintains a good
22 body of system safeguards all ready today.

1 As the firms move the infrastructure into the
2 cloud, there are some unique challenges that need to be
3 accounted for in a shared-responsibility manner. And
4 our intent is to continue to work closely with CFTC to
5 make sure we address those.

6 Fundamentally, the same cybersecurity
7 requirements are going to apply, whether
8 infrastructures is managed on premise or managed in the
9 cloud, they just may be implemented in slightly
10 different ways to account for the different
11 architectures. There is still a need for strong
12 governance, education, engagement of boards of
13 directors, risk committees through this journey. And
14 the control requirements that we will talk about can
15 also be supported by the cybersecurity profile that
16 Josh was speaking about.

17 Before we turn more specifically to the
18 considerations for the group today, this slide provides
19 just a visual reference on the different types of
20 cloud-based infrastructures a firm may adopt. You have
21 got software as a service, platform as a service, and
22 infrastructure as a service, which is where we are

1 going to focus today: infrastructure as a service.
2 And you can see through the visual that there is a
3 sliding scale of shared responsibility between the
4 cloud provider, with the gray boxes, and the customer
5 or the firms, with the blue boxes, in terms of managing
6 an environment from physical hardware up through
7 application in an end-user environment. And so
8 important to keep in mind that the customer
9 responsibilities, again, remain the same to meet the
10 cyber requirements.

11 I am going to hand it over to my colleague
12 Gil Vega to take you through the considerations.

13 MR. VEGA: Great. Thank you, Nina.

14 As Nina mentioned, we think that the
15 regulation that currently exists today with regard to
16 system safeguard is certainly general, broad enough,
17 and extensible to cloud environments. And we would
18 encourage the Commission to continue down the path of
19 driving examination in these environments in a
20 consistent way, as they do with on-prem technology.

21 With respect to the recent system safeguard
22 testing requirements from a few years ago, the new

1 testing requirements related to system safeguard
2 testing, we believe that those are certainly extensible
3 to cloud environments and would encourage the
4 Commission to continue to leverage those in their
5 examination process for our cloud.

6 I will move this closer. Sorry. There you
7 go. I am a low talker.

8 So, that said, what we wanted to do today was
9 come to you and talk to you about some of the
10 fundamentals that we think are so important for firms
11 that are considering moving into the cloud. And
12 whether you are a CISO for a systemically important
13 market utility, like myself, or you are running a small
14 FCM shop or broker-dealer, these fundamentals I believe
15 apply in all of those cases. And they should be
16 important to everyone. So we are just going to go
17 through these fundamentals very quickly. And I think
18 we have got about a half a dozen of these.

19 So it all starts with a strong foundation.
20 And that strong foundation doesn't begin very quickly
21 in terms of thinking through the process of moving to
22 the cloud. It has to be a slow, deliberate process.

1 In my estimation or our estimation, as Nina mentioned,
2 there is so much governance around the idea of moving
3 regulated applications, regulated data to the cloud.
4 And in our journey with regard to CME's cloud journey,
5 we spent an awful lot of time with your examination
6 teams, making sure we were both comfortable and that
7 the internal corporate governance had visibility into
8 all of the risk decisions that were taken as we move
9 these applications to the cloud.

10 So that strong foundation includes,
11 obviously, lots of implications for data protection and
12 encryption for intrusion detection and prevention. You
13 need to be able to make sure that you are protecting
14 your customers' data, your competitive data, your
15 ability to detect anomalous activity, and respond to
16 events and incidents that are happening in a cloud
17 service provider's environment. And then the
18 vulnerability management strategy, obviously, we will
19 get to that a little bit later in the presentation, but
20 it is the same but a little bit different. And you
21 have got to account for these new realities as you move
22 towards the cloud.

1 One of the things that we feel really
2 strongly about being a key fundamental aspect of any
3 cloud migration is the authentication and
4 authorization, which you could just define as making
5 sure that the right entity has the right access to the
6 right resource at the right time for the right reason.
7 I think it is better than 90 percent of all information
8 security breaches currently involve an identity or
9 access management component to it. So it is important
10 to continue to focus on this as a strong fundamental
11 using things like federation and centralized management
12 of accounts and validation of those accounts as well as
13 verification that those accounts are still necessary,
14 implementing things such as Least Privilege, which is a
15 best-known practice in information security, as well as
16 logging access and making sure that the right people
17 are accessing the right assets.

18 With the cloud, there are new strategies that
19 you can leverage, including containerization, the
20 development of micro and mini services. Understanding
21 how these systems talk to one another is absolutely
22 critical as you architect these systems because if you

1 cannot define how these systems engage with one
2 another, it is going to be nearly impossible to respond
3 to incidents that are occurring there and nearly as
4 difficult to monitor appropriate activity.

5 One of the huge benefits of cloud
6 implementation is the ability to go with full
7 automation to deploying servers and applications. When
8 we move to full automation, we are able to remove some
9 of the human errors associated with manual processes
10 involving technology deployment.

11 As Nina mentioned, this is a shared
12 responsibility. And as you pick cloud vendors, you are
13 going to inherit some of their underlying controls.
14 And it is important for every company moving to the
15 cloud to understand what those controls are. It is
16 absolutely critical that you trust the controls that
17 are being delivered by that cloud provider but you
18 verify those controls and you compensate where you need
19 to.

20 For those organizations that find it
21 important to understand exactly where the physical
22 infrastructure is, it is important to work out those

1 arrangements with the cloud provider to make sure that
2 you have an understanding. It is also important to
3 make sure that you account for operational resilience.
4 Moving to the cloud doesn't automatically add disaster
5 recovery capabilities or high-availability
6 capabilities. Those things need to be understood in
7 great detail with cloud vendors. And cloud vendors
8 vary in terms of capabilities that they are able to
9 deliver.

10 Monitoring the access to your data is
11 absolutely critical as well. And we would recommend
12 that this continue to be a focus of your examination
13 process, the ability to monitor who is accessing data,
14 for what purpose is an important consideration for
15 companies as they move to the cloud. And with the
16 proliferation of privacy laws and regulations across
17 the globe, it is important to understand exactly how
18 your cloud provider will remove data when you ask them
19 to and validate and verify that they have removed it or
20 sanitized it correctly.

21 Cloud technology supports the use of open-
22 source technology. And to the extent that you can use

1 it securely and deliver resilient solutions with open-
2 source, you should. There are lots of good reasons for
3 leveraging open-source, including guarding against
4 vendor lock-in. There are multiple vendors providing
5 cloud services. And it is important to have some
6 semblance of mobility built into your applications and
7 your data storage in the event that it is necessary to
8 move from one cloud provider to another one.

9 And, finally, before I turn it over to Jason
10 to talk a little bit about TPRM, vulnerability
11 scanning. It is absolutely critical as well to
12 maintain a focus on dealing with vulnerabilities in a
13 cloud environment. There are cloud providers that will
14 sign up for certain levels of service as it relates to
15 patching the underlying infrastructure, but it is
16 important for companies and entities considering the
17 cloud to understand where those differences lie, where
18 the demarcation is between what the cloud provider is
19 responsible for and what the individual companies are
20 responsible for.

21 And, with that, I will turn it over to Jason.

22 Thank you.

1 MR. HARRELL: Good afternoon. Thank you to
2 the commissioners and members of the Technology
3 Advisory Committee for allowing me to speak to you on
4 vendor management and giving you a little bit of
5 background on where we are today relative to that.

6 You know, vendor management is an area that
7 has received significant attention from the global
8 supervisors and standard-setting bodies. During the
9 review of this topic by the subcommittee, we have noted
10 that there have been several supervisory rules, rule
11 interpretations, guidance, and questionnaires that are
12 looking to prescribe either an approach or to
13 understand what firms are doing relative to managing
14 the risk of their supply chain.

15 In addition, firms have responded to these
16 risks by incorporating information security
17 requirements into their contracts and to either limit
18 liability and to also continue to provide oversight
19 through the lifetime of their contract with the vendor
20 to understand their risk posture through the terms of
21 the agreement.

22 At this time, the subcommittee continues to

1 review the existing supervisory documents to understand
2 the current regulatory landscape and the approaches
3 prior to offering recommendation to the committee.

4 So where are we relative to this? First, the
5 supervisory documents have provided firms and financial
6 market infrastructures with general requirements that
7 should apply to all of their vendors and at the same
8 time have provided more detailed guidance around the
9 same organizations on vendors deemed to be critical.

10 Second, the supervisory documents provided to
11 firms and FMIs have different breadth of coverage over
12 the vendor management lifecycle. This includes all
13 aspects of the vendor management process from planning
14 by a firm or FMI on how they will use a vendor, all the
15 way to the termination of the vendor contract.

16 In addition, this looks at activities that
17 are performed or conducted through the course of the
18 agreement, whether that is the ongoing documentation
19 and reporting of that vendor's risk posture and the
20 independent review of the vendor management program by
21 the firm or FMI's independent audit function. As part
22 of the initial discovery of documents, there have been

1 over 15 documents that outlined vendor management
2 control requirements, including most recently the
3 Monetary Authority of Singapore's consultative guidance
4 on technology risk management.

5 Oh, thank you. Thank you.

6 To provide a glimpse into the current number
7 of supervisory documents the subcommittee had provided
8 a link of the numerous current guidance that has been
9 promulgated by the different authorities, you will note
10 that some of the standard-setting bodies, such as
11 IOSCO, all the way to certain state regulators, such as
12 the New York State Department of Financial Services,
13 has issued some level of guidance on vendor management.

14 Oh, thank you.

15 So, given the amount of current guidance on
16 vendor management, the subcommittee requests additional
17 time to first review the guidance provided from other
18 supervisors and to identify potential opportunities to
19 strengthen the current guidance that has been
20 promulgated. Guidance in this area does go back to
21 2003. So, at a minimum, it may be time to refresh some
22 of the guidance out there based on the different risks

1 that have been exploited for vendors since that time
2 and changes to the threat landscape that we have seen
3 since that time period.

4 The subcommittee can then develop a set of
5 recommendations together with the rationale for those
6 recommendations, which can be used as a proposal to the
7 committee.

8 I seem to have a little trouble with this
9 thing. Oh, I just scroll? There we go. No. That is
10 good. Thank you. I am getting the hang of this.

11 Anyway, as we go on this journey, the
12 subcommittee requests input from the committee on two
13 areas. First is given that current guidance provides
14 both prescriptive rules as well as principle-based
15 guidance in the area of vendor management, is there a
16 preference from the committee on the type of
17 recommendation that we provide back to this committee
18 so that we can make sure that we are meeting that
19 expectation.

20 And the second, we have also noticed that
21 supervisory documents have provided guidance on
22 critical vendors. Yet, there has been evidence that

1 additional factors may be needed to identify a vendor's
2 criticality. And then is the committee open to a new
3 approach possibly for how criticality may be determined
4 prior to us going and investigating ways where we can
5 improve how critical vendors have been identified?

6 And, with that, I will turn it back over to
7 the chair, and we will be looking to answer questions.

8 CHAIRMAN GORELICK: Terrific. Thank you to
9 all of you for those presentations. It was very
10 helpful and informative. And I noticed some common
11 threads between the various presentations. I was
12 particularly struck by Josh's comment at the beginning
13 that we are three million cybersecurity professionals
14 short in the economy this year. And that is a number
15 that seems very hard to fill without putting some real
16 thought behind the types of work that we are asking
17 these professionals to do. So I think this was a very
18 good step.

19 I want to open it up to questions right now,
20 but before I do that, I just want to put it in a little
21 context. I understand that the cloud presentation
22 today has a series of recommendations. I think what I

1 would like to encourage people to think about today is
2 giving feedback on those recommendations. And we can
3 get that feedback today at the meeting. We can also
4 have a period of time to follow up with the committee
5 and on those recommendations and then ask the
6 subcommittee to formally propose these recommendations
7 or the next version of these recommendations depending
8 on the feedback for a vote at the next upcoming TAC
9 meeting.

10 And then it looks like -- Jason asked some
11 very good questions as well to help structure the
12 recommendations of the subcommittee on the vendor
13 management piece. And so I would also encourage people
14 to give feedback on that.

15 So, with that, I would like to open up the
16 discussion. Aubree?

17 MR. GREENSPUN: Aubree Greenspun, NASDAQ.

18 In particular, around the cloud
19 recommendations, did the committee consider
20 recommending or questioning any need for extra
21 consideration from an exchange perspective versus a BD
22 perspective? Certainly from the exchange perspective,

1 you know, is there a difference of our matching engines
2 being in the cloud versus our surrounding systems and
3 what should the governance be around that?

4 MR. VEGA: Nina mentioned the absolute
5 necessity to have corporate governance around these
6 decisions, obviously. And there are certain
7 applications for which the cloud has met and there are
8 certain applications for which the cloud obviously has
9 not met. And those decisions need to be internally
10 made with full governance of the risk committees and
11 boards involved in those.

12 I can't comment specifically to whether or
13 not a match engine belongs in the cloud or not. And I
14 can't specifically provide any detailed information
15 about how CME is approaching that in this open meeting,
16 but it is a situation that obviously has to be
17 addressed with a risk-based analysis depending upon a
18 firm's individual requirements.

19 MS. NEER: And if I can just add to that, we
20 didn't differentiate in our recommendations
21 specifically between an exchange's systems or a broker-
22 dealer's systems. Again, it is about these practices

1 are critical to apply everywhere. Taking a risk-based
2 approach in terms of what are the right applications to
3 put there, but when those decisions are made, everyone
4 needs to be protected in order to protect everyone
5 else.

6 MR. GREENSPUN: I appreciate that. You know,
7 we are all held to the standards and need to make our
8 own risk assessments. Right? I think I was simply
9 asking, should we as the committee be considering if we
10 should have some recommendations in regard?

11 MR. VEGA: I would say yes. And we will take
12 that back and come back to the committee. Sorry.

13 CHAIRMAN GORELICK: Thank you.

14 Tom, I think you were next.

15 MR. CHIPPAS: Tom Chippas, ErisX.

16 Did the subcommittee with respect to the
17 cloud content give any thought to the benefits for
18 small organizations? Specifically, a lot of the
19 recommendations have a feel of being very large-scale,
20 very corporate, a lot of focus on vendor lock-in,
21 portability, which are all goals that are reasonable
22 for large enterprises. Yet, cloud operated by large-

1 scale professional organizations can oftentimes provide
2 more robust and better-controlled infrastructure that
3 small entities would never be able to access on their
4 own. So I am just curious if in the time the
5 subcommittee had, there was any thought to perhaps
6 providing differentiated recommendations, weighing
7 those different benefits depending on organization
8 type.

9 MR. VEGA: I think that is something we can
10 certainly go back and work on. It was a topic of our
11 conversations early on in our subcommittee meetings.
12 We had members who come from much smaller organizations
13 than many of us participate in these conversations.
14 And we thought what we were trying to do with these
15 thematic recommendations was to address both the
16 smaller firms as well as the larger firms. So if it
17 came off sounding as if these were sort of huge
18 enterprise corporate recommendations, that wasn't the
19 intent. I think maybe there is an opportunity here for
20 us to go back and clarify that a bit.

21 MR. CHIPPAS: I am sure some of the smaller
22 firms would benefit from that.

1 And then with respect to the presentation on
2 vendor management, just very quickly commenting, as the
3 chair was looking for feedback, I know that I and we at
4 ErisX would be supportive of a principles-based
5 approach in both instances and definitely a
6 reconsideration of defining criticality.

7 MR. VEGA: Thank you.

8 CHAIRMAN GORELICK: Gary?

9 MR. DeWAAL: Yes. Just following up on Tom's
10 good point, obviously when the NFA came out with the
11 requirement that firms implement ISSPs, they were very
12 mindful of the fact that one size does not fit all and
13 there should be differentiations. I just want to
14 second Tom's very good point that although broad
15 principles may be -- you know, are a good thing, there
16 should be some kind of differentiation between what is
17 expected of a small and big firm because, obviously,
18 the small firms don't have the resources. And, yet,
19 they can benefit by deploying the cloud for storage and
20 other purposes.

21 CHAIRMAN GORELICK: Paul?

22 MR. CHOU: Yes. You know, I think I would

1 like to see also some elucidation as to not just like
2 how to do cloud management but also the certain scale
3 advantages that cloud has, you know, in the sense that
4 they see many, many more vulnerabilities in a much
5 different set of contexts than even a well-resourced
6 individual company would have. And so they happen to
7 have scale effects where they can deploy the lessons
8 learned from a much wider attack service that they
9 didn't see. Like, for example, AWS or all those guys
10 see quite a few more things than any individual company
11 would do. And those licenses are immediately kind of
12 distributed. So I think outside of just managing the
13 cloud infrastructure and where you should put certain
14 things, you know, there should be some discussion as to
15 the pros and cons of both approaches.

16 CHAIRMAN GORELICK: Mayur?

17 MR. KAPANI: Mayur Kapani from ICE.

18 A quick question on the profile. Does the
19 profile take cloud as a first-class deployment strategy
20 for financial applications?

21 MR. MAGRI: So with respect to cloud, the way
22 that we built in cloud-based assessment-type questions

1 are in both the governance and the dependency
2 management sections. We are really looking at the
3 profile as more of the diagnostic or assessment for the
4 enterprise. And, of course, you know, cloud is one of
5 the components, but there is a whole suite of other
6 components related to cybersecurity that are integrated
7 within the profile.

8 And the profile essentially consolidates
9 again that 3,000 rows of mapping of the various agency
10 requirements down into about 300 questions. We were
11 able to synthesize that that much.

12 MR. KAPANI: Okay. Thank you.

13 CHAIRMAN GORELICK: Josh, I will add that I
14 was glad that you did not read through all of those
15 3,000 lines. When I first saw the slide, I was
16 concerned we might need to allocate some extra time for
17 the presentation. But it was a very impactful visual.

18 So, Haime, I think I am going to put you on
19 the spot a little bit if that is okay. I understand
20 that FINRA in recent years has moved a lot of its
21 infrastructure into the cloud. Maybe you have some
22 experiences you could share with the group on that

1 experience.

2 MR. WORKIE: Sure. You know, I guess the one
3 thing I would say is that moving things to a cloud has
4 a lot of potential benefits associated with it, as Paul
5 was pointing out, where you have an entity that could
6 potentially have a bigger look. But there is something
7 also attached to that. Each individual entity that
8 moves their system to a cloud pick the tools that they
9 utilize from that vendor and to integrate into their
10 own system. So I think a lot of that still goes back
11 to the individual entity to make sure that as they are
12 linking up to the cloud, that they are using the tools
13 appropriate for their business and thinking through
14 those issues. So I don't know if the report comments
15 on that, but I think that is really a key determining
16 factor because it is not just offloading all of the
17 issues to another vendor. You still have to think
18 about how you integrate with the system.

19 MS. NEER: Which is the critical point on the
20 visual of the shared responsibility. Just because you
21 as a customer deploy to the cloud, you are still
22 responsible for making those appropriate decisions

1 around tools and strategy.

2 CHAIRMAN GORELICK: Thank you.

3 Brad?

4 MR. LEVY: Yes. Brad Levy, IHS Markit. One
5 comment.

6 It may be worth drawing some distinction
7 between applications, the data, and the calculations,
8 which have traditionally been a system that could be
9 deployed on prem or in cloud. In the future, you may
10 be able to break those apart more. The data getting
11 around is more controversial in terms of protection and
12 deletion and all of that, but you may be able to
13 leverage it more for applications or calculations while
14 the world is getting more comfortable with the data, so
15 drawing those distinctions in a more modular way than a
16 more monolithic systems way, which is more our history.
17 And it may get us there more quickly incrementally if
18 we think about it as more components than a whole
19 system, moving or not.

20 CHAIRMAN GORELICK: And Chris?

21 MR. CHATTAWAY: Do you guys think there is
22 sufficient competition in this space? You hear a lot

1 about AWS, Google, and I think its Microsoft. Is that
2 enough, too much?

3 MR. VEGA: I think, obviously, it cuts both
4 ways because you work with a single vendor oftentimes
5 to make sure that your controls are meeting the
6 requirements of your internal control framework.
7 Sometimes it is often difficult to use the same teams
8 responsible for identifying those gaps, remediating
9 those gaps, and then designing a new infrastructure for
10 another provider who is providing different services.
11 So I would say that there probably is enough
12 competition, but it is difficult for highly regulated
13 companies and it is probably not as difficult for
14 smaller companies. But for the larger companies, it is
15 certainly more difficult to shift controls from one
16 provider to the other because there is such a
17 difference between these current cloud providers.

18 MR. CHATTAWAY: I was going to say then the
19 switching costs are also quite high. You know, you get
20 onto AWS, and you are there for life.

21 MR. VEGA: Well, you know, I mentioned in my
22 presentation the idea of, you know, containerization

1 and micro services and the ability to use those types
2 of strategies to move away from the danger of vendor
3 lock-in. And at some point in the future, you will be
4 able to field or deploy those micro services in
5 containers to different providers much more easily, but
6 there is still a lot of work to be done with regard to
7 mobility and micro services.

8 MR. LEVY: And if I could just offer a point?
9 I think the infrastructure as a service, is the first
10 wave, thinking of platform as a service, which is more
11 the micro services architecture and containerization.
12 We have struggled to think about those independently.
13 So thinking about infrastructure as a service and
14 platform as a service, really, as a combined
15 initiative, which if you think past first, it starts to
16 -- you may be able to abstract yourself from any one
17 system over time, although there is a pinning effect.

18 And on the competition point, I would argue
19 it is not maybe about the number of providers but the
20 business models of the providers in terms of what they
21 are doing with the applications and the data and the
22 calculations that are going through that

1 infrastructure. Whether it is the right number of
2 three or four, it may be more interesting what those
3 providers do as a core business beyond being a cloud
4 provider.

5 CHAIRMAN GORELICK: Alex?

6 MR. STEIN: Thank you. Excellent
7 presentation.

8 With the focus on the smaller, technically
9 less sophisticated clients, have you looked at some
10 form of certification for the cloud services so that
11 precisely the less sophisticated client, who still has
12 these responsibilities, can rely on the certification
13 of the vendor, rather than opine on things they don't
14 understand?

15 MS. NEER: We didn't discuss it specifically.
16 We can certainly incorporate that.

17 I don't know if you have any thoughts, any
18 additional thoughts, on that.

19 MR. VEGA: Not really. Only to reiterate
20 something that Josh touched on earlier, which is the
21 nature of our industry as we are pulled together
22 through the financial services information analysis,

1 the ISAC, Information Sharing and Analysis Center. We
2 have got cloud security working groups at a high level
3 that are pulling us all along together in this journey.
4 So you have got large, global, systemically important
5 banks and market utilities and smaller, less
6 sophisticated, less well-resourced financial services
7 firms understanding our experience as we share that
8 with them. So I think we can go back offline and talk
9 about a possible certification approach, but to the
10 extent that we are all sharing our well-earned scar
11 tissue with those smaller firms, you can be sure that
12 that is happening on a pretty regular basis.

13 I don't know, Josh, if you wanted to add
14 anything to that?

15 MR. STEIN: I think that one of the problems
16 here is technology and threats continue to evolve at
17 such a fast pace. It is very difficult for most
18 organizations to stay abreast. So a solution that
19 allows the enterprise to rely on the expertise of the
20 experts on an ongoing basis would be beneficial.

21 CHAIRMAN GORELICK: So one other question for
22 the subcommittee, are there areas where the

1 subcommittee believes that the current regulatory
2 oversight requirements are insufficient for the risks
3 and the benefits of using the cloud infrastructure?

4 MR. VEGA: I have already given you my
5 opinion. I say no. I think they are general and
6 extensible enough to address this new environment.

7 MS. NEER: In different terminology,
8 principles-based, rather than prescriptive, "Do this,"
9 "Do that," is a good approach.

10 MR. MAGRI: And to the extent that you are
11 considering any type of guidance, regulation, et
12 cetera, we would ask that you follow the architecture
13 of the cybersecurity profile or at least use it as an
14 informative reference because, again, much of the time
15 spent on compliance has been in that reconciliation
16 process. And the profile provides that organizational
17 structure and taxonomy that is extensible with future
18 guidance and regulation.

19 CHAIRMAN GORELICK: Paul?

20 MR. CHOU: Yes. Hi. I am Paul Chou from
21 LedgerX.

22 You know, one additional thing that I think

1 might be useful is that while this is a cloud
2 discussion, it is not an either/or kind of thing. And
3 so it might be very useful to say we are not just doing
4 exclusively the cloud. You know, we also have our own
5 infrastructure. And how does that relationship look?
6 If one thing goes down, what do you do to the other?
7 And what might be best practices to have maybe the best
8 of both worlds in a lot of ways?

9 CHAIRMAN GORELICK: Okay. Thank you very
10 much.

11 If there are no further questions, I want to
12 thank the subcommittee for their presentation and turn
13 to our final topic on the agenda, in which members of
14 our DLT and Market Infrastructure Subcommittee will
15 share their current efforts and work streams going
16 forward. Our panelists will be Ms. Shawna Hoffman-
17 Childress; Mr. Charley Cooper; and Mr. Jesse Drennan;
18 as well as a guest speaker, Ms. Tara Kruse from ISDA.
19 We will give a few minutes to get everyone seated, get
20 the nametags changed, and the presentations teed up,
21 and start back in about three minutes.

22 (Recess taken.)

1 CHAIRMAN GORELICK: OK, thank you everyone.
2 We are going to continue the presentation now. So I
3 will turn it over to the DLT and Market Infrastructure
4 Subcommittee. And I believe Ms. Hoffman-Childress will
5 be beginning with the presentation. Thank you.

6 MS. HOFFMAN-CHILDRESS: Yes. Thank you so
7 much, Chairman and commissioners, for having us here
8 today. So I am also joined by Charley Cooper, Jesse
9 Drennan, and also Tara Kruse.

10 And, well, speak up a little bit more? All
11 righty.

12 So as we enter into this fourth industrial
13 revolution, you know, I think we all can agree, as we
14 have heard today, it is absolutely an unprecedented
15 time. So we have the ability with today's technology
16 to speed up transactions that used to take weeks. We
17 can speed them up to just minutes and even seconds. So
18 today my esteemed colleagues and I will go ahead and
19 share with you -- I will cover the state of the states,
20 so kind of the current state of blockchain. The
21 technology forward in the DLT space will be covered by
22 Charley. And, also, real-world applications will be

1 covered by Jesse Drennan and also next steps.

2 Now, distributed ledger technology is also
3 known mostly as blockchain, but, then, also we hear it
4 called DLT. So blockchain has the potential to disrupt
5 any industry that employs the use of trusted third
6 party middlemen. And it gives direct control back to
7 the end-user. However, with any technological
8 revolution and the paradigm shift that comes along with
9 those, there is a process of trial and error, what
10 works and what doesn't work.

11 Now, with that process, as you can see with
12 this hype cycle right here, we are just starting to go
13 into what we call the trough of disillusionment. And a
14 lot of us have heard fun things in the media,
15 "Blockchain is here to stay," "Blockchain is going,"
16 but, again, just as a reminder to everyone who is here
17 today and those who are listening, we are going into
18 the trough of disillusionment. So there will be some
19 things that are kind of up and down in the marketplace
20 as we continue to move forward.

21 We thought that it was important to also
22 share the blockchain adoption and how it has been

1 adopted industry by industry and what we have been
2 seeing over the past few years. You know, it
3 absolutely is undeniable that blockchain is one of the
4 major technologies that is catalyzing the pace of
5 innovation. And it is introducing many new radical
6 shifts in every industry. So, as we can see here, we
7 have awareness.

8 A lot of the industries are getting educated.
9 We also have many industries who are currently
10 experimenting and creating those proofs of concepts.
11 And then, of course, some are in production. And a few
12 are still a little bit not sure. And so we start to
13 see this adoption really taking place and starting to
14 be a little more of a catalyst within those companies.
15 You know, there is tremendous promise within all of
16 these respective industries when it comes to
17 blockchain.

18 We also wanted to share a little bit with you
19 about blockchain history. I think one of the questions
20 that I always get is, isn't blockchain Bitcoin? Well,
21 it is not. So Bitcoin, as we know, is an application
22 of blockchain and blockchain, of course, being created

1 back in the early 1990s. So as we kind of take this
2 quick walk down memory lane, we are reminded that the
3 first work on a cryptographically secured chain of
4 blocks was described in 1991 by Stuart Haber and Scott
5 Stornetta. Now, they wanted to implement a system
6 where document timestamps could not be tampered with.
7 And in 1992, they incorporated Merkle trees to their
8 design, which allowed several documents or certificates
9 to be collected into one block.

10 Now, I spoke with Scott Stornetta this
11 morning. And they are continuing their work. They
12 actually recently just started the Blockchain Corporate
13 Governance Foundation. And their mission is to
14 increase integrity and transparency in corporate
15 operations. So we have our initial co-inventors of
16 blockchain still, of course, being very active in the
17 space.

18 But, as we all very well know, in 2009, the
19 words "block" and "chain" were used separately by
20 Satoshi Nakamoto's original white paper. Nakamoto
21 improved the design by using a hash cash-like method to
22 add blocks to the chain without requiring them to be

1 signed by a trusted third party. And, of course, this
2 is the core component of Bitcoin. But it is an older
3 technology. I mean, now we are looking at almost 30
4 years old. And a lot of things have happened. So we
5 see in 2009, of course, with Satoshi's white paper
6 coming out; we see 2011 to 2012, the deployment of
7 cryptocurrency in application related to cash; 2012 to
8 2013, current transfer in digital payment systems; 2013
9 to 2014, financial markets and applications using
10 blockchain beyond cash transactions. Smart contracts
11 came in 2014 to 2015. And then 2015 to 2016, we have
12 seen permission blockchain network solutions. And then
13 2016 to 2017, we are seeing a large-sized market
14 consolidation with many further subdevelopments.

15 So, to talk a little bit about the future of
16 blockchain, I am going to pass it over to Charley.

17 MR. COOPER: Thanks very much. And thank you
18 to the Commission for hosting. As always, it is good
19 to be here.

20 I guess I learned a lesson coming into this.
21 I should pay attention during the subcommittee meetings
22 because had I noticed that I had to predict the future

1 today, I might have avoided the presentation. So I am
2 going to try to make some predictive comments, some of
3 which will seem a little less than specific, but we can
4 also talk about not necessarily the past nor the future
5 but what is happening in the moment. And I think that
6 might help. I think that might help frame a little bit
7 what we are looking at in the future and what the CFTC
8 as an agency should be thinking about in terms of what
9 is coming down the pike.

10 So I am actually going to do one historical
11 note to explain why predicting the future is so
12 difficult, in particular, in this industry.

13 When Satoshi published the white paper 10
14 years ago, the white paper itself if you care to read
15 it is in some ways as much a political document as it
16 is a technical document. And much of the white paper
17 is actually talking about a new world of economics; a
18 new world of commerce; a new world of people and
19 entities relating to each other that was a reaction to
20 the financial crisis, the ills, the perceived ills, of
21 the banks, and central governments. And, as such, the
22 paper was written in many ways as a manifesto against

1 many of the people in this room, including the agency
2 that we are testifying in front of.

3 And it envisaged this -- but this is actually
4 I think, really, I think interesting but maybe not, but
5 I think it is super interesting. Here we are 10 years
6 hence. And not only are the financial institutions and
7 other global companies thinking about using blockchain,
8 the very companies that Satoshi had hoped to get away
9 from, but federal governments around the world are now
10 looking at ways in which they can incorporate this
11 technology into the way that they do business. And
12 that is a really big deal.

13 If you think about what would have been
14 predicted in 2009 about where blockchain would be, this
15 is probably the last place that someone might have
16 predicted it. So here I am about to try to tell you
17 where we are going to be in five years. So take
18 everything with a grain of salt.

19 I think there are a couple of rules of thumb
20 to think about as we are heading into 2019. First of
21 all, this is already real. And to the extent it may
22 not necessarily be on the radar of the CFTC in a

1 derivatives context -- and Jesse is going to talk a
2 little bit more about some of the things that will
3 relate to the CFTC jurisdiction in those markets --
4 there are live applications that -- not just R3 but IBM
5 and HSBCs themselves and others are doing and running
6 around the world, where live-transaction volume in
7 different markets is occurring today on blockchain. We
8 have moved beyond in a limited way, beyond the world of
9 proofs of concept and experimentation. And this is
10 real money and real transactions changing hands. And I
11 think that is really important to think about, right?
12 So this is no longer the sort of idea -- this
13 expectation that we hope is going to happen, it is
14 happening.

15 The second thing I would say is a rule of
16 thumb is if you are trying to figure out to all of the
17 entrepreneurs out there looking to launch a company, if
18 you are trying to figure out where this technology is
19 most likely to be deployed next, look at the things
20 that are the most antiquated and broken and reliant on
21 legacy systems and processes that haven't been updated
22 in some cases decades, if not years definitely, and in

1 some cases decades. And there are several examples of
2 that.

3 I mean, two areas in which we do a lot of
4 business at R3 is trade finance and insurance. And if
5 you think about that, that makes perfect sense. These
6 are entities that still play by rules of multiple
7 different parties signing actual documentation, stamps
8 in different ports of call, the passage of goods
9 between different entities that are recording it in
10 duplicate or triplicate or quintuplicate or whatever
11 the term would be. That is such a way that in many
12 ways is foreign-concept certain markets that in the
13 more advanced markets, they haven't necessarily paid as
14 much attention to blockchain because, at least in their
15 minds yet, they don't have as much to gain from that.

16 So if you are looking at the types of -- if
17 you are looking at a set of areas where this stuff is
18 already beginning to be deployed, you look at pre-trade
19 and you look at post-trade, more the middle- and the
20 back-office piece because things like the actual match
21 -- I mean, if you think about how quickly a transaction
22 would happen on CME today, in a matter of milliseconds

1 or microseconds -- I don't know what that number is --
2 that is not something that blockchain is going to
3 attack and make more efficient right now. But how long
4 does it take to onboard a client? How long does it
5 take to deal with the entire reconciliation process
6 that happens after a trade? There we are still talking
7 days or weeks at some point. And that is the kind of
8 area which is really ripe for innovation.

9 So, with those rules of thumb, I will just
10 give you a couple of examples of the kinds of things
11 that are happening in real time in the markets that are
12 out there.

13 HQLAX is a platform for collateral management
14 for high-quality liquid asset exchange. Its major
15 backer is Deutsche Borse. It happens to be built on R3
16 Corda. We will talk about other platforms as well, but
17 this is a platform that did its first live trade
18 actually last year between regulated entities ING and
19 CS that were moving a basket of securities between them
20 in a digital form, in a tokenized form, although the
21 term was not used at that point by that entity, but
22 that is something that is already happening.

1 A company called Tradewind out of Canada that
2 works with the Canadian Royal Mint for the trading of
3 gold. That is live. That is already running.

4 Finastra, which is a massive Fintech company
5 based in Europe but has global remit. Their syndicated
6 lending platform is being migrated over to blockchain
7 within the next several months.

8 B3i, an insurance company. I mean, there are
9 various different things we could go through, but these
10 are real applications that are happening. And I will
11 defer to Shawna and Jesse to talk a little bit about
12 HSBC Everywhere and about IBM's we.trade and other
13 initiatives that they have. I am talking about the
14 stuff that is happening on our platform. But that is
15 by no means the exclusive platform that is being used
16 in the marketplace. Many others are being used.

17 So 2019 to us, or at least to me because I am
18 in the hot seat, is the year that you are beginning to
19 see deployment in markets. Although it is still
20 nascent, I would suggest that over the course of '20
21 and '21, you begin to see those deployed at scale.
22 There are already large financial institutions around

1 the world that are deploying blockchain enterprise
2 solutions within their four walls to handle
3 transactions between the various different divisions.
4 That is happening already. That is happening live.
5 And that will continue to happen.

6 One of the obstacles, but it is actually
7 being worked through and remarkably, impressibly,
8 actually, at many institutions, is the idea of
9 integrating these current systems with the systems that
10 have built up, again, over decades in a lot of these
11 organizations and how they figure out how to integrate
12 with blockchain and ultimately migrate away from what
13 they are currently using onto a full blockchain
14 solution. Those are the types of things that are going
15 to happen within the next two to three years, we would
16 predict.

17 And then the more sort of futuristic stuff --
18 and I would defer to Chris Church at DA. I think he is
19 on the line, but I will take this because he wasn't
20 able to be here today. There is this idea of, as he
21 calls it, convergence. But it is the idea that there
22 are other types of massively important and influential

1 technologies out there. It is not just blockchain. It
2 is big data -- and it is AI and machine learning and a
3 whole bunch of other things -- that you begin to see
4 all of these things come together.

5 Now, what does that actually mean? Well, let
6 me give you one example. AI is only as good as the
7 data that is being kicked into the system for the
8 machine to think through and do its various different
9 analytics and produce some sort of information in the
10 backend. If the data is crap, the AI is crap. I think
11 it is a term of art that I just used. But if the data
12 is not good, then the AI is very limited in what it can
13 do. So something like blockchain or something like the
14 ability for big data, which is taking reams and reams
15 and reams of data that has been accumulated over in
16 some cases again decades being backloaded into systems
17 and then new data being produced each and every day,
18 the more data that goes into systems, the more the AI
19 can learn and the more the predictive behavior of that
20 can become powerful.

21 So if you think about it, in many ways, we
22 are all helping to unlock each other that these various

1 different technology solutions we would envision down
2 the road become interrelated. Now, is it exactly the
3 way we would think about it is now? I honestly don't
4 know. I mean, the reality is technological innovation
5 is inevitable, but the direction of that innovation is
6 not inevitable. And I think what we are talking about
7 here today may make sense a year from now. We may be
8 back here in two or three years, and we are talking
9 about something that we hadn't even conceptualized
10 today. And there will be younger people at the table
11 or at my company, who have been coming up with ideas
12 that I never could have come up with. And that happens
13 pretty frequently.

14 So I realize that that last piece of
15 prediction is hard to crystallize, but it is more of a
16 conceptual point that these technologies are all
17 related to the maintenance of data that requires
18 massive computing power and the ability for software
19 programs to operate in an intelligent way to make the
20 most use of that data in a way that could be deployed,
21 not just in the financial services industry and
22 certainly not derivatives particularly, but across

1 healthcare and telco and energy and gas. And you go
2 through the litany of things.

3 So I will leave it at that and then turn it
4 over to Jesse.

5 MR. DRENNAN: So blockchain is quite a broad
6 topic. We thought it worth grounding in a few things
7 the Commission, in particular, has oversight on in
8 order to have a little bit more concrete and real
9 conversation. And, in particular, I just want to
10 emphasize focusing in on business outcomes for the
11 firms that are participating around the DLT or the
12 technology because, obviously, if we are not getting
13 any benefit from the technology, there is obviously no
14 point in working with it.

15 So we wanted to focus in on three areas. The
16 first is around smart contracting. This is the idea of
17 automating the legal agreement between parties and
18 beginning to actually centralize the processing. Some
19 of that may be post-trade, but if you think of things
20 like margin exchange or you think of things about
21 interest rate setting, et cetera then potentially a
22 smart contract, where all that happens centrally

1 becomes a place to drive efficiencies, particularly in
2 the OTC markets, where we don't have a CCP acting as
3 operational efficiency to all parties in the contract.

4 The other is trade reporting, which for many
5 years, we have been speaking about in the DLT space.

6 And, finally, we wanted to talk a little bit
7 about DVP and payment versus payment because, again, we
8 have seen many cases where these are now coming to
9 market or at least fomenting to come into markets in
10 the short term.

11 So in the example of smart contracts, as I
12 mentioned, what we are talking about here is
13 programmatically defining contracts and actually
14 running them programmatically between the parties to
15 the contracts; for instance, interest rate swap, fixing
16 on LIBOR. Then potentially it is going out to the
17 market. It is reading that LIBOR fix. It is
18 automatically calculating interest accrual. And then
19 it is instructing the parties to move money between
20 themselves, all from one single central point. It
21 facilitates the data entry, the verification, and
22 potentially begins to standardize the information in

1 order to allow the parties to build out greater
2 automation and, in doing so, to build out greater value
3 in terms of reducing costs and market access.

4 We put together a very, very simple concept
5 using a credit-default swap, where, effectively, the
6 contract is going out. It is performing mark-to-
7 market. And it is calculating the margin and then
8 requesting the margin exchange between the projection
9 buyer and protection seller as an example of how a
10 smart contract might be deployed and drive efficiencies
11 back into the over-the-counter marketplace.

12 The second example, which has had, actually,
13 a lot of discussion for many years by many of the
14 providers, is around trade reporting. I want to
15 emphasize, you know, in trade reporting, the challenge
16 internationally has been the lack of standards or
17 agreement or harmonization around the data attributes.
18 And so the thesis here is that by moving two
19 centralized contracts, obviously the firms themselves
20 begin to adopt standards. And so as regulatory bodies
21 taking in that reporting information, it is a chance
22 for you to piggyback off of that standardization

1 because we are coming there to process, you are coming
2 there to read. We are all motivated, highly motivated,
3 to converge on structured data and standardized data
4 that we all know how to interpret and know how to use.

5 The next emerging area is in payments. And
6 here we see quite a bit of discussion. Ubin and
7 Jasper, for those who aren't familiar, are initiatives
8 by the Monetary Authority of Singapore and the Bank of
9 Canada around using digital currency within the Central
10 Bank framework, set down as fiat. We have seen private
11 initiatives, like the universal settlement coin, where
12 several banks have gotten together looking to develop
13 coin backed with fiat but acting as a token for things
14 like 24-hour settlement. J.P. Morgan has recently
15 announced the use of a JPMCoin or JPM token for dollar
16 settlement locally. HSBC, ourselves, are using the DLT
17 for foreign exchange, doing cross-border settlements
18 throughout the HSBC organization. IBM has recently
19 announced their payment initiative called World Wire,
20 Blockchain World Wire. And IHS Markit is working on a
21 payments initiative in the structured-loan area called
22 Stax, where, again, people are looking to bring money

1 in and facilitate real-time delivery or real-time
2 payment amongst the parties. And we can see this is
3 being foundational and extended out into delivery
4 versus payment. If you think of, say, commodities
5 futures coming in, the ability to raise cash in real
6 time as they take receipt of the agricultural goods end
7 up being supplied on the back of that, of that futures
8 contract.

9 So as a sub-working group, we did have a few
10 steps or recommendations we wanted to put forward and
11 get the TAC's advice on. The first was the idea to
12 study which aspects of derivatives trading can benefit
13 from DLT. Obviously, this allows for a derivative
14 focus where the Commission may want to promote the use
15 or adoption of DLT in reducing systemic risk and/or
16 promoting certain outcomes in the market structure.

17 We have seen already but we continue to
18 encourage the use of public and private pilots of this
19 technology and other technologies in order to transform
20 the market structure; coordination with the industry to
21 facilitate understanding of the switching benefits,
22 costs and barriers -- in particular, I have noted the

1 conversation on small firms versus big firms -- the
2 consideration on migration and adoption patterns of the
3 technology, right? We don't want to bring in something
4 to the market structure that, say, only the big firms
5 can invest in and, therefore, all of the benefits
6 accrue to the large firms. But it is really
7 considering if it were to roll out, if it is a benefit,
8 how do we do it in such a way that all firms have equal
9 access or near equal access to those benefits?

10 It would also be beneficial if the CFTC would
11 give some positive words around DLT and the DLT
12 adoption. It is not that it is being hindered now.
13 But certainly part of the analysis in going live or
14 bringing to production is a review of the regulation.
15 And certainly there is sensitivity to how the regulator
16 may feel about the use of such technology in supporting
17 the activities that the parties are rolling out. So
18 where the Commission could make positive noise, that
19 may accelerate for some firms their ability to bring it
20 to market as it overcomes that little bit of due
21 diligence of just having the conversation around how
22 you feel about the use of the technology to support the

1 activity that they are pursuing.

2 Finally -- and I think trade reporting also
3 bore this out, but international coordination, again,
4 to create common standards and ensure interoperability
5 internationally is hugely important, particularly for
6 the OTC markets, to ensure liquidity and ensure cross-
7 border compatibility as we move from jurisdiction to
8 jurisdiction.

9 And, finally, we would recommend the
10 establishment of criteria for evaluating the impact of
11 the smart contract technologies on safety and soundness
12 of the individual institutions as well as the systemic
13 risk, that it may be reducing and/or increasing. And,
14 in particular, we were thinking about things such as
15 collateral and margin. If we are able to reduce or
16 create more certainty around the movement of funds, the
17 valuation of the product, potentially capital ratios
18 could come down. And, even within clearinghouses
19 themselves, again, if we are able to create more
20 efficiency and greater transparency as to the
21 availability of settlement funds and/or goods, then
22 potentially, again, the capital buffers could be

1 addressed and/or reduced. But, again, you need to take
2 into account the entire system and stability and role
3 these individual entities may be playing in the
4 marketplace.

5 And I will hand over to --

6 MS. KRUSE: Thanks, Jesse.

7 Commissioners and members of the TAC, thank
8 you for the opportunity to participate in today's
9 session. I would like to introduce you to the ISDA
10 Common Domain Model. Today, I will discuss what the
11 CDM is, why we are doing it, and the benefits for the
12 market participants and regulators who choose to use
13 CDM.

14 The DLT technology opportunities that my
15 fellow panelists have discussed have one thing in
16 common. They all need a representation for the
17 transactions and the events that impact those
18 transactions through their lifecycles. Each one of the
19 tech providers could develop their own model for
20 representing derivatives transactions and their events,
21 but then user would have to build individually to
22 different languages. These platforms would not be

1 interoperable, nor would the builds be portable. The
2 CDM can solve this.

3 So why did ISDA set out on a path to build
4 the CDM? Well, derivatives market participants are
5 looking at ways to increase accuracy, improve
6 efficiency, and reduce the cost of processing their
7 derivatives transactions. There is enormous potential
8 for new tech, as we have heard, to innovate and help
9 meet those demands, but it won't be realized in the
10 same way if you don't have a common blueprint for
11 representing the underlying transactions that ties back
12 to the ISDA legal terminology that underpins those
13 trades. So in walks the CDM.

14 CDM is a machine-readable and machine-
15 executable data model for derivatives products,
16 processes, and calculations. It is not a document that
17 you read and implement. Rather, it is code that can be
18 downloaded in different languages and implemented
19 directly and formerly as a library for building systems
20 and derivatives markets.

21 Think of it as the Google Translate for
22 derivatives. Currently firms represent products and

1 processes in their own languages, which makes it
2 difficult for firms to confirm, verify, and reconcile
3 transactions and difficult for regulators to oversee
4 them. Also, the disparity can be resolved if firms use
5 a common language or a blueprint when they first book
6 those transactions or if different representations can
7 be converted into a common language by using electronic
8 code, like the CDM.

9 The CDM uses a composable approach. Both the
10 product and the event model provide components from
11 which more complex things are built. So we have simple
12 events that make up more complex business processes.
13 On the far right of the screen, this is an exercise.
14 And you have a before and after representation of the
15 exercise. So CDM has a full lineage of the lifecycle
16 of a transaction and the processes that impact it.

17 We also have payouts, which are used to
18 create objects which put together make products. If
19 you look at the far right of the diagram, on the top,
20 you can see the different types of payouts. If you put
21 together an interest rate payout and equity payout, you
22 get an equity swap.

1 We also have ISDA definitions as codes for
2 things like interest rate calculations. On the bottom,
3 you can see the formula for fixed amounts. So you can
4 actually execute that payment amount within the CDM.
5 It is the bottom up approach for the CDM that makes it
6 unique. The model captures the economic components for
7 the payouts of the transaction, rather than looking at
8 the labels that might usually sit at the top of the
9 data model, for instance, asset class or product type.
10 Because you are looking at the underlying elements, you
11 are not going to get caught up in labeling differences
12 that can come from parties booking a trade on a
13 different desk or through a different trading system.

14 So what is the latest on CDM? We have just
15 published CDM 2.0. That was on March 20th. The
16 previous version, 1.0, was released last year for
17 testing. Now we have a fuller representation of credit
18 and interest rates, a more complete code for ISDA
19 definitions for calculations and day-count fractions,
20 an area that our members break on a lot. But, most
21 importantly, the CDM 2.0 is now open-source. We have
22 made the code available to anyone who wants to download

1 it. And since last week, 168 new users have registered
2 from 124 different entities.

3 So why are we making it open-source? Well,
4 the model is ready, frankly, for use by market
5 participants and technology providers. Providing it as
6 an open-source code extends the user community for CDM,
7 which will increase opportunities for applying
8 applications of the CDM and result in additional
9 feedback to us to help us improve the model.

10 The benefits of CDM. So what can it do for
11 both market participants and dealers? First, it can
12 enhance interoperability and straight-through
13 processing. It is really a key enabler for
14 interoperability between systems and services, laying
15 the groundwork for STP.

16 It can give regulators better oversight. The
17 CDM promotes transparency and alignment between
18 regulators and market participants. For example, trade
19 reporting, as Jesse was speaking to, or stress testing
20 could be met by specifying requirements via CDM code.
21 This would drastically improve the integrity of the
22 regulatory data by removing the interpretation risk

1 that leads to differences.

2 Finally, we can create an environment for
3 innovation for financial markets. Use of the CDM can
4 speed up development of new technology solutions for
5 derivatives because the providers of these new
6 technologies can focus on what they do best, develop
7 those technologies, rather than figuring out a data
8 model for the derivatives they are looking to
9 represent. Also, it provides the opportunity for
10 operability.

11 Let's look at a couple of examples. So
12 clearing is just working with several clearinghouses to
13 develop a model for clearing a transaction that works
14 across all CCPs. Having a single data model for
15 clearing reduces the burden and cost of building to
16 multiple CCPs, thus promoting clearing and also
17 allowing for portability between CCPs, if needed or
18 desired.

19 Collateral management. An ISDA credit
20 support annex might be shared with two collateral
21 management systems, each responsible for different
22 parts of the margin and collateral process. If the

1 data and processes are defined in the ISDA CDM, it
2 allow for portability and frictionless interchange of
3 information.

4 Reporting. Again, global regulators have
5 collaborated extensively on the critical data elements
6 through CPMI and IOSCO. And the CFTC, of course, is
7 leading that charge in their SDR requirements, but
8 there remains a risk that regulators will deviate from
9 the CDE values and that reporting entities will
10 interpret and map to them differently, leaving us with
11 unreliable data quality.

12 ISDA is working with the FCA on a pilot to
13 develop reporting rules into CDM as a code for uniform
14 implementation. Differing interpretations of when and
15 what to report will be eliminated as firms would only
16 need to provide data, not maintain their own
17 implementation of jurisdiction-specific regulatory
18 logic.

19 So what is next for the CDM? A full model
20 for the data and processes within the collateral
21 agreements, which can integrate CDM into ISDA Create
22 and other collateral services. ISDA Create is a new

1 platform that allows users to negotiate and execute
2 ISDA documentation online. An expansion in product
3 scope to cover forwards and FX equities, securities for
4 collateral exchange, and financing transactions, and
5 basic commodity products.

6 Also, we are working with various technology
7 providers to help integrate the CDM into their
8 platforms, firms like R3 and Digital Assets, who are
9 integrating CDM on their end; also, implementation of
10 the reporting rules, demonstrating the power of CDM to
11 improve data quality and remove interpretation risk in
12 regulatory implementations.

13 In conclusion, ISDA and the community of CDM
14 users will continue to invest in developing the CDM
15 because we see the transformative opportunities to
16 improve accuracy, increase efficiency, and reduce costs
17 for derivatives users. ISDA is happy to meet with the
18 CFTC staff, solution providers, or any market
19 participants to explore how CDM might be leveraged to
20 address specific processes or business cases.

21 Thank you.

22 CHAIRMAN GORELICK: Thank you for your

1 presentations.

2 I will now open the floor for questions and
3 discussion with an eye towards evaluating the current
4 approach to the subcommittee's work and whether there
5 are additional elements that the subcommittee should
6 consider as they go forward.

7 Again, we had some discussion of
8 recommendations here, particularly in Jesse's
9 presentation. And I would urge the members of the
10 committee and the subcommittee to think about those
11 recommendations and make suggestions and comments for
12 possible consideration down the road in formal
13 recommendations that the committee as a whole could
14 vote on.

15 So, with that, I would like to open it up.
16 It looks like Jennifer has a question. Thank you.

17 MS. PEVE: Thank you, guys.

18 So, Shawna, I have a question for you. In
19 terms of one of the recommendations that was made
20 around adoption, given your expertise in terms of
21 looking or IBM's opportunity to look across sectors,
22 right, in businesses in terms of the different

1 implementations and adoption rates for DLT and, in
2 particular, in one of the slides that we shared today,
3 it is clear that, you know, financial services,
4 healthcare, and I think oil and gas commodities have a
5 lower actual implementation, adoption rate, versus
6 something like consumer products and manufacturing. So
7 are there any insights that you could bring from your
8 experiences in looking across sectors that would help
9 the financial services industry understand where we
10 might be able to improve our rate of adoption of the
11 technology?

12 MS. HOFFMAN-CHILDRESS: So as we started to
13 look at the blockchain adoption slide again, the number
14 one thing is to take it in bite-sized pieces. Anything
15 that requires a middleman, start to look at the
16 processes from end to end and see where you can start
17 to add the advanced technologies. Blockchain sometimes
18 is not the answer for everything, but there are answers
19 in other technologies. Maybe it is databases that are
20 local to speed up that process. But, you know, it is
21 kind of that end-to-end that we are all looking at.

22 And financial services, I think that the

1 number one step that I would make is to start to look
2 at those processes and see which ones actually end up
3 making sense. So good.

4 MS. PEVE: So just to follow on on that, I
5 think for me, it is not so much about finding the right
6 use case. I think Charley made a really good point
7 that the use cases that are most applicable are ones
8 where either there is an end-of-life problem with
9 technology, legacy technology, or they are incredibly
10 manual, et cetera. I think finding the use cases is
11 somewhat easy. I think the market behaviors around
12 adoption, particularly in our industry, which is why I
13 think Jesse had brought up if there was some promotion
14 that the CFTC could do around adoption, that that might
15 be helpful. What I am more interested in -- and maybe
16 this is for just the comeback for the subcommittee to
17 talk about -- is, is there something in the
18 manufacturing industry that in their use cases kind of
19 highlights that, you know, they did something that got
20 them to adopt and implement that we haven't necessarily
21 tried in financial services?

22 MS. HOFFMAN-CHILDRESS: I think the number

1 one -- well, and we can jump at this, but I think the
2 number one thing that we have seen, especially in
3 manufacturing, is the start-up consortiums where we see
4 many companies coming together and building out those
5 blockchain communities together.

6 But go ahead, Jesse.

7 MR. DRENNAN: Yes. I think there are two
8 points to make. One is, if you look in the financial
9 services sector, in particular, I mean, we talked about
10 this, actually, in the sub-working group last week.
11 Basically, blockchain enables collaboration. And the
12 challenge for financial services is we have data
13 barriers and data protection in place, right? As a
14 financial firm, there is just information we are not
15 allowed to share. It is meant to be kept filed away.
16 But this is meant to break that down and start to bring
17 that together, right? So there is that barrier, in
18 particular. And what can we share? When do we share
19 it?

20 The second thing is the business benefits in
21 DLT are a network effect. And so if we are an early
22 adopter in the network, we are basically betting that

1 the network is going to be successful and then it is
2 going to have an uplift and we are going to get the
3 benefit from it. So if you turn it around and just
4 say, "I have a business problem. It is a network
5 problem. And, therefore, DLT is applicable in that
6 context," then forgetting use case and turning up with
7 your DLT box and saying, "Deploy it," then, actually,
8 the business has an outcome it is looking for. It gets
9 real business benefit. It is an obvious adoption
10 pattern to follow.

11 The challenge today is that many people are
12 coming and saying, "Look how cool the tech is." They
13 are not coming in and saying, "You have got a problem.
14 Here is a solution." And, therefore, the business can
15 adopt it, regardless of how it actually gets
16 implemented.

17 CHAIRMAN GORELICK: John?

18 MR. LOTHIAN: So this is a little -- John
19 Lothian, by the way.

20 This is a little off-the-wall question, which
21 is always kind of expected of me. This is for Charley.
22 I want you to look back in history and look forward

1 since you have the opportunity to look forward. Take
2 the Bernie Madoff fraud case. How would an
3 implementation of DLT, how it could be in the future,
4 and then how would that case, you know, multi
5 regulators or whatever, how would that have played out
6 differently in a new DLT world?

7 MR. COOPER: Okay. I haven't seen the Robert
8 De Niro movie on Bernie Madoff. I have to think about
9 it. And I would be happy to come back to the committee
10 with more of an answer. I mean, the question that I
11 would need answered before I answer the question is the
12 extent to which in the ponzi scheme that was the Madoff
13 scandal, were they actually doing real trades and
14 misallocating funds? Were they not doing any trades
15 and just putting out fake financial statements to
16 people?

17 MR. LOTHIAN: Not doing any trades.

18 MR. COOPER: So there was no activity going
19 on?

20 MR. LOTHIAN: The amount of trades that they
21 should have done was in far excess of the number of
22 options in those strikes that actually traded.

1 MR. COOPER: Got it. Okay. Yes. I mean, I
2 defer to anyone else who has got a thought on that.

3 I mean, in an event like that, I mean, the
4 CFTC, for instance, is looking at activity on markets.
5 So if they were able to juxtapose next to their market
6 activity sheets, the alleged market activity that the
7 Madoff organization was claiming, they could see the
8 difference. But I don't know the extent to which the
9 CFTC would actually see only one side of that equation.
10 So then the question is, does DLT provide -- so
11 depending on the DLT system you are talking about, many
12 of us have built in the idea of regulator nodes so that
13 they are able to actually sit there as an observer and
14 watch the activity happening in a particular market.
15 But even that would only tell them what was going on in
16 that particular market. And unless they also had an
17 eye on the financial statements or the "audited"
18 financial statements that were being provided to
19 investors, they might not actually see that there was
20 an out-trade, I would guess.

21 MR. DRENNAN: I think it is slightly
22 different.

1 MR. COOPER: Go.

2 MR. DRENNAN: So you have a immutable record,
3 right? So presumably Madoff would have executed at the
4 exchange. That record would have been passed through
5 to him as the custodian but then through to the
6 investor as well. Right? So there is one record that
7 exists, and it is being traced all the way through the
8 ecosystem. And so it should have been or, in theory,
9 it would be impossible for him to have spoofing the
10 records unless he was actually in there and able to
11 spoof the entire blockchain in terms of the
12 transactions he was doing. And it was being certified
13 by the exchange and by any of the other parties in the
14 ecosystem that were supporting that ecosystem.

15 MS. HOFFMAN-CHILDRESS: And I think that this
16 is also where we start to see the convergence of
17 blockchain and also artificial intelligence. The
18 beauty of artificial intelligence is to be able to
19 automatically predict if and when that is going to
20 happen. So we start to see these technologies working
21 very closely together.

22 CHAIRMAN GORELICK: Alex?

1 MR. STEIN: So just a quick follow-up.
2 Except for SMAs, clients don't know all of the trading
3 activity. So you are presuming a visibility to
4 something that doesn't typically exist today.

5 MR. DRENNAN: Right, but they should know
6 their own, right? So you get your broker's statement.
7 There is no reason why you wouldn't get a fraction of
8 that data record, which is your piece of the execution
9 going through the order. No?

10 MR. STEIN: But if you have pooled assets,
11 then all you would know is the trading activity
12 accounted for X gain or Y loss.

13 CHAIRMAN GORELICK: Tom?

14 MR. CHIPPAS: I have a question for Jesse and
15 a question for Tara. Jesse, with respect to the
16 concern noted in the presentation regarding potential
17 new regulatory views on the outputs of smart contracts,
18 I always find a thought exercise helpful. So if we
19 pretend it is 1999 and the outputs of the data-
20 processing system were Sybase via Store procedures sent
21 on a Mercator messaging agent, would we be asking for
22 the sort of clarification? If we are the output of a

1 CORBA object, would we be asking for this sort of
2 clarification? I am just curious if in the work the
3 subcommittee did, there were DLT smart contract-
4 specific aspects that drive us to seek this clarity or
5 is it simply because there has been an overload of
6 expert views in the media and other conferences about
7 smart contracts that drove the inquiry?

8 MR. DRENNAN: I think that -- so that is
9 possible. What we are talking about is moving to
10 centralized processing, really. Right? And when you
11 move to centralized processing because we always talk
12 about distributed ledger but central processing, the
13 issue is actually one of central governance. Right?
14 And so, really, what we are looking at is governance
15 models that the regulators need to opine on where if
16 the code were actually wrong -- and I think we have all
17 seen systems where the code was wrong -- how does the
18 bug correction make its way through? What do you do
19 when parties to the contract actually disagree and
20 don't want to see that change effected on the contract,
21 which is live? And how does that work its way through?
22 Right?

1 And so some of that is going to be market
2 practice, but some of that may also require regulatory
3 view on -- I don't know how that goes about happening
4 in order to ensure that it actually happens and it
5 happens in a manner that is safe and sound in terms of
6 the impact on the overall financial market.

7 MR. CHIPPAS: "Governance" I think is the key
8 word there. And I am going to suggest that a lot of it
9 could be solved through governance. You know, it is an
10 immutable record. It doesn't mean it is an unamendable
11 record, correct, for some of the things you have
12 described. It would be interesting to just think about
13 what is technical versus what is governance because the
14 governance probably will be more long-lived as the
15 technology evolves, as opposed to focusing on the
16 specific technology.

17 MR. DRENNAN: Sure. I think we found, at
18 least at HSBC, as we looked at the business outcomes
19 many of these are driving, but a number of them can be
20 addressed through policy changes by the regulators.
21 The technology is just a vehicle for facilitating those
22 types of conversations.

1 MR. CHIPPAS: I agree with that.

2 Tara, with respect to the CDM, I just want to
3 clarify one point. You mentioned that there are a few
4 vendors implementing the CDM. Those both happen to be
5 DLT vendors. Is the CDM exclusively for DLT or can it
6 be used in other technology bases?

7 MS. KRUSE: Not at all, right? That is
8 really the value of the CDM, is that it can work across
9 different platforms. But, you know, regardless of
10 whether it is DLT or another type of platform, they can
11 use the same language. And they could still be
12 interoperable if they needed to be.

13 MR. CHIPPAS: Okay. And then a second
14 question related to the licensing. I think IP has come
15 up in another context today, in other topics. But as
16 we start to merge business process and ownership and
17 technology more and more together, I think it is likely
18 to come up more often.

19 MS. KRUSE: Yes.

20 MR. CHIPPAS: Is the concept of the open-
21 source license that has been selected by ISDA such that
22 vendors and other third parties can develop their own

1 IP on top of it and continue to own that or is it a
2 more non-permissive license whereby any work a third
3 party does has to be contributed back in or maybe you
4 could state which license it is under?

5 MS. KRUSE: Yes. So it is an open-source
6 license. The end IP, right, stays with ISDA, but what
7 we want to do is allow people to develop CDM and
8 hopefully bring that back to us, right, so that it
9 becomes part of the CDM itself. And then that goes
10 back out to other users so that they are all using the
11 same version of CDM and we retain that consistency.

12 MR. CHIPPAS: Which open-source license is
13 it?

14 MS. KRUSE: Say that --

15 MR. CHIPPAS: Which open-source license is
16 it? Is it GNU or is it Apache or --

17 MS. KRUSE: No. It is just through ISDA,
18 just, yes, open-source.

19 MR. CHIPPAS: It might be interesting to
20 clarify that because there are IP ramifications --

21 MS. KRUSE: Yes.

22 MR. CHIPPAS: --- based upon the license that

1 has been selected, the end result of my questions being
2 that we probably want to encourage third parties to do
3 exactly what you are asking --

4 MS. KRUSE: Yes.

5 MR. CHIPPAS: -- but the selections of
6 license and then the support for the open-source
7 project will have a dramatic impact on their desire to
8 invest. And certainly there are many firms, both
9 around this table and not here, that have spent
10 hundreds of millions of dollars over decades developing
11 their platforms. They are probably going to be keenly
12 interested in understanding where open-source and their
13 contributions would lay.

14 MS. KRUSE: Thank you.

15 CHAIRMAN GORELICK: Haime, I think you were
16 next.

17 MR. WORKIE: Thank you. Haime Workie from
18 FINRA again.

19 This question is for Tara. So with respect
20 to the CDM system, one of the things I found really
21 interesting about it is how it could assist with
22 interoperability. I assume this relates mainly to the

1 database and not to two technology platforms talking to
2 each other. Can you just clarify that a little bit?
3 In what sense does it help with interoperability? And
4 what challenges are still left?

5 MS. KRUSE: Yes. So it is about the
6 underlying representation of the derivatives
7 transaction itself and what an event looks like and how
8 that is processed. So that part of the transaction
9 representation would be aligned, regardless of how the
10 platform works or functions.

11 MR. WORKIE: Is there any instances where
12 this is actually being used live now to help, you know,
13 two systems talk with each other in terms of being able
14 to look at the underlying data that you are aware of?

15 MS. KRUSE: Not yet. We are getting there.
16 There is a number of platforms who have built it out
17 and are starting to get to that point.

18 CHAIRMAN GORELICK: Larry?

19 MR. TABB: Larry Tabb.

20 Kudos, Tara, on CDM. I just wanted -- you
21 know, there was a discussion on network adoption. I
22 want to ask Jennifer, have you guys worked with the

1 CDM? Because you have got the information warehouse,
2 which is really kind of the data repository there. And
3 how do you guys think about integrating that enhanced
4 data reporting?

5 MS. PEVE: Yes. So when ISDA started
6 discussions with the industry around CDM, we were
7 certainly engaged. We were also pretty far down our
8 development road in terms of our work with Axoni on our
9 trade information warehouse transition to DLT and
10 cloud. So we have been working with ISDA. We have
11 shared our data model with ISDA to incorporate what
12 made sense within the CDM and take feedback so that
13 over time, we can reversion data model so that it
14 becomes more and more like CDM over time, that we
15 couldn't stop our progress in terms of our existing
16 development work, but we are working very closely with
17 them.

18 CHAIRMAN GORELICK: Thank you.

19 Brad?

20 MR. LEVY: Thank you. Brad Levy, IHS Markit.

21 And along the lines of Tom, Tara, I applaud
22 ISDA for the open-sourcing of CDM. And the licensing

1 is critical to that in terms of the industry getting
2 comfortable building on that. It is nontrivial, very
3 knotty.

4 Has ISDA considered a contribution to an
5 open-source foundation for both maintenance and
6 management and governance of the protocol or the open
7 source going forward? There are several. I happen to
8 be involved in one of them, but, you know, a captive
9 open source will only take entities so far, which is
10 this is that version. It is open source, but it is
11 still very pinned to an entity, ISDA, versus something
12 maybe more broadly accepted.

13 MS. KRUSE: Yes. We have had some
14 discussions with FINOS on that front. So it is
15 something we are going to explore further.

16 MR. LEVY: Okay. Excellent. To be clear, I
17 am chairman of FINOS. So that is full disclosure.
18 There you go.

19 (Laughter.)

20 MR. LEVY: Not set up, just truly --

21 (Laughter.)

22 MR. LEVY: Really not. That was an open

1 question and a -- thank you. Appreciate the
2 engagement.

3 CHAIRMAN GORELICK: Gary?

4 MR. DeWAAL: Gary DeWaal.

5 Tara, a quick question. I remember reading
6 the paper when it came out with CDM1 and CDM2. And my
7 recollection is that ISDA is not contemplating that all
8 aspects of the ISDA master agreement are suitable for
9 putting on a smart contract. And how do you anticipate
10 parties dealing with a relationship where some of the
11 aspects are subjects of smart contract, some of the
12 aspects are not? How in the end operationally does
13 that work?

14 MS. KRUSE: I mean, I think it is an
15 iterative process, right? We do hope and envision that
16 eventually, we would have all aspects that we need,
17 right? We are looking at the collateral that would sit
18 behind it. We are looking at, you know, expanding to
19 different product types. So I do think that it would
20 be possible to do all aspects of those terms, you know,
21 via the CDM. It is just a matter of when we get there
22 for parts of it.

1 MR. DeWAAL: Okay. Then a follow-up on that.
2 So to the extent that things happen automatically --
3 so, for example, let's assume that there is an
4 assumption of what constitutes an event of force
5 majeure and it is programmed. Obviously something
6 happens immediately because that is the nature of a
7 smart contract. Suppose afterwards people just dispute
8 that things happened the correct way. I presume normal
9 dispute resolution will occur. I am just curious. How
10 do you see that process playing out in real life?

11 MS. KRUSE: Yes. I mean, it is not a
12 scenario that I have considered yet. And it is
13 something we can look at. But, I mean, one of the good
14 things about CDM is, really, the chance to look at
15 events that sit behind it. And then the question is,
16 you know, could we? You know, could we take into
17 consideration an event like a force majeure that is
18 under the master agreement, acknowledge that as part of
19 the underlying contract? Perhaps. I mean, it is
20 something that we haven't looked at yet.

21 CHAIRMAN GORELICK: Okay. Any further
22 questions or comments?

1 (No response.)

2 CHAIRMAN GORELICK: Okay. Well, thank you,
3 everybody, for the discussion we have had today. We
4 have had a lot of good updates and feedback from our
5 subcommittees. I thank everyone on the phone for their
6 participation. We look forward to the ongoing work of
7 our subcommittees and efforts of the broader Technology
8 Advisory Committee.

9 I would like to now turn back to Commissioner
10 Quintenz so that he can facilitate closing remarks.

11 COMMISSIONER QUINTENZ: Thank you so much,
12 Richard.

13 Let me turn to my fellow commissioners and
14 the Office of the Chairman and see if Mike has any
15 closing thoughts.

16 MR. GILL: No, no. Just thank you very much
17 for everyone's participation. It has been very, very
18 helpful. So I appreciate it.

19 COMMISSIONER QUINTENZ: Great.

20 Commissioner Berkovitz?

21 COMMISSIONER BERKOVITZ: Thank you,
22 Commissioner Quintenz.

1 This has been a very informative day. All
2 the panels were extremely informative and educational
3 from my perspective. So I thank all of the
4 participants for your time and travel and energy to
5 help us out here. And I thank Richard and Dan as well
6 for your assistance in making this meeting successful.
7 Thank you.

8 COMMISSIONER QUINTENZ: Thank you very much.

9 I would just like to add a couple of
10 comments. If you think about where we started the day
11 and all of the information and thoughts and research
12 and questions that we have been able to elicit out of
13 the discussion and put into the public sphere, I mean,
14 I think it is -- not only is it remarkable, but it
15 shows the value of having advisory committees within
16 this context and advising this Commission, if not the
17 government generally. So thank you for proving that
18 out today.

19 There is a lot more work to be done, as I
20 think we have seen through the presentations. There
21 were a lot of questions asked. There were a lot of
22 requests for additional feedback. There were

1 recommendations that the full committee members will
2 need to process and hopefully get their thoughts back
3 to the subcommittees so that we can in our next
4 meetings maybe take some votes of the full committee
5 that can actually make official recommendations to the
6 CFTC, which is yet another prove-out of the expertise
7 here.

8 So one last thank you to all of our
9 presenters, who were very well-prepared and did a lot
10 of work in advance; all of our subcommittee members for
11 participating in those discussions; you our full
12 committee members; and the staff and how hard they have
13 worked to prepare for today; and our new chairman,
14 Richard Gorelick, who I think did a great job
15 facilitating the discussion. So thanks to all of you.

16 I will now turn it back to our DFO, Dan
17 Gorfine, to execute the remainder of his official
18 duties.

19 MR. GORFINE: Thank you for all attending.
20 The meeting is now adjourned.

21 (Whereupon, at 3:13 p.m., the meeting was
22 adjourned.)