

UNITED STATES OF AMERICA
COMMODITY FUTURES TRADING COMMISSION

ROUNDTABLE ON POSITION LIMITS

Washington, D.C.
Thursday, June 19, 2014

1 PARTICIPANTS:

2 Panel I: Hedges of a Physical Commodity: Gross
3 Hedging, Cross-Community Hedging, Anticipatory
4 Hedging

5 TIM BARRY, ICE

6 LAEL CAMPBELL, Edison Electric Institute

7 MATTHEW JANSEN, ADM, CMC

8 THOMAS LaSALA, CME

9 JOSEPH NICOSIA, Louis Dreyfus

10 RON OPPENHEIMER, Vitol, CEWG

11 JOHN PARSONS, MIT

12 DAVID PEARLMAN, COPE

13 EDWARD PROSSER, GAVILON, NGFA

14 KRISTIN REBERTUS, CHS Hedging, NCFC

15 MIKE RICKS, Cargill

16 Panel II: Process for Non-Enumerated Exemption:

17 TIM BARRY, ICE

18 LAEL CAMPBELL, Edison Electric Institute

19 MATTHEW JANSEN, ADM, CMC

20 THOMAS LaSALA, CME

21 JOSEPH NICOSIA, Louis Dreyfus

22 RON OPPENHEIMER, Vitol, CEWG

1 PARTICIPANTS (CONT'D):

2 JOHN PARSONS, MIT

3 DAVID PEARLMAN, COPE

4 EDWARD PROSSER, GAVILON, NGFA

5 KRISTIN REBERTUS, CHS Hedging, NCFE

6 MIKE RICKS, Cargill

7 Panel III: Spot-Month Limits and Conditional
8 Exemption:

9 LAYNE CARLSON, MGEX

10 SEAN COTA, Commodity Markets Oversight Coalition

11 TERRY DUFFY, CME

12 EDWARD GALLAGHER, DFA, NCFE

13 BENJAMIN JACKSON,

14 JERRY JESKE, Mercuria

15 EDWARD PROSSER, GAVILON, NGFA

16 SARAH TOMALTY, BG Group, Natural Gas Supply
17 Association

18 Panel IV: Aggregation of Positions:

19 CHARLES CERRIA Hess, CMC

20 THOMAS LaSALA, CME

21 WILLIAM McCOY, Morgan Stanley, FIA

22 MATTHEW NEVINS, SIFMA AMG

1 PARTICIPANTS (CONT'D):

2 JOHN PARSONS, MIT

3 KENNETH RAISLER, Sullivan & Cromwell, PEGCC

4 MICHAEL SWEENEY, American Gas Association, CEWG

5 KURT WINDELER, ICE

6 Other Participants:

7 TIMOTHY MASSAD

8 SHARON BOWEN

9 SCOTT O'MALIA

10 MARK WETJEN

11 CHRIS GIANCARLO

12 KEN DANGER

13 VINCENT MCGONAGLE

14 RIVA ADRIANCE

15

16

* * * * *

17

18

19

20

21

22

1 P R O C E E D I N G S

2 (9:32 a.m.)

3 MR. MCGONAGLE: Good morning, everyone.
4 Welcome to the Staff Roundtable, hosted by the
5 Division of Market Oversight, to discuss position
6 limits. At this time I'd like to turn it over to
7 the Chairman.

8 CHAIRMAN MASSAD: Good morning. I just
9 want to welcome everybody. I'll turn it back over
10 to Vince, in terms of how the meeting will work.
11 As you know this is a Staff Roundtable, this is
12 not a Commission Meeting.

13 However, it's quite an auspicious
14 occasion, in that we now have a Commission that is
15 back to full strength of all five members. And
16 moreover all five members are in one room, which
17 has not happened for more than a year, so I know
18 we are all delighted to be here, I certainly am.
19 As a new Chairman I know my fellow new
20 Commissioners, Commissioner Giancarlo, and
21 Commissioner Bowen, are very happy. It took us a
22 little longer to get here than we'd all hoped, but

1 now we are back to full strength.

2 We look forward to today's Roundtable.
3 We are not going to really make any substantive
4 comments, so that we can get right into the
5 questions of the staff, and I look forward to
6 meeting as many of you as I can. And I know my
7 fellow Commissioners feel the same way. So, back
8 to you, Vince. Thank you.

9 MR. MCGONAGLE: Thank you, Chairman.
10 And welcome Commissioners. My introduction, so
11 I'm Vincent McGonagle, Director of the Division of
12 Market Oversight. Thank you all for coming here
13 today. We have a number of substantive Panel
14 discussions, concerning comments that we've
15 received both to the position limits and the
16 Aggregation proposed Rule Makings.

17 This is a Staff Roundtable, and it's not
18 a meeting being conducted under the Sunshine Act,
19 as the Chairman referenced. The Commissioners
20 may, of course, ask questions and also request
21 clarifications on points discussed here today.
22 However, when present, Commissioners do not plan

1 to engage in the joint conduct or disposition of
2 official Agency business, and will not deliberate
3 between or among themselves on the topics or
4 issues discussed in today's Roundtable.

5 Staff have provided questions to the
6 panelists in anticipation of today's meeting.
7 We've also posted those questions onto the CFTC
8 website. Yesterday evening we made some revisions
9 to the questions for Panel 3, so I'll just draw
10 you attention to the fact that the questions on
11 the website have been changed.

12 The comment period for both the position
13 limits and the aggregation proposed rule makings
14 have been reopened. The current reopened comment
15 period will continue to July 3. In addition, a
16 video -- a video no less -- of this Roundtable
17 will be posted and available shortly.

18 We welcome comments from the public
19 during the reopened public comment period for both
20 position limits and the aggregation proposal.
21 Comments on the discussion today can be submitted
22 to the Commission during the reopened comment

1 period.

2 Joining me today; on my right, Riva
3 Spear Adriance; and on my left, Ken Danger, from
4 the Division of Market Oversight. Any views of
5 the staff here represent our own views and do not
6 necessarily represent those of the Division or the
7 Commission. I'll note that our job here today is
8 to listen. We are very close now to getting
9 started.

10 We have four sessions. I welcome the
11 first Panel to the table. Logistically, I'll ask
12 that when you speak, please press the button to
13 talk, briefly introduce yourself, and the
14 organization you represent. In addition, please
15 turn off your microphone after you speak, as only
16 a limited number may be on at one time. Please
17 also keep cell phones away from the microphone,
18 and we ask that for comments and questions if the
19 panelists can place their name card on its end, so
20 we'll know to recognize you. I'll appreciate
21 that.

22 So if we can start just with a brief

1 introduction of the panelists, and I'll start with
2 Ron, over here on my left.

3 MR. OPPENHEIMER: Good morning. I'm Ron
4 Oppenheimer, I'm General Counsel of Vitol Inc. but
5 I'm here on behalf of Commercial Energy Working
6 Group.

7 MR. PARSONS: Good morning. I'm John
8 Parsons, I teach Corporate Finance at MIT Sloan
9 School.

10 MR. PROSSER: Good morning. I'm Ed
11 Prosser. I am the VP of Agriculture Trading for
12 Gaviion, and I'm here to represent Gaviion and the
13 National Grain and Feed Association.

14 MS. ROBERTUS: Good morning. I'm Kris
15 Robertus, I represent CHS Inc., and I'm the
16 Director of Enterprise Risk Management.

17 MR. RICKS: Good morning. I'm Michael
18 Ricks, with Cargill.

19 MR. JANSEN: Good morning. I'm Matt
20 Jansen. I'm Chief Risk officer of ADM. I also
21 serve as the President of our Global Oilseeds
22 Business. And I'm also here representing

1 Commodity Markets Council as Vice Chairman.

2 MR. CAMPBELL: Good morning. I'm Lael
3 Campbell, Director of Regulatory Affairs for
4 Exelon Constellation, a fully integrated energy
5 company; I'm here on behalf of the Edison Electric
6 Institute, which is the association for all of the
7 investor owned utilities in the United States, and
8 EEI Members are responsible for serving
9 electricity to more than 70 percent of the U.S.
10 Population.

11 MR. PEARLMAN: Good morning. My name is
12 David Pearlman. I'm from the law firm of
13 Bracewell & Giuliani. We represent the Coalition
14 of Physical Energy Companies, as well as a number
15 of other similarly-situated physical companies.
16 Our clients are the hedgers, as well as other
17 people here represent hedgers, but we are hedgers
18 in a physical energy space.

19 MR. NICOSIA: Good morning. I'm Joe
20 Nicosia. I'm Global Platform Head, and Senior
21 Vice President with Louis Dreyfus Commodities.

22 MR. BARRY: Good morning. I'm Tim Barry

1 with ICE Futures U.S.

2 MR. LaSALA: Good morning. I am Tom
3 LaSala. I am the Chief Regulatory Officer for the
4 CME Group.

5 MR. McGONAGLE: Great. Thank you. So
6 during this first Panel, we are going to focus on
7 hedges for physical commodities, gross hedging,
8 cross commodity hedging and anticipatory hedging.
9 We have a number of questions that we had set
10 forth in the document, but before we start, sort
11 of digging though, into those, on a one-by-one
12 basis, I'd like to turn it over to Ron, to give us
13 sort of an overview perspective from the
14 commenters on bona fide hedging. Ron?

15 MR. OPPENHEIMER: Thank you very much,
16 Vincent. And thanks to the Commission for holding
17 this Roundtable. In particular I want to thank
18 Chairman Massad and Commissioner Bowen and
19 Commissioner Giancarlo for making time. I know
20 you're probably drinking from the fire hose right
21 now, and so we really appreciate your making time
22 for us.

1 This is a very important rulemaking to
2 us. The Commercial Energy Working Group is
3 comprised of firms from all aspects of the energy
4 business, oil, gas and power, upstream, midstream
5 and downstream, integrated companies, and
6 independent companies. And as substantial users
7 of the markets, we support the Commission's
8 mandate that pricing be established by forces of
9 supply and demand, and not by extraneous outside
10 forces.

11 We understand, and I think part of the
12 reason why we are still having debates on some of
13 these issues, is the Commission's concern for some
14 loopholes that could undermine the ability to
15 limit speculative trading and that would allow
16 speculative trading under the name of hedging.

17 Our concern is on the other side of
18 that, and that is that legitimate hedging
19 activities might be sacrificed in order to prevent
20 any abuse that might occur in the marketplace. We
21 think it's very important to keep focused on the
22 public policy drivers behind speculative position

1 limit rules.

2 The speculative position limit rules
3 have always existed for one particular purpose,
4 and that's to prevent the harm that could be
5 caused by excessive speculation. And Dodd-Frank
6 really didn't change that. Dodd-Frank had
7 speculative position limit provisions in it mainly
8 to accomplish two goals. First of all, to include
9 swaps within the speculative position limit
10 regime. And secondly, to address concerns that
11 had arisen with respect to what I'll call investor
12 money, principally on the long side, and what
13 effect that might have on pricing.

14 The Dodd-Frank Provisions really weren't
15 addressed at perceived abuses with respect to
16 commercial hedging. In fact, really the opposite;
17 in Dodd-Frank Congress gave the Commission
18 exemptive authority, so that any legitimate end
19 user hedging activity that wasn't foreseen at the
20 time could be exempted by the Commission, as it
21 saw fit. And the public interest also supports
22 commercial hedging, because at the end of the day

1 effective hedging programs reduce the ultimate
2 price of energy commodities, and all commodities
3 to consumers.

4 We are very committed to working with
5 the Commission to address all of these issues we
6 have for the last, unfortunately, several years I
7 will say; we are interested in closing the
8 loopholes, we are interested in preserving the
9 markets for legitimate commercial end-user
10 hedging. And personally, I would like to say, we
11 are committed to try to put this behind us and
12 focus on other things.

13 The Working Group has written extensive
14 comments, and I know that you've got them --
15 you've probably read them, if not, I know that you
16 will read in the near future, and I'm not going to
17 address everything in the comment letter but, as
18 Vince said, I'd like to sort of lay out some of
19 the landscape of the different issues that we see
20 out there. And it may seem like a long list, but
21 it's really not, I think we are in striking
22 distance with some good, constructive dialogue to

1 closing the gaps on some of these issues.

2 Two of them are new in the proposed rule
3 that didn't exist in some of the other speculative
4 position limit rules that we've seen in the past.
5 The first one is the construct of what's called
6 the economically appropriate test. It's always
7 been the case that the Statute and the Regs said
8 that a hedge had to be economically appropriate to
9 the reduction of risks in the conduct and
10 management of a commercial enterprise in order to
11 be bona fide.

12 But in the proposal, for the first time,
13 the Commission has written that the measurement of
14 that, is that it has to reduce the risk to the
15 entire enterprise. In other words, that risk has
16 to be managed on a global affiliated entity basis,
17 and that's not how risk is managed in the energy
18 space. Different companies do it differently.
19 Some do it on the enterprise basis, some do it on
20 a corporate or division-wide basis, some do it by
21 trading desk or trader, and some do it on a
22 strategy level. And many do it on a combination

1 of all of those things. The Rule wouldn't permit
2 that.

3 We think that's a problem and we think
4 that needs to be addressed so that companies can
5 manage their risks in the prudent ways they see
6 appropriate to do it. In the cross commodity --
7 cross commodity hedging space there's a new
8 quantitative test that never existed before.
9 Essentially there's a Safe Harbor for cross
10 commodity correlations that exceed a particular
11 mathematical number.

12 That formula, and we've put some
13 examples in our comment letter, would exclude from
14 bona fide hedge treatment, things that we commonly
15 use as cross hedging, the most obvious being
16 natural gas to hedge power prices, but in the oil
17 space blend stocks which become gasoline, or
18 become RBOB, which is the deliverable greater
19 under the NYMEX Contract, some of the blend stocks
20 also would not qualify for cross commodity
21 treatment, and we think that's a problem.

22 Some of the older issues that have been

1 out there but, you know, remain a problem, are the
2 so-called Five-Day Rule. So-called Five-Day Rule
3 by itself, in its simplest form is not that big a
4 problem, it suggests that you can't hold a
5 commodity for certain types of hedges into the
6 last few days of trading in the contract, if you
7 don't have the ability to make or take delivery of
8 that commodity. It works in some cases, in others
9 it doesn't.

10 When the Commission first passed that
11 Rule in 1977, the only commodities it had
12 speculative position limits for were agricultural
13 commodities, and it specifically said, at sometime
14 in the future when we consider other commodities,
15 we will consider changing the Five-Day Rule.
16 That's particularly appropriate at this point in
17 time. Very simply deliverable supply is the
18 baseline for which the Commission will establish
19 spot-month position limits, the CME has submitted
20 updated data for what constitutes deliverable
21 supply in energy contracts.

22 We would recommend that the Commission

1 adopt those numbers of deliverable supply for the
2 purpose of setting spot-month limits. The single
3 and all-month limits for the RBOB and the heating
4 oil futures contracts, quite simply are too low.
5 The Commission's data, it's in Table 11 of the
6 Proposed Rule, supports the fact that they are too
7 low, it identifies between 7 and 11 companies
8 whose positions would have exceeded the limits if
9 they were in place as they are proposed to be set,
10 and taking those companies out of the market would
11 draw substantial liquidity away from the markets
12 particularly in the out months where liquidity is
13 limited to begin with.

14 Trade options and volumetric options are
15 really physical delivery contracts, and not
16 hedging instruments or speculative instruments and
17 should be removed from the speculative position
18 limit rules, and we would support a process
19 whereby the Commission could look at
20 non-enumerated hedges on an expedited basis.

21 The biggest issue to us is of course
22 merchandizing and anticipatory merchandising

1 hedging. The Working Group has put a number of
2 examples in its comment letters, many of them were
3 the subject of a petition filed with respect to
4 the now Vacated Rule. I'm not going to go into
5 detail of any of them right now, but would be
6 delighted to either as part of this discussion or
7 later, to explain exactly why they are
8 risk-reducing and not speculative positions.

9 It's a little surprising that the
10 subject has become as controversial as it has.
11 The starting point for considering whether or not
12 merchandising hedges and anticipatory
13 merchandising hedges should be permitted is really
14 the statute, and it's very clear that the statute
15 provides for those types of hedging activity.
16 There's no distinction in the statute between that
17 kind of activity and anticipatory hedging by
18 producers and processors, and there's no -- we
19 think the problem may stem from a fundamental
20 misunderstanding of the merchandising function.

21 Merchandizes move commodities from one
22 location to another where prices dictate they

1 should go, where supplies in lesser supply in one
2 region and greater demand in the region, prices
3 dictated that it should move. And the
4 merchandisers connect the producer to the
5 consumer, merchandisers actually own the
6 commodity. They store the commodity, they blend
7 the commodity, and they deliver them to users so
8 that the users can demand them on an as-needed
9 basis, freeing up their own credit and their
10 capital for other uses.

11 Merchandisers buy commodities in regions
12 where users can't, or decide not to go and have
13 commercial relationships. Merchandisers allow
14 producers and users to outsource all the logistics
15 and risks of arranging transportation and
16 scheduling, managing customs, inspections and all
17 the other operations that go along with the
18 physical energy business. Merchandisers have as
19 much invested in their business as producers and
20 processors, it's their credit and capital that
21 support the purchases, sales and the inventory
22 they carry.

1 They own and they charter vessels and
2 barges, they own or lease, storage and pipeline
3 capacity, and transmission. They invest in
4 technology systems and personnel that make it all
5 work. In short, merchandising should never be
6 confused with paper trading. We don't see the
7 logic in permitting anticipatory hedging for
8 producers and processors while prohibiting it for
9 merchandisers.

10 Just as a very quick example, a
11 merchandiser who buys product at a floating price
12 with the intention of moving it somewhere else,
13 and selling it at a floating price, needs to lock
14 in the differential between those two prices in
15 order to justify making the purchase in the first
16 place, and engaging in the merchandising activity
17 that brings the commodity to the consumer where
18 the consumer needs it.

19 It's really no different than the
20 producer who has oil in the ground that he has not
21 yet produced, and has not yet sold. He has an
22 unfixed price risk which he wants to hedge with an

1 anticipatory unsold production hedge. It's the
2 same thing as the processor who hasn't yet filled
3 his requirements. If the merchant has brought --
4 I'm sorry -- has sold before he has bought, he has
5 the same risk that the processor has when he is
6 trying to hedge his unfilled anticipated
7 requirements.

8 Just in closing, I want to say that the
9 concern about speculation slipping through a door
10 open for hedging, has some serious criteria that
11 will limit those possibilities that are already in
12 place, and I know you know of all of them, so I'll
13 go very quickly. But some of them go to the
14 staff's questions. The ordinary course documents
15 maintained by a physical energy company will go a
16 long way toward defeating any possibility that the
17 hedging exemption is abused.

18 The company's hedging strategy is in its
19 documents, and whether it's conducted in an
20 affiliate- wide basis or something else, that's
21 also contained in the records. Whether they've
22 made a binding bidder offer and how they've hedged

1 it, that's in their records. What financial
2 commitments they've made to an anticipated
3 transaction, such as establishing one leg of a
4 two-legged transaction, that's in their records.
5 And all of the transaction records that support a
6 bona fide hedge exemption are required to be kept
7 under CFTC Rules, and made available for
8 inspection and responsive to special calls.

9 DCM oversight will remain in place. In
10 my opinion it's the most effective tool to ensure
11 against abuse, and I think Tom will probably cover
12 that more as we go forward. Positions that are in
13 excess of spec limits pursuant to hedge
14 exemptions, have to be reported on a Form 204 and
15 explained. And that's done under the penalty of
16 perjury, and so I think that goes a long way to
17 ensuring that there won't be false statements
18 about hedging activity.

19 Then finally there's anti-disruptive
20 trading practice requirements, there are orderly
21 trading requirements and there are
22 anti-manipulation rules. So if anybody took a

1 position in claiming a hedge exemption, and did
2 anything that disrupted the markets there's ample
3 opportunity to challenge that activity.

4 In closing I just want to say thank you
5 again. We are very grateful for all the time that
6 the Commission and the Staff has given us over the
7 years as we've debated position limits. We are
8 very hopeful that we can continue to do that, and
9 we are hopeful we can be a resource to the
10 Commission as we move forward. Thanks, Vince, and
11 I'm happy to move to questions.

12 MR. MCGONAGLE: Thanks, Ron. I think
13 that's a very good overview of the session for
14 this morning. I want to go into some detail with
15 respect to the application of these particular
16 hedges. Thinking about some of the themes that we
17 articulated in the questions, which are focusing
18 first on what the statute discusses on the
19 economic appropriate test, which is the reduction
20 of risk in the conduct and management of a
21 commercial enterprise.

22 How are these risks then, separately

1 being managed, separate from a request for a bona
2 fide hedge exemption? How does the firm manage
3 its own risk profile? And how is the request for
4 the exemption consistent with that profile? And
5 what assistance can you give us, the staff, on
6 evaluating the difference between what is being
7 put forth as a bona fide hedge exemption request,
8 versus speculation. And then, you know, how do we
9 document them. I know Ron has touched on a number
10 of these in particular examples.

11 Looking at gross hedging then, for
12 example, I know in our -- the Notice of Proposed
13 Rulemaking, we were focused on -- you know, if you
14 drill down to identify specific risks, we've put
15 forth that the staff would be in agreement that so
16 long as -- you didn't need to require that there
17 be netting, but that if you had, you know,
18 multiple identified specific risks, if you hedged
19 each of those, that might be sufficient for an
20 exemption. The question I think that we see is,
21 is there a selective use of a specific identified
22 risk for a hedge exemption that, effectively,

1 doesn't result in the netting of risk at the
2 entity level?

3 And so how do we differentiate with
4 respect to gross hedging a bona fide exemption
5 versus speculation? And I think the same analysis
6 might apply to the difference of the operating
7 units. So I put that out to the Panel, if you
8 want to talk in a little more detail about how we
9 can evaluate gross hedging in way that would
10 recognize a bona fide hedge exemption.

11 MR. PEARLMAN: I'm going to answer that
12 question but I want to make a statement first --
13 this is Dave Pearlman -- that I agree with really,
14 everything Ron said, but I would ask that the
15 Commission think about, in the context of this
16 issue, potentially taking a step back, because I
17 don't want the conversation to start out with the
18 concept that the only way to deal with issues of
19 position limits, and dealing with the concern
20 about excessive speculation, is to create a regime
21 of enumerated hedges, and complex record keeping,
22 and difficult reporting arrangements.

1 Because the thing I want to say, I
2 agree, again, with what Ron said, but stepping
3 back from that, my clients are a group of physical
4 energy companies that are historically users of
5 the exchanges and they are familiar with the
6 manner in which exchange position limits work, and
7 they have over- the-counter swaps, historically.
8 And in doing so they manage their risk -- and I'll
9 get to your question in a minute -- but in doing
10 so they understand what they need to do if they
11 need a hedge exemption, which is to come to the
12 Exchange because they are not in the enumerated
13 hedge world, and basically explain what their
14 risks are, show their physical business, and then
15 through the exchanges well- equipped staff who are
16 expert in this, there is a manner in which the, a
17 hedge exemption can be provided to an entity that
18 needs one. And I'm sure that Tom can talk about
19 that in more detail.

20 But for my clients, we are switching
21 from that regime, which is one in which they can
22 talk about their business. Talk about what their

1 risks are. Provide sufficient information to get
2 a hedge exemption which caps their position, to
3 one in which every time they do a trade they have
4 to figure out which enumerated hedge it is, the
5 trader has to know that, it needs to be
6 identified, it needs to go into the records.

7 If they are dealing with a swap dealer
8 they are going need to make binding reps that this
9 can be a pass- through hedge, and do a number of
10 very complicated, and actually confusing,
11 activities to completely change the regime they're
12 living within. The other thing they are going to
13 have to do, is track swaps in this regard. And,
14 again, one thing we'd like you to think about is
15 that swaps that are OTC swaps, and as Ron said,
16 trade options. These are not price discovery
17 vehicles, we understand the need in a price
18 discovery world to be concerned about excessive
19 speculation, but we ask you to think about whether
20 there's a price discovery impact of excessive
21 speculation, in non- transparent OTC swaps; and
22 certainly, in physical delivery trade options.

1 So we would like you, for our segment of
2 the market, which is hedgers-- who have
3 historically been on exchanges and have been able
4 to have non-enumerated relationships where we
5 would get hedge exemptions, as well as engage in
6 over-the-counter swaps--to maybe think about ways
7 to make this less burdensome, because this is the
8 most burdensome element of Dodd-Frank to
9 non-registrants. And to turn around and implement
10 this at organizations that are not well resourced
11 to make this an entire effort. When we were about
12 to do it last time it was incredibly burdensome.

13 So with that I'll answer your question,
14 I'll be happy to talk more about this threshold
15 issue.

16 MR. MCGONAGLE: What do we want to see?
17 Is there any reaction on the Panel, agreement or
18 comment on those remarks?

19 MR. PEARLMAN: I guess we are the Lone
20 Ranger on this. But I do think, frankly, if you
21 were to reach out to market participants who are
22 not at a sophisticated level of doing significant

1 amounts of merchandising, trading, that sort of
2 thing, that we are more, I would call them garden
3 variety hedgers. You'll find that what I'm
4 telling you is very much a concern that they have.
5 And again you have, I think, a little more of a
6 higher level of sophistication around this table,
7 and frankly if you were to think about the numbers
8 that this Rule affects of just individual
9 organizations, probably the bulk of those
10 organizations are in the category that I'm
11 describing and we can talk about it offline, or we
12 can bring those people to meet with you if you
13 like then -- though the folks who do larger and
14 more sophisticated business.

15 So let me come back to your question and
16 I think it follows along what Ron was saying.
17 When companies such as the companies that I
18 represent do their hedging, and think about how
19 they are hedging their risks. They start out with
20 a structure where, typically from a management
21 perspective they have no interest in, and frankly,
22 they are prohibited from speculating. So the

1 organization has a structure in which speculation
2 is not permissible.

3 That is typically the case; there may be
4 some very minor speculative activity that could be
5 permitted to engage in price discovery or some
6 sort of non-business line activity, it's really
7 not the purpose of it, but the purpose of touching
8 these markets is to hedge, so you start out by
9 looking at the basic mission that the individuals
10 touching these markets have, which is to hedge.

11 There is also oftentimes, if you have
12 loan documents or project finance arrangements
13 around these types of businesses, the lenders will
14 have covenants, required covenants, that there
15 will be no hedging -- I mean no speculation,
16 pardon me, and there will be likely a mandate for
17 some level of hedging. And that's what we see in
18 our business. So we have documentation that at
19 the threshold, before you go into the market, you
20 are precluded from speculating, or if there's some
21 kind of tiny tranche you can, or your lender
22 precludes you from speculating, requires you to

1 hedge.

2 And as Ron said, then what follows from
3 that, is an effort to execute the mandate that's
4 been provided by management, and in doing so, this
5 whole idea of gross or net, or whatever, across
6 the enterprise, is really not contemplated in the
7 kind of quantitative techniques that you're
8 talking about, or how to actually capture this for
9 you to then come back with what I assume you're
10 talking about, is the enumerated hedge of some
11 sort.

12 It's really a business-related process
13 that is endeavoring to accomplish the hedging
14 mission of the business, and you'll see an entire
15 sort of dialogue between management and the
16 business to identify how they are hedging, to
17 accomplish hedging, to report that they've
18 implemented a hedge plan, to have periodic reports
19 on how the hedges are performing, all that sort of
20 thing. And I think if you were to look, to
21 understand gross, net, whatever, because that's
22 not the way that it's thought of.

1 Whether there was hedging taking place,
2 you would find a significant documentary basis for
3 it, and frankly I think people would have
4 personnel action taken against them if they want
5 to speculate in this business segment, because
6 frankly that's a great way to lose money, and
7 these people are not in that business, and their
8 investors don't want them speculating.

9 So the bottom line of what I'm saying
10 is, that the way you are looking at it, is not the
11 way these businesses look at it. If there's
12 something you'd like them to do to demonstrate
13 that they are hedging in some technique that would
14 be satisfactory to you, to demonstrate that, that
15 could be done, but it's a back fit on everything
16 that's done today. And frankly the whole
17 enumerated hedging process is a back fit on what
18 people do today. It is not the way that firms
19 think about their activities when they hedge.

20 MR. McGONAGLE: Thanks, David. Joe?

21 MR. NICOSIA: Thank you. In response to
22 your question, I'm going to go a little bit around

1 it, but get to it. When we look at gross or net
2 hedging, the ability to take it in totally on a
3 global basis, or an entire universe is almost
4 impossible. I think it's important for the
5 Commission to understand and recognize that we
6 have many risks that we manage and hedge within
7 our own businesses. And these risks are more than
8 just flat price or absolute price risk.

9 These risks that we have, if you take a
10 narrow and a restrictive view of the
11 interpretation of hedging, it can be very
12 detrimental to our business. Some of these risks
13 will include time risk, we have location risk,
14 quality risk, quantity risk, credit risk,
15 execution risk, counterparty risk, governmental or
16 sovereign risk, just to name a few of these
17 things. And we need the marketplaces in order to
18 hedge these in very different ways. Probably the
19 most important thing is that you need to recognize
20 that price risk is not just absolute, but it's
21 also relative price risk. It seems that that has
22 been lost somewhere along the way.

1 When we say relative price risk, we are
2 talking about the ability to have ownership, and
3 then have an off- setting, what is known as a
4 hedge, against it. The most common form of this
5 is to use futures, and what is known as basis
6 trading. But basis trading in and of itself is a
7 risk, is a shift of risk from absolute to
8 relative. It is one that requires usually the use
9 of the futures market, and then also requires us
10 to be able to use future futures spreads and the
11 cash market.

12 Along this line, and taking care of this
13 risk one of the most important things is
14 convergence. The need for convergence in the
15 marketplace, and convergence takes place, not just
16 by a user or a producer, but more importantly also
17 the inclusion of the merchandiser. It is their
18 inclusion in these markets that allows and calls
19 for the convergence within the futures market. In
20 order to have that, the hedger, the merchandiser
21 has to be able to be allowed to use the
22 marketplace, and have access to it.

1 Without it, risk premiums are going to
2 rise throughout our business, and when the risk
3 premium rises, it's going to move throughout the
4 supply chain, and that will raise the cost of
5 doing business. And the end result of that is
6 that the producers will receive less for their
7 product. Consumers are going to pay more for
8 their product, because someone has to absorb that
9 risk cost that's going to take place.

10 Bid/offer spreads are going to widen,
11 liquidity is going to dry up, and ultimately, less
12 business is going to be done here. So when we
13 look at that there's really three main issues that
14 we need to really address here. One is the
15 inclusion of merchandising into the exemption. It
16 is not understandable how that could be removed.
17 The merchant accepts far more risks than anyone
18 else in the value chain. He has to absorb all of
19 those risks that I mentioned before.

20 The second area is anticipatory. The
21 anticipatory hedging needs, there is almost
22 nothing that takes place in this business that

1 doesn't have some form of anticipation in it.
2 Whether it is -- whether you will make a sale,
3 whether your quality will be right, whether the
4 quantity will arrive on time, what time the boat
5 arrives, what are your export commitments,
6 whatever the case may be. Not to mention simple
7 things such as weather.

8 And the third thing is your treatment of
9 fixed and unfixed sales with your inability to
10 recognize unfixed sales as that which you need,
11 that the merchandiser has, for treatment in the
12 hedging. Now, to return to the question about a
13 universal versus gross, versus net; because we
14 have so many different needs to be hedged, not
15 just absolute flat price risk, you will find that
16 different entities, whether they be assets,
17 whether they be countries, whether they be
18 products, whether they be cross products, have
19 different means and times for those risks that
20 they need to hedge. The fact that you may be long
21 soya beans universally, does you no good if you
22 have a crushing plant in area that has had a

1 drought or is short in supply. You will not be
2 able to move those beans from South America on a
3 timely basis into your plant in Indiana.

4 And conversely, the same thing that can
5 take place of whether you were working on shipping
6 lines, transportation, logistics, whatever it is.
7 So no matter what you do, even if you try to look
8 at it on a global basis you will have to manage
9 your risk on an entity, but more importantly, on a
10 need basis, because those needs will arrive from
11 both geographical different needs, from the
12 ability to have to deal with supply, from the
13 ability you have to deal with execution, and
14 therefore it's universally impossible to do it on
15 a gross scale -- I mean totally universal basis.

16 MR. MCGONAGLE: Thanks, Joe. Lael?

17 MR. CAMPBELL: Yeah. I want to comment
18 on this gross versus net issue, because it's very
19 important to the electricity industry, which, it's
20 very regional in nature, electricity prices can
21 vary, depending on the unique attributes of
22 different parts of the country, supply, load, fuel

1 type requirements can all make electricity prices
2 very different, dependent on the region you are
3 in.

4 Most risks in the electricity industry
5 is not managed on an entity level, certainly not
6 managed on an enterprise level, it's managed on a
7 regional level. We have traders that are
8 responsible for a portfolio of positions, either
9 customer demand, which we call "load" in the
10 electricity industry, or generation, and they are
11 responsible for managing the risk in their
12 particular region.

13 You know, we could be -- have less, much
14 less generation than we do customers to serve in
15 Texas, where prices are trading around \$150, and
16 we could have much more generation in the
17 Northeast than we do have customer served, so we
18 are long generation Northeast where the cost is
19 around \$60. Those positions are not natural
20 offsets to each other. They need to be managed
21 independently, and forcing us to net these types
22 of positions is going to cause problems.

1 Even within the same region, I could
2 have a gas generator, still in the ground, and
3 managing the risk of that generator, I have fuel
4 requirements that I have to manage. I may also
5 have gas storage facility in that same region, but
6 I may need to manage that gas storage facility,
7 separately from that generator, even it's in the
8 same region; because I don't necessarily have the
9 transmission to get the gas out of the storage to
10 that particular generator.

11 So it's very important, and I want to
12 echo what David said, what Ron said at the outset,
13 and what Joe just said, you know, accepted risk
14 management practices of the industry that have
15 been around for a long time, need to be
16 maintained. There is a lot of distrust in the
17 rules, and as Ron pointed out in the outset, there
18 is no evidence of anyone abusing the bona fide
19 hedge rules to engage in speculative activities.

20 And in an attempt to catch a theoretical
21 bad actor, you are potentially impacting real,
22 legitimate hedgers that have serious risks to

1 manage in their day-to- day business operations.
2 And one of the points I want to make is that in a
3 -- Joe talked about this too, and so did David--
4 is that there are built-in controls within each of
5 our companies. These are important risks for us to
6 manage. We have an army of people that are,
7 everyday, assessing our physical risk exposures,
8 and our hedges against those exposures.

9 If the hedge gets out of whack, it's out
10 of correlation that's costing us money, and we are
11 going to have to do something about it to adjust
12 the hedge, or put on a hedge if something is not
13 hedged enough. We have the infrastructure in
14 place. We are managing value at risk, VAR, every
15 single day, and adjusting our hedges accordingly.

16 So, again, I think the Commission should
17 be very differential to those that are out in the
18 industry every day managing these risks, they have
19 a lot of experience doing it. They have a lot of
20 infrastructure in place to make sure they are
21 doing it right, and I would hope that at the end
22 of the day we could have a rule that's deferential

1 to those practices.

2 MR. MCGONAGLE: Thanks, Lael. We'll go
3 to Matt, and then Tom, and then I want to move to
4 cross-commodity hedging.

5 MR. JANSEN: Okay. Thank you. And
6 first of all, I agree with everything that's been
7 said from the Panel, so far, this morning. When I
8 think about ADM, for an example, as a hedger and a
9 merchandiser of crops, we have over 400 locations
10 just in the U.S. and many of those are locations
11 that are deliverable, in one form or another. And
12 so we are, as an example, a place where
13 convergence actually happens.

14 And I think one of the potential
15 consequences that we are facing right now, as Joe
16 pointed out, is a potential lack, or a moving away
17 from convergence, that I don't think is anything
18 that this Committee or the industry supports. And
19 so, you know, as we are buying -- and
20 merchandising--you know, the U.S. crops in these
21 400 locations, and even more, this ability to --
22 we manage on as-need basis, the risk, at the

1 location. And then we also aggregate that up from
2 an enterprise standpoint, so there is a component
3 of netting, but it's on an as-need basis. And I
4 believe it's extremely important to be able to
5 maintain that flexibility in order to allow us to
6 do that.

7 MR. MCGONAGLE: Tom, before we go to
8 you, Ken had a comment.

9 MR. DANGER: I just wanted to tee up a
10 really simple example. Sometimes it's helpful, I
11 know it's very complicated, the situations that
12 you are all facing, but it's sometimes simple to
13 -- good to focus on something simple. So let's
14 tee up this example, this hypothetical. Let's
15 imagine that the -- we have an all months combined
16 --

17 MR. WETJEN: Maybe you can move your mic
18 up a little it.

19 MR. DANGER: I'm sorry. We have an all
20 months combined limit the Commission has
21 established, let's imagine that that number is 20
22 contracts, and let's imagine then a trader has

1 sold forward three months from now at a fixed
2 price 50 contracts worth of this commodity -- I'm
3 sorry -- purchased forward 50 contracts to this
4 commodity at a fixed price, and the in six months'
5 time has sold another 50 contracts at a fixed
6 price. So in that five to six months -- and it's
7 all at the same locations so those 50 contracts
8 presumably could be used to satisfy these sales
9 that are six months out. And so what I'd like you
10 to have a think about, and maybe talk about is,
11 would it be appropriate for that trader to hedge
12 all of its fixed-price sales contract in the
13 nearby contracts? In other words, put on 50
14 contracts worth of long fixed-price futures and to
15 hedge its fixed-price sales six months out, when
16 the spec limit is indeed 20 contracts. Would that
17 be bona fide hedging or not? Would that be
18 increasing risk to the firm? That's all this
19 trader has on, it's just those simple fixed-price
20 sales and purchases.

21 MR. McGONAGLE: So I think you've got Ed
22 to bite.

1 MR. PROSSER: When you think about the
2 way that, in the enumerated Ag space we hedge our
3 book we look every day for the most effective
4 hedge that we can find. That hedge might not be
5 right next to every sale that we have on. But as
6 you look at each one of those individual sales,
7 they aggregate into a larger risk; and you then
8 try to find what is the most effective hedge in --
9 with location and quantity and quality, and all
10 those other risks other than price that we talked
11 about.

12 So I think the idea that we segregate
13 each one of our individual transactions and try to
14 hedge that individual transaction, in Matt's case
15 would be tens of thousands of transactions a month
16 and it's impractical. I think that one of the
17 things that the Commission doesn't understand
18 quite well enough is the complexity of this gross
19 hedging concept. A bushel of wheat in Australia
20 and a bushel of wheat in Indiana, and a bushel of
21 wheat in Washington, if you throw it all together,
22 and then hedge it one time, if you've got

1 purchases and sales, it seems simple.

2 But the fact is that those have -- those
3 cash commodities have unique risks all their own,
4 and they are not equivalent. So forcing us to try
5 to create some equivalency of cash, before we go
6 to the derivative, is really the crux of the
7 problem here when we try to figure out what we are
8 doing on this gross versus net. The reason that
9 we don't all hedge gross is because it doesn't
10 work. It's not an effective hedge. We have to go
11 out and segment. Ukrainian wheat hedged in
12 Chicago has an entirely different risk profile
13 than wheat in the Ohio Valley hedged in Chicago.

14 And I think that gets to the point where
15 these businesses are very complex, it's much too
16 simple just to make these physical commodities
17 equivalent to the derivative and say that
18 everything that's left you can hedge, but you have
19 to offset first.

20 MR. DANGER: If I might go back to it.
21 In the very specific hypothetical that I asked
22 about, is that increasing risk to the firm, or

1 decreasing risk?

2 MR. NICOSIA: Ken, if I could try that.
3 First of all, in the specific question that you
4 asked, almost never exists. Okay, because you
5 have quality risk, you have time risk, you have
6 execution risk, but even as you start to narrow it
7 down to the one/one-hundredth of a percent of what
8 we actually do, that falls into that category, you
9 can have legitimate reasons for that.

10 For example, it may very well involve an
11 asset, because that transaction that takes place,
12 may take place all within your own elevator, for
13 example, if it were grain. And during that
14 six-month time period that you have, if you were
15 going to be locking up grain, maybe you've bought
16 that exact stuff, and you are going to carry it
17 forward, maybe you have already bought it forward
18 and not carrying it. But if you carry it forward,
19 you have storage income that you want to protect
20 at that point in time.

21 Yeah. And when you say protect it,
22 because as the market moves the value of what you

1 have changes. So if the Board spreads change over
2 time, the fact that you do or do not have it
3 hedged does not mean that the value of what you
4 have in store does or does not change, because it
5 does.

6 And, for example, if the market were to
7 invert, and you were out long in store, even
8 though it's against the sale for six months out,
9 it would be to your benefit to sell that grain out
10 immediately, and replace it with another purchase
11 down the road. Conversely, if you went to a very
12 large carry, in the marketplace, it would behoove
13 you to maybe buy additional grain today because
14 you would build on your storage, make more money
15 by carrying to your six-month sale, and actually
16 selling out which you had had originally pegged
17 against that sale for him.

18 So, these dynamics that take place in
19 the marketplace is how we manage inventory, how we
20 manage risk, and create the opportunity that the
21 markets create, because carries and inverses are
22 creating the ability to alter the flow of the

1 commodity that's there, that's our job to react to
2 it. So, yes, it can definitely be an appropriate
3 hedge in thinking of how we manage our inventory.

4 MR. McGONAGLE: Tom, you were up from a
5 couple minutes ago. I don't know if we passed you
6 by.

7 MR. LaSALA: No worries. Thanks, Vince.
8 A quick comment, observation, I guess, on process,
9 because there have been a number of comments
10 around the table what people are used to,
11 exchanged managed exemptions, I just maybe -- just
12 take a moment and just clarify that, clearly at
13 CME Group, we are in the business of managing
14 exemptions, in enumerated market as well as those
15 that are non-enumerated.

16 And frankly, there are differences
17 between the two. In the enumerated you are bound
18 to enumerated examples as, you know, detailed in
19 the regulations. In the non- enumerated there's
20 broader authority, for example, risk management
21 exemptions, which in today's world where I know
22 some of the comments made around the table would

1 be reflective of things, such as anticipatory
2 merchandising. So it's one of the challenges, I
3 think there are two major challenges here today,
4 and these examples I think are great.

5 The challenges are, you know, you've got
6 a circumstance that -- most circumstances I deal
7 with -- we deal with is in one -- I'm going to say
8 asset class -- something seems very legitimate,
9 examples of anticipatory merchandising that we
10 feel comfortable and grant exemptions, they seem
11 logical, economically appropriate. You can
12 demonstrate past performance by the participant in
13 terms of sales movements. We can grant that;
14 always sensitive to concentration and the like.

15 In the enumerated it's not available.
16 The challenge, you know, I guess I would say, it's
17 furthered in this exercise that's a challenge for
18 this Agency, is in the proposal, not only do you
19 have this disparity, but you are in some regards
20 taking away. So I have the -- we have the hard
21 explanation to a company or companies saying on
22 one hand, this makes total sense, we'll do this

1 here, it's the exact same thing in another asset
2 class, and for some reason, it's non-applicable,
3 and then in the proposal, we seemingly do away
4 with some of those.

5 MS. ADRIANCE: I'd like to just ask a
6 question to follow up, Tom. When you said it's
7 not available in the enumerated, it sounds as if
8 -- and I'm trying to understand if I understood
9 you correctly. Because an enumerated is
10 available, if it's an enumerated exemption it is
11 available. I think what you are saying is that if
12 it -- if this particular trade the trader wants to
13 hedge is not enumerated, that you are referring to
14 fact that what is the process for going through
15 and getting a non-enumerated hedge exempted from
16 -- or to allow it to be used as bona fide hedge.
17 Is that what you're referring to?

18 MR. LaSALA: No. No. I'm sorry. It
19 wasn't clear. While you could get a
20 non-enumerated exemption by petitioning the
21 commission, in markets such as energy, the ability
22 for us to grant those types of exemptions are

1 within our discretion as the contract market. And
2 entities around the table here will tell you that,
3 well, we've applied for, and I think
4 appropriately, received those exemptions in the
5 energy space, yet, that exemption, broadly
6 speaking, is not simply available because it's not
7 enumerated in, let's say, the agricultural
8 markets.

9 MS. ADRIANCE: So, just to make sure I'm
10 understanding you correctly. So what you are
11 bringing up is the issue that under the proposal,
12 whether or not you, as an Exchange will be able to
13 grant a particular exemption if somebody comes to
14 you. You are talking about the limitations that
15 might be placed on you -- on your ability to grant
16 an exemption.

17 MR. LaSALA: It certainly places a
18 limitation on me; it does. And I think it places,
19 you know, I guess, additional challenges on the
20 party requesting that exemption.

21 MR. McGONAGLE: John?

22 MR. PARSONS: So I'm going try to

1 address Ken's question, and I think -- so the
2 specific question you asked about that particular
3 hedge, there's a classic case that addresses that,
4 which is the oil hedge speculation that
5 Metallgesellschaft did back in 1993, there's been
6 a whole raft of literature trying to analyze
7 exactly your question, most of which arrived at
8 that hedging with the front month for that
9 particular one was a speculative venture, and
10 increased the risk of the firm.

11 I think it's a useful case to look back
12 at to address some of the other points that have
13 been made here. I think it identifies very
14 clearly, that quite often you have companies that
15 look like end users that are speculating. And in
16 particular, back then in '93, when there was much
17 less liquidity in the oil market, they consumed a
18 huge volume of the front month contracts. They
19 moved the price when they rolled that particular
20 strategy, and they had to trade OTC contracts to
21 try to hide the size of their position, and those
22 OTC contracts were relevant for moving the prices.

1 So I think there's a bigger point here,
2 we have lots of research that demonstrates that
3 there is speculation done by end users, obviously
4 not by all end users, and obviously there's a lot
5 of hedging. I can think of a particular article
6 demonstrating that in the chemicals industry,
7 there's a lot of speculating on interest rates,
8 more recent literature about lots of commodity
9 companies' derivatives positions fluctuating far
10 too much to be counted as hedges for those
11 companies' positions.

12 You know, when we talk about this gross
13 hedging point, many people have pointed out, I
14 think accurately, that oftentimes companies don't
15 structure their hedges that way because there's
16 geographical-basis risk. But you can see my point
17 about speculation in the same way. There are lots
18 of electricity companies that trade derivatives in
19 regions of the country where they have no physical
20 positions whatsoever. They are running a
21 proprietary trading book in that particular
22 transaction.

1 So I think it's true that it's very hard
2 to impose this kind of gross hedging criteria,
3 because for a lot of real hedges that's not how
4 it's managed. But I think you have the real
5 problem of how to distinguish some actual
6 speculation that really does go on, from real
7 hedging. And I think there are only two ways to
8 do that. One is measurement, quantification,
9 which companies regularly do do, because they want
10 to measure and show that they are reducing hedge.

11 There is no other way for senior
12 management to maintain serious control over
13 operations without some kind of quantification.
14 But there's also lots of other business practices
15 that have been discussed here. I think we are
16 repeating a conversation that we had over the
17 Volcker Rule, which successfully focused on what
18 are the actual documentation business practices,
19 compensation practices, and so on.

20 We can't look at any transaction
21 independently of how it's actually operated in the
22 company, and it seems to me we arrived in that at

1 a very successful resolution, you know, everything
2 remains to be seen; but it was realistic because
3 it looked at what companies actually did and tried
4 to distinguish them. And you can distinguish
5 proprietary speculative trading from hedges, and
6 it happens inside the way companies manage them.

7 MR. WETJEN: But John, other than
8 measuring or quantifying the risk as you've put
9 it, it sounds like you had something else in mind
10 in addition to that that could be used as a tool.

11 MR. PARSONS: Well, business practices
12 do it. Most companies that do speculative
13 trading, in the way you see those speculative
14 books managed, are going to be managed differently
15 than the hedge, and most of the time, hedges are
16 going to be managed in concert with the physical
17 positions that they are attempting to hedge, and
18 there will be a number of forensic or fingerprint
19 evidence that that's how the company is managing
20 its operations.

21 For example, if you are hedging, you are
22 going to be rewarding your traders for reducing

1 risk, whereas if you are doing speculative
2 trading, they are going to be given bonuses for
3 the size of the profit, which is going to be more
4 when it's a large profit. If you are giving them
5 incentives to reduce risks, you are not going to
6 want to see a huge outsized profit on a particular
7 transaction. So that's an example of the business
8 conduct that can distinguish one from the other.

9 MR. MCGONAGLE: Great. We'll turn
10 quickly to cross-commodity hedging, and then I
11 want to move back over to anticipatory
12 merchandising. On cross-commodity hedging, Ron
13 touched on two items in the overview that he gave,
14 but we have a qualitative test, a quantitative
15 test, and there's recommendation or consideration
16 surrounding whether there's an exit from trading
17 of that particularly commodity within the final
18 days of trading.

19 So, let me put out to the Panel, and
20 there's this general question, of those three
21 items. Sort of what -- where should staff be
22 focusing our time on the comments, where are your

1 biggest concerns?

2 MR. RICKS: Mike Ricks. I guess when it
3 comes to cross-hedging, I mean, I don't know if
4 you could look at almost any commodity and you're
5 going to find periods where it's a 100 percent
6 positive correlation and 100 percent negative
7 correlation. Just given the time of the year, the
8 events, the environments. You know, so to assign
9 an 80 percent correlation in order for it to be a
10 valid cross hedge, is beyond impossible, simply
11 because these relationships are so dynamic.

12 So what a merchant is going to do, is
13 you could argue that buying a truckload of corn in
14 the middle of Kansas, which is not deliverable,
15 and hedging it in Chicago, that could be cross
16 heading because it's not deliverable. Or buying,
17 you know, a vessel of corn in the Ukraine, should
18 that be hedged or not? The merchant is going to
19 make the decision, basically, how is that going to
20 -- transaction is going to reduce the risk.

21 And it may well be that not hedging is
22 the way to do it. It may well be that hedging

1 that truckload of corn in Kansas, that may be best
2 hedged in the wheat pit, because that corn is
3 going to likely compete with wheat for feed, or it
4 may be best hedged in Chicago. So how you define
5 cross hedging in these relationships, like I said,
6 can go from, you know, negative 1.0 to positive
7 1.0, and the merchant is going to look at his time
8 period, which one is going to reduce the risk.

9 MR. MCGONAGLE: So you're focusing us on
10 -- more on evaluation on the qualitative analysis
11 and moving away from a quantitative review?

12 MR. RICKS: Yeah, because I believe
13 that's what was mentioned in the rules, and 80
14 percent correlation, or along those lines, and we
15 are going to look at -- in the window that we are
16 exposed to that risk, which derivative, or not
17 derivative, is the best way to reduce that risk.
18 But what that does too is, it's not just the
19 action of Cargill, or one firm, or ADM, you know,
20 it's the wisdom of all the firms making these
21 decisions. That gets immediately transmitted into
22 prices. These price signals are transparent, the

1 whole market sees them. And that's how people
2 make decisions, that's how we allocate scarce
3 resources.

4 That tells the farmer what to grow.
5 That tells a feeder, should I take corn out of my
6 ration, increase soya bean meal? That tells the
7 flour miller, should I use more spring wheat, less
8 soft wheat? That's why there's so much interest
9 in this, because we see these signals get
10 efficiently transmitted every day into the role
11 that they provide in allocating scarce resources.
12 And our fear is that with this rulemaking we break
13 that mechanism.

14 MR. MCGONAGLE: So, I'll turn it to Ron.
15 But this is sort of the objective identifying
16 factors to look at -- you know, and what we have
17 is sort of art and science, the qualitative
18 factors, the quantitative factors. So, you know,
19 if we are charged with evaluating why people have
20 sought a particular hedge for cross-commodity, how
21 are we able to do that across industry or across
22 platforms. Ron?

1 MR. OPPENHEIMER: Yeah. Thanks. So I
2 just want to point out a couple of things, maybe
3 for the new Commissioners, that may not be
4 obvious. Cross-commodity is essentially defined
5 as any commodity that's not deliverable under the
6 Exchange Contract. And what that means in the oil
7 space is that, for example, sour crude is not
8 deliverable under NYMEX contract, so that's a
9 cross-commodity hedge.

10 The energy industry has got a wide
11 variety of products that come out of the ground.
12 Sweet, sour, different sulfur specs and the like,
13 resulting in many, many cross-commodity
14 relationships there. A variety of grades of
15 gasoline, and gasoline itself is not deliverable
16 under the RBOB contract, and then there were many,
17 many different grades of gasoline. But on top of
18 that you then have components that go into
19 gasoline, and those are all considered
20 cross-commodity.

21 So this is a vast swath of the hedging
22 that's done in the energy space, and that's why it

1 takes on, you know, pretty significant importance.
2 Some of the commodities that I just mentioned
3 would not pass the 80 percent test, and therefore,
4 you know, the presumption was that they -- would
5 be that they couldn't be used. The blend stocks
6 that one might hold in tanks in New York Harbor
7 that will become REBOB would not be usable -- you
8 couldn't use the RBOB contract.

9 But then you shift into Five-Day Rule,
10 and that says you have to get out in last five
11 days, even if you would be using those blend
12 stocks to deliver on the actual NYMEX Contract,
13 that you were trying to hedge with. And that's
14 one reason why the Five-Day Rule doesn't really
15 work.

16 MR. MCGONAGLE: But are you proposing
17 sort of an exception to an exception? Not every
18 situation is as you present, right? So, if
19 someone is using your cross-commodity hedge, you
20 know, in the normal circumstance, do they need to
21 be standing for delivery?

22 MR. OPPENHEIMER: No. As I said at the

1 beginning, there are circumstances where we
2 recognize the fact they will make some sense.
3 That's one where it wouldn't. And I'm sorry, I
4 could just -- two other points. There are also
5 deliveries that we make, so we might have
6 inventory in tank where we are making a delivery
7 that crosses over that five-day period, it's
8 completely inappropriate to roll out of that
9 hedge. We are not hedged if you are taking the
10 commodity in with that contract during that
11 period, so that doesn't make sense.

12 The last point I want to make about the
13 Five-Day Rule is that, you know, the Exchange
14 monitors their liquidations, and so they are going
15 to make sure that you have the product that you
16 intend to deliver, or that you have the capacity
17 to take the product that you're talking about, or
18 they are going to get you out of that contract in
19 an orderly way before the contract goes to
20 delivery.

21 And the important point there is that
22 that warrants additional flexibility in that

1 five-day period, because what you don't want to
2 do, is you don't want to chase the hedgers out of
3 that important spot-month period, because that's
4 how price convergence works, with having
5 commercials in that part of the market. And if
6 you drove them out, what you'd be left with are
7 speculators in that period, and that's not what
8 you want for orderly pricing of the contracts.

9 MR. MCGONAGLE: So, before we go to
10 David, Ken -- I was talking to Ken on the sidebar;
11 to clarify a question that I had which is, I was
12 talking about sort of what would staff be looking
13 at, in order to evaluate the hedge. And then,
14 Ken, presented the other perspective, it's really
15 focused on how you would evaluate it. Where is it
16 important to you, where do you draw those lines?
17 Sort of, you know, back to this dynamic
18 evaluation, how do these businesses make sense of
19 where you get involved in cross- commodities? So
20 let's go to David.

21 MR. PEARLMAN: Let me just say before I
22 answer that question, the Five-Day Rule really

1 does require focus and attention as Ron pointed
2 out, and it's a little bit off- topic but we
3 shouldn't forget that. You said before, Vince, is
4 it -- you said art and science, and I think --

5 MR. MCGONAGLE: Just as a question.

6 MR. PEARLMAN: Well, I liked it. And
7 the real way to look at, from my clients'
8 perspective of cross-commodity hedge is that it's
9 an art, because if they could get a perfect hedge
10 that was right on with their risk they would take
11 it. And a cross-commodity hedge is sort of a
12 second best, so that what they have to do is find
13 something that works for them, in the event that
14 there isn't a good match.

15 And it isn't just the question of, is
16 the product available, there's pricing issues,
17 there's liquidity issues, there's all kinds of
18 things that go with this question. One example I
19 can give you to look at is natural gas liquids.
20 They had been hedgeable, you know, I think a
21 pretty good correlation, at least I'm told, with
22 WTI. And that, because of the natural gas

1 revolution, et cetera, has diverged.

2 So the folks who are looking to hedge
3 these things, they have to find something that
4 works. You can get quotes on natural gas liquid
5 swaps, now that's from a swap dealer, they are
6 going to charge you a significant cost for that,
7 because there is really not the liquidity that you
8 would otherwise want. So the individual who is a
9 seasoned market person, who is charged with
10 hedging, is going to have to make a judgment.

11 Since we don't have the answer that we
12 want, we have and we want to hedge, or maybe not,
13 because of the expense, we have to pick the best
14 tool that we have, and we will use our judgment
15 and our professional, you know, experience to do
16 that and the market will give us feedback as well,
17 as we've said. In time, you could find out you
18 were -- it was perfect or it wasn't perfect. But
19 going into it, it's more, I understand, of an art
20 than a science, and to cap it with an 80 percent
21 correlation just makes it very difficult to have
22 something that will qualify.

1 MR. MCGONAGLE: Well then -- so it would
2 be an expectation or a request that the
3 determination by the firm, that the hedge -- that
4 there is cross-commodity hedge is a presumption.
5 A presumption that that's accurate that -- you
6 know, so how do I evaluate -- well, how can I
7 objectively evaluate that qualitative
8 determination?

9 MR. PEARLMAN: Well, it's hard to
10 objectively evaluate something that's inherently
11 subjective in certain ways, because of the
12 imperfection of the market here, but these people
13 have to deal with the market on a real-time basis.
14 And I think one of the things that we could have
15 is that, as we've all talked about, there is
16 nothing but documentation, internal to these
17 organizations, to demonstrate that the activity
18 that's undertaken, is for the purpose of hedging.

19 And maybe one thing we could do is, we
20 could have a presumption that it is hedging, and
21 it has got some level of correlation in the
22 product mix. But it could be subject to audit or

1 some other after-the-fact review, to have the
2 Commission assure itself that the entity that was
3 undertaking this cross-commodity hedge which is,
4 again, based upon its judgment, was in fact taken
5 for the purpose of hedging.

6 MR. MCGONAGLE: Let's go to Lael, then
7 Matt.

8 MR. CAMPBELL: Sure. I need to comment
9 on this, because cross-commodity hedging is just
10 necessary in the electricity industry, where there
11 is just an undeniable relationship between the
12 price of electricity and the price of the fuels
13 that generate that electricity. You know, in
14 certain markets at certain times, the price of the
15 fuel is going to set the price of electricity in
16 those markets. If gas -- if it's, you know, a
17 time where gas generators are setting the market
18 price, like electricity, the price of gas is going
19 to correlate very closely with the price of
20 electricity.

21 The bottom line is that, throughout the
22 electricity industry, you know, the relationship

1 between fuel prices, the prices of the fuel
2 commodities and the price of electricity have been
3 correlated. If you look at the Polar Vortex, you
4 look at the -- what FERC has done in the aftermath
5 of the Polar Vortex, really exploring the
6 relationship, the interconnected relationship
7 between how the gas markets work, and how the
8 electricity markets work, making big changes to
9 reflect the need to have a much closer unison
10 between the way the physical gas and the physical
11 power markets work.

12 Many of the electricity products reflect
13 this correlation. We have heat rate products
14 which we described in our letter and I may talk
15 about later, but these products -- these are
16 electricity products, or even financial products
17 that are priced based on the relationship between
18 fuel and electricity. We have tolling agreements
19 or leases of electricity generators that are also
20 priced often based on the cost of the fuel that
21 would generate those facilities.

22 But the bottom line, to get to what

1 Vince said, is we are using cross-commodity
2 hedges. Typically we'll use -- we'll go to the
3 liquid product, we'll go to the markets that are
4 most available to us, out the curve, further out,
5 further forward in the spot month we are probably
6 going to use gas, or something that's more liquid
7 to hedge our electricity. As we are moving closer
8 to the spot month, if there's liquidity in the
9 electricity financial products, we may move the
10 hedge into something that's more closely
11 correlated.

12 But the bottom line is, and I've talked
13 about this before. We have risk managers that are
14 looking at our physical risk exposure, our
15 financial risk exposure every single day. They
16 are studying these correlations. If these
17 correlations diverge, we are losing money, we are
18 not hedging effectively, and our risk management
19 group is going to tell the traders, get a better
20 hedge.

21 Okay. So we have the built-in
22 infrastructure in place. John Parsons talked

1 about that. It's already in place at many of our
2 companies. We are looking at these correlations,
3 we are looking at the effectiveness of hedges, and
4 as I said before, you know, I think the CFTC is
5 often interpreting complexity as a potential
6 loophole, but I really think you should be looking
7 at complexity as -- you know, complexity demands
8 flexibility, because these markets are complex.

9 We are managing risks of various things
10 and various regions, the prices are changing all
11 the time. It is very complex what our traders and
12 risk managers do, and they need the flexibility to
13 be able to do it effectively, consistent with the
14 industry practice.

15 MR. MCGONAGLE: Thanks, Lael. Before we
16 go to Matt, Ken had a comment.

17 MR. DANGER: Just a quick question here.
18 Again, this whole issue with cross-commodity
19 hedging is really only focused on the last few
20 trading days of the Physical Delivery Contract.
21 So why is there a need to precisely hedge to the
22 final settlement price on the Physical Delivery

1 Contract, if the hedge is a ballpark
2 cross-commodity hedge? For example, why wouldn't
3 a commercial enterprise try to lock in electricity
4 supply prices, hedge in the short-dated
5 electricity contracts whether derivative or cash
6 forward, and get out of the physical delivery
7 natural gas electricity -- natural gas futures
8 contracts, as the natural gas futures contracts
9 approach expiration?

10 MR. CAMPBELL: I can answer that real
11 quickly. Sorry. You're talking about
12 electricity, so I'll just answer it quickly. I
13 think we would if we could. I mean I think we are
14 going to seek the best hedge, the most liquid
15 product to hedge our risk. So if there is
16 liquidity in the electricity product in the prompt
17 month -- spot month, sure, we'll use that.

18 As I mentioned before, you know, there
19 are times where gas is setting the price of
20 electricity in certain markets during certain
21 times of the year, based on weather events. And
22 in those situations gas is a perfectly well

1 correlated hedge to keep into the spot-month
2 period. So, again, it's less about -- again, it's
3 about preserving the flexibility, not limiting the
4 ability in the variety of ways that our risk
5 managers can manage risks.

6 MR. DANGER: Is it a hedge if you're --
7 if you don't have production facilities?

8 MR. CAMPBELL: No. That's a different
9 question.

10 MR. MCGONAGLE: Go ahead, Ron.

11 MR. OPPENHEIMER: Yeah -- no -- I just
12 want to say I don't see it as just an issue for
13 the last few days of the expiring contract. If
14 some of the cross-commodity relationships that I
15 mentioned before were not permitted, we wouldn't
16 be able to recognize them across the curve, with
17 respect to any and all-month limits, and so it's a
18 problem there as well.

19 MR. MCGONAGLE: So let's go to Matt,
20 he's been patient.

21 MR. JANSEN: Yes. Thank you. You know,
22 if I think about the way the actual expiration

1 process works today and, you know, you have the
2 exchanges with the oversight, as well as Vince and
3 his team with the oversight. I mean and in the --
4 starting even with first-notice day, and going
5 into the expiration in many of the commodities and
6 contracts where we operate, there is an increasing
7 amount of dialogue between the commercial and the
8 oversight.

9 On, okay, this is your position this
10 morning, how do you -- how do you see the market
11 dynamics today? And so, in terms of how do you --
12 your question about how do you evaluate that, I
13 believe that that process is in place today, and
14 it works. And that dialogue, I think you find,
15 it's actually quite direct and to the point. And
16 as you go into the -- you know, the actual
17 expiration, there's an increasing amount of
18 dialogue.

19 Or it's, okay, what are the economics
20 today? What are the alternatives? And that is a
21 process that I think serves us very well, and so I
22 just wanted to respond to your question about how

1 do you analyze that. It's really on a
2 case-by-case basis because as so many have pointed
3 out, that there are lots of dynamic inputs that
4 influence these different commodities, but the --
5 you know, at the moment, and as the expiration is
6 occurring, that's between the -- let's say, the
7 hedger and the regulator -- whether it's the
8 Exchange, or, Vince, in your team, that dialogue
9 is, I think works well.

10 MR. MCGONAGLE: Thanks. We are going to
11 go to anticipatory merchandising in a second.
12 Joe, your card was up.

13 MR. NICOSIA: I just wanted to touch
14 briefly, when you're talking about the
15 qualitative, quantitative tests that were there,
16 and I would suggest that the quantitative tests,
17 not only is not necessary, but it is actually the
18 -- the actual opposite of what it is that you
19 should be looking at, because it's not a matter
20 that the correlations must remain stagnant or
21 static to where it is, because the breakdown in
22 the correlation is, in and of itself, a reason to

1 use cross-commodity hedging.

2 If you took an example, and Mike touched
3 on some of the simpler ones, if you had corn
4 having to compete with wheat, the corn-wheat ratio
5 very well may break down, but that's because it's
6 either trying to force feed wheat into the
7 marketplace, or it's trying to do the opposite.
8 Another perfect example would be in the vegetable
9 oils around the globe.

10 The fact that the relationship between
11 soy oil, and canola or rape or palm, may break
12 down from the point A to whatever you may consider
13 to be necessary, might be the exact reason why, if
14 you were trying to price out soy oil in the world
15 because of shortage of supply, then the canolas
16 and rapeseeds and others would become cheaper,
17 you'd want to buy those, hedge them in soy,
18 because then you can go to the end user, and as
19 those spread become wider, cause the
20 substitutability, the elasticity of demand, and
21 the only way to lock that in, to allow you to
22 present that to them, is to be able to buy the

1 cheaper product, hedge in the more expensive
2 product, and then allow the elasticity of demand
3 to narrow those arbs.

4 MR. MCGONAGLE: So would you leave it as
5 an indicator for making the determination a
6 quantitative factor, but not a requirement?

7 MR. NICOSIA: I think -- really I think
8 it's more of a reasonableness test that's
9 appropriate. It should be able to -- if someone
10 can show you the need and/or the reasoning behind
11 why the one is a -- as you would call it -- a Risk
12 Mitigant Factor of why the ability to buy to
13 canola and then sell soy oil as a relationship
14 that -- most of the relationships are very obvious
15 that exist, and some of them maybe need a little
16 bit more explaining of why someone with an ethanol
17 plant maybe buying corn and selling RBOB. Or
18 something to the extent, that from there to
19 ethanol, ethanol to the substitution into gas
20 makes perfect -- really -- makes perfect sense at
21 one point in time. So I think there is a -- it's
22 much more of a reasonableness test, probably, more

1 than it's a quantitative test.

2 MR. DANGER: I mean, your example about,
3 market prices being high and low in different
4 commodity groups, another perspective on that is
5 in a way you are requesting hedge exemption to
6 speculate on the cross-commodity spread between
7 these two different commodities.

8 MR. NICOSIA: Well, in some of them
9 though, because they are different commodities in
10 and of themselves don't mean they are not in the
11 same space. But even when those would leave space
12 from one to, say, corn to ethanol, or corn to even
13 eventually, to say an RBOB, it is a product of
14 conversion that takes place, where you eventually
15 reach that through the commodity and value chain.

16 So there is this relationship, and the
17 difference there is you have conversion cost that
18 -- your asset that takes place, you have your
19 marketing cost. And at times the market will
20 adjust, and our ability to move our hedges between
21 commodities, is something that's very prudent to
22 do. It's not adding speculation. It's actually

1 doing what the market place is asking us for. You
2 know, if there's too much ethanol relative to the
3 demand in one point in time versus gasoline, the
4 basis -- again this word basis -- is going to
5 break down between those two.

6 When the basis gets really cheap that is
7 a signal to go ahead and store the ethanol, but
8 the way to lock that in, if you want to store the
9 ethanol against the higher price of -- against the
10 substituted gasoline, is you have to lock in the
11 other side of that hedge. In order, so that you
12 know that when the relationship comes back to a
13 normal blend situation, you'll be able to capture
14 that arbitrage, because otherwise you're just
15 accepting the lower price of ethanol at that time,
16 without the ability to be able to lock in, what
17 you can do better with your product.

18 MR. DANGER: But that -- I mean, I think
19 that's the basic question here. I mean, if you're
20 talking about arbitrage, capturing arbitrage
21 differentials, versus hedging, then the question
22 is, when you took those positions, was that really

1 a speculation in a sense? And you can view it as
2 a hedge, perhaps, but there might have been -- it
3 might not be fully risk-reducing, value neutral in
4 a sense. And so you're able to take those
5 positions to essentially capture that spread
6 differential.

7 MR. NICOSIA: Yeah. But I don't
8 consider that speculating at all, it's what is
9 normally done in the norm of our business. That
10 is our business; that is what we do. So the
11 ability to prevent our assets from losing money,
12 to being able to respond to the market call, to
13 either hold back product, to store it or to create
14 more of it, is exactly -- we have to be able to
15 lock in the other side of the transaction.

16 MS. ADRIANCE: So this really -- what
17 Ken is bringing up is that we are trying to -- we
18 are going to ascertain, we or the Exchange has to
19 ascertain, when is it speculation versus when is
20 it hedging? You talked about a reasonableness
21 test, but at some point along the way, somebody is
22 going have to make a decision, make a

1 determination, and what we are trying to get here
2 is in a sense tools, what do we use? It's been
3 mentioned that the quantitative test is a little
4 too rigid, and the qualitative then is much of
5 reasonableness and -- so basically it gets back
6 to, what other standards do we basically -- are
7 you suggesting that we put it back into the hands
8 of exchange because they have the experience? Are
9 you suggesting that we come up with a test? We
10 have a test that is a useful test, and what would
11 that reasonableness test involve?

12 I mean, basically what it gets down to
13 is as, as was pointed out pointed out, we have to
14 make this -- we have to understand what are we
15 looking at, what are we dealing with, and how do
16 we ascertain what is? And so our questions go to
17 -- what Ken is bringing up is -- when we look at
18 it, it may not look -- it may not be clear to us
19 when we are at -- really serious intent to reduce
20 risk, there's intent to manage risk.

21 MR. DANGER: How do you know today that
22 it was a hedge, but tomorrow it was a spec

1 position, right? I mean today it was a
2 cross-commodity hedge but then tomorrow it's --
3 you know, really, you know, boss I really want to
4 speculate on that derivative position, but I've
5 got this physical here. And so when you show it
6 to the Commission it will look like a hedge, but
7 really your intent was to speculate. How do you
8 differentiate between these two scenarios?

9 MR. NICOSIA: Yeah. I think it's
10 important to realize that when you try to identify
11 a hedge, no matter, even if it was the most
12 perfect hedge. You know, you are buying corn in
13 Illinois and you're selling corn futures. That in
14 and of itself is a form of speculation, because it
15 is a change, it is a hedge, because you are
16 changing your outright ownership to a basis
17 ownership. You may call it speculation, we would
18 call it hedging. Someone else would just say,
19 well, all you've done is change your risk profile
20 from one of outright to one of relative; right.

21 It's a change in risk profile. The
22 ability to get more -- right down to your point of

1 how you try to identify speculation would probably
2 be from the standpoint, if the transaction has
3 absolutely no underlining cross relationship, or
4 no underlining cross quantity justification.
5 That's your answer.

6 MR. MCGONAGLE: So, change is a good
7 word to move on to the next topic. And let's look
8 at anticipatory hedging and focus on
9 merchandising. We want to get perspectives about
10 how we could -- the Commission could consider a
11 hedge exemption surrounding merchandising and how
12 do we -- anticipatory merchandising, and how do we
13 deal with the real challenge of articulating the
14 satisfaction of the economic purpose test, that
15 the merchandising, looking at potential contracts
16 down the line, as giving a basis today to
17 establish a position. Anyone wants to take that
18 on?

19 MR. PROSSER: Thanks. Ed Prosser. I
20 think at the heart of this, the Commission at this
21 point starts to get a sense that this end-user
22 class, this physical merchant is kind of a messy

1 business. It's not cut and dry, things don't
2 correlate well, there are a lot of risks that we
3 are trying to offset inside of our businesses. If
4 we had an enumerated product on a DCM for each one
5 of our risks we could, 100 percent, hedge, go on
6 down the road, and everything would be happy.

7 But unfortunately, that's not the real
8 world we live in. We also don't just deal with
9 price risks. We deal with weather risks, we deal
10 with the quantity risk, we deal with quality risk,
11 we deal with location risks. All of those risks
12 we bring to the table, and we are moving into a
13 few different enumerated commodities trying to
14 offset those risks. We have developed strategies
15 over decades to try to offset those risks on the
16 exchanges.

17 We use time spreads to lock in carries.
18 We use time spreads at times to offset weather
19 risks, but all of these are a part of the function
20 where overall we feel like using those particular
21 contracts, reduce our risk from where we were if
22 we weren't using them. Sometimes the correlations

1 aren't as good as we'd like them to be, but they
2 are the best alternative that we have. The other
3 thing I'd like to point out, is by doing this
4 activity, these physical merchants that have one
5 foot on the physical side, and one foot in the
6 derivative, do the good work of flipping those
7 instruments with economic signals to bring
8 convergence and price discovery to the
9 marketplace, which is the most important function
10 of all of these markets.

11 As we have each of those buckets, the
12 opportunity to be in each of those sides
13 simultaneously, or alternatively, it is very easy
14 for me to determine, you know what, today the
15 derivative is my best choice. Tomorrow the
16 derivative goes back - it goes away and I go back
17 into the physical market. And that goes on
18 thousands of times, and ultimately it proves the
19 great public good of converging the derivative to
20 the cash. And that's what we do in this space,
21 and it's a big part of that is this anticipatory
22 merchandising, and anticipatory processing

1 function that we use today.

2 MR. MCGONAGLE: Mike?

3 MR. RICKS: Thank you. You know, when
4 it comes down to the anticipated, in grain
5 companies and probably energy companies too, you
6 know, we are continually putting out bids to
7 producers, offers to consumers on a real-time,
8 24-hour, seven-day-a-week basis. And we don't
9 have the luxury of telling a farmer when he can
10 sell to us, nor do we have the luxury of telling a
11 consumer when they can buy.

12 They are the ones that hold the
13 leverage, they make the decision. So, like all
14 firms, you are analyzing that risk on a continual
15 basis, and I think some of the rulemaking
16 basically said, how can you hedge a risk that you
17 haven't incurred yet by writing a contract. But
18 by putting that firm bid out there, and that firm
19 offer, every firm is going to assign some
20 probability to that; and those probabilities are
21 going to change day to day, hour to hour.

22 By sitting back and not managing those

1 risks, you are going -- one or two things are
2 going to happen. First would be, is that all of a
3 sudden that risk premium goes up. Let's just say
4 if it's going into a big harvest weekend and we
5 know we are going to buy a lot of corn, and we
6 have the ability to pre-hedge those purchases
7 because the market is not open on Saturday or
8 Sunday, then we can stand in and buy that corn.

9 If we are not allowed to pre-hedge, then
10 either we can't buy the corn, or we have to put a
11 risk premium on it. The same thing when we are
12 making an offer. A significant offer to a large
13 sovereign entity, to a large food company, by
14 assigning probabilities, and we are continually
15 working on that, and that's one other reason why
16 we can't do it on the enterprise level, because
17 these are all localized decisions based on, you
18 know, the wisdom of the people, and the experience
19 of people. What are those probabilities?

20 And, again, to manage those risks, if we
21 would wait, you know, until we consume that large
22 sale, and then hedge, that has a potential to be

1 more volatile, more price disruptive, and also is
2 going to carry a bigger risk premium. So I mean
3 from -- it's kind of the nature of the business
4 because we are continually putting out a for-sale
5 sign and a buy sign.

6 MR. MCGONAGLE: Kristin?

7 MS. ROBERTUS: Yes. I would just echo
8 the comments of my colleagues here, but I want to
9 give you a simple example. So if we've had an
10 unpriced contract, if we've had a sale that is not
11 a fixed price contract, we still have a
12 legally-binding obligation to deliver that grain.
13 And so, if we are going to look at the market, and
14 the market today is not converged, maybe the
15 futures market is the cheapest source for us to
16 purchase that grain. So we would go out and put a
17 contract on, futures contract, as an anticipatory
18 hedge that we know we are going to buy.

19 I would say it's not even anticipatory,
20 we know that we have this obligation to deliver
21 the grain. We know we are going to buy it. And
22 back to Joe's point, we don't have that flat price

1 risk, but we have that relative value of the
2 futures price that we have agreed to use in the
3 future with the customer that's buying the grain,
4 and the cash price today that's not converged, the
5 May futures contract, potentially, that we would
6 be buying as a hedge. What we would do in that
7 situation would be to sell that deferred contract
8 and buy the current contract if it's cheaper to do
9 that, and that's risk-reducing for us, because
10 it's locking in that spread.

11 MR. DANGER: I just wanted to tee up a
12 very simple hypothetical on anticipatory
13 merchandising or processing. So again, I want to
14 abstract from the very complex world that you
15 operate in, and just think about this.

16 So we've got a firm that started up.
17 They have no sales, no fixed-price sales, no
18 fixed-price purchases, no inventory whatsoever.
19 They then decide what -- they anticipate selling a
20 lot of -- or merchandising in the future,
21 anticipate selling a lot of product in the future,
22 and as a result they go out and put on 100,000

1 contracts in corn, in the corn futures contract,
2 the physical delivery contract. And then they go
3 to CME or CFTC and they say, well, this a bona
4 fide hedge because we anticipate selling this
5 forward.

6 And so my question to you, and I want
7 you to think about this, I guess during the break,
8 and then we can come back and talk about it, is
9 this reducing risk for this firm, or is it
10 increasing risk?

11 MR. MCGONAGLE: So, Ken, it comes back
12 to the economic purpose test. But I know Joe had
13 his card up.

14 MR. NICOSIA: Thank you. In regards to
15 the anticipatory hedging, as well as the inclusion
16 of merchandising, a couple of comments; one is,
17 currently the statute had merchandising in, and it
18 was then removed; the question is whether it
19 should be replaced or not. But it clearly also
20 stated, besides merchandising, processing,
21 producing, end users, where it recognizes the need
22 for anticipatory hedging.

1 But even within that, a processor, an
2 end user, after conversion is a merchandiser,
3 because they have to sell their product, they have
4 to market their product, transport it, store it,
5 they make all those decisions that are being done
6 completely as a merchandiser. So even within the
7 realms of what you have, their activities are
8 merchandising activities, which clearly are
9 acceptable within the statute that's there.

10 I think one of the other things that's
11 important, and we are mentioning again about the
12 ability, it's also the definition of what is an
13 anticipatory hedge. Because I would echo what we
14 have just heard, the ability to go ahead and
15 procure supply against a fully-committed sale, the
16 fact that it is a relatively-priced risk as
17 opposed to an absolutely price risk, is not
18 anticipatory at all. That boat is showing up, and
19 you'd better put grain in it, or you're in
20 default. And the fact that he is not going to
21 price till a day or two before that boat shows up,
22 in no way removes the obligation that we have to

1 perform in order to move our farmers' grains into
2 that boat from where it is there. It is simply
3 not an anticipatory hedge whatsoever; it's a
4 normal course of business.

5 MR. MCGONAGLE: I think we have -- in
6 getting back to Kristin's hypothetical, or
7 real-world scenario, a couple of specific items
8 after the break that we want to get into and sort
9 of unpack -- un-package that a little bit more.
10 Ron and Ed, as between you two, and I don't know
11 who had the card up, so you take us to the break,
12 Ron.

13 MR. OPPENHEIMER: Okay, thanks. I'll be
14 very quick. Two things; first is, you know, you
15 look at the question and you say is there a basis
16 for granting an exemption for anticipatory
17 merchandising transaction that establishes price
18 risk. What you heard around the table is, they
19 don't establish price risk, they mitigate price
20 risk, and so the question is backwards and, you
21 know, all of the comments are that these
22 transactions reduce risk.

1 The other point I wanted to make was
2 with respect to Ken's question, is that there's a
3 process that is in place that works, and that
4 should mitigate any concern that Ken has. Okay.
5 On the federal level, if you were going to use an
6 anticipated production hedge exemption or an
7 anticipated requirement exemption, you apply in
8 advance. In the energy space, where we are not
9 under a federal regime, we go to the NYMEX and we
10 make a case. So if we are brand new, and we have
11 no contracts, and we have no employees, and we
12 never chartered a ship, NYMEX might look at us and
13 say, you're crazy, you're not getting a hedge
14 exemption for that.

15 But if we've been in business and we
16 have the right staffing, and we have the right
17 systems, and we can demonstrate that there's a
18 real probability that we are going to be doing
19 that business, we might convince them. And on top
20 of that, they have the ability to say, you know
21 what, we don't like 100,000, that doesn't work in
22 this market context, you can have an exemption to

1 50. I don't know what the corn levels are, but
2 the point is, they have the ability to moderate
3 that, and we have that going forward even under
4 the new federal limits for energies and other
5 physical commodities.

6 MR. MCGONAGLE: Great. So let's go --
7 oh, Tom.

8 MR. LaSALA: Just real quick, to echo
9 Ron's words. What he said was accurate, Vince.
10 You know, in that very, very scripted tight
11 hypothetical that Ken put out, the likelihood of
12 an exemption there with -- you know, we just
13 started up, we've done nothing. Now let us get
14 that exemption? No. That's impractical, but
15 where you've demonstrated, you know, I have sold
16 and distributed, this history, that is frankly
17 what we look at, and it is, I think again, just to
18 state for the record, regardless of what the
19 underlying exposure is for any exemption. I think
20 you know that exemptions are not just simply
21 blanket; meaning that, yes, you are exempt, go
22 with your free will, unbridled, enjoy.

1 It doesn't simply work like that. We
2 look at what the liquidity profiles are in the
3 market, even if you have requisite exposure, we
4 look at what the open interest is, what the
5 liquidation patterns have been in the contract to
6 make sure that we are not granting an exemption
7 that gives someone undue concentration or control,
8 so there is quite a bit of review process around,
9 you know, what you grant in terms of exemptions
10 modifying the ask down, tiering those numbers
11 down.

12 MR. MCGONAGLE: Okay. And I appreciate
13 that, Tom. So we are going to take -- we'll take
14 a break, when we come back we'll have about 45
15 minutes. We are going to talk about testing, firm
16 bids or offers, synthetic fixings, unpriced
17 physical purchase or sales; and then a process
18 surrounding whether there should be an
19 un-enumerated hedge exemption review, and we'll
20 try and do that within 45 minutes.

21 It's about 11:05, if we can come back at
22 11:20 that would be great. Thank you.

1 (Recess)

2 MR. MCGONAGLE: So, before we went into
3 the break we talked about some specific -- we
4 wanted to get into some specific examples and,
5 again, the focus here from our perspective, from
6 the Staff's point of view is, we really want to
7 get into the details and get as concrete an
8 understanding about how best to evaluate these
9 issues. How these risks are evaluated at the
10 firm, how that information can be reviewed by the
11 Agency, so we can make, you know, meaningful
12 decisions with recommendations with respect to
13 this rulemaking.

14 So as concrete as you can be during the
15 remainder of the session is, of course, very
16 helpful. I do want to turn it to Ken to walk
17 through some of these specific hypotheticals, or
18 scenarios, on hedging to get your perspectives on
19 where we can draw some lines.

20 MR. DANGER: So I think what we can do
21 is, we can draw together one of the comments by
22 Joe, and then another comment by Kristin with

1 respect to hedging unfixed price contracts. So,
2 the specific question on the table here is, can
3 you hedge unfixed price contracts, forward
4 commitments to supply or purchase with fixed-price
5 future contracts? Or alternatively, would it be
6 better to hedge those unfixed- price forward
7 commitments with another unfixed-price commitment?

8 And so what I'd like to do is to help us
9 think about that, is to draw on the experience of
10 CME. And look to Tom and say, Tom, what's your
11 thoughts, what is -- on hedging basis risk --
12 basis contracts with fixed-price futures
13 contracts?

14 MR. LaSALA: Ken, in the enumerated
15 space, I believe that we are bound to have both
16 the legs, like the purchase of the basis tied with
17 the sale. So you could have basically a spread,
18 if you are buying basis on one month, selling
19 basis on another month, we look for the pairing.
20 That's the -- in the enumerated space I think that
21 -- I would say that that's the standard that Joe
22 Hawrysz's team would abide by.

1 MR. DANGER: You're right. So there is
2 an example in CFTC regs that focuses on basis
3 contracts in different delivery months, but I want
4 to focus on just a very simple -- again, it's to
5 help us to focus on the most simple possible
6 example, because our complex world is built up on
7 simple things. So, if you have an unfixed-price
8 contract in one month, right, out the curve some
9 point in time, can you hedge that unfixed-priced
10 commitment with a fixed-price future -- fixed
11 price contract?

12 MR. LaSALA: You've got an unfixed
13 purchase somewhere out the curve?

14 MR. DANGER: Sure, yeah. So you've
15 promised to purchase corn six months out, based on
16 whatever the futures price will be at that
17 particularly point in time.

18 MR. LaSALA: And you want to --

19 MR. DANGER: Can you hedge that with a
20 futures contract? So that's the question, and so
21 --

22 MR. LaSALA: Forgive me. Is the hedge

1 that you are looking to sell futures?

2 MR. DANGER: Sure. I mean, in this
3 particular case, or purchase. You know, that's
4 whatever you think is appropriate there.

5 MR. LaSALA: Again, I question maybe --
6 the sale is not obvious to me in that
7 hypothetical, but if in fact you were -- you
8 purchased futures in connection with it, and there
9 was another sale of futures, that somehow
10 represented something that was along an
11 anticipatory line, I think that package could be
12 something that would be acceptable.

13 MR. DANGER: I think what, I mean, Joe
14 and Kristin were saying was that when you've got
15 unfixed-price commitments to purchase, what you'd
16 want to do is -- and I think what they talked
17 about is, hedge that risk through the fixing of --
18 making sure that you have commitments to satisfy
19 or to get rid of that risk, and the way to do
20 that, is through -- potentially through futures.
21 So maybe Joe could help us out on what his
22 thoughts are, and maybe Kristin.

1 MR. NICOSIA: Yeah. I'd like to take
2 you through a real life example, and maybe it's a
3 little long, so please excuse that. But let us
4 say -- let us start with we sell 0.5 million tons
5 to the Chinese, unfixed, for January delivery out
6 of the Gulf. Now, at that point in time all we
7 have is an unfixed sale, but we know that it is a
8 real commitment that we have to go through. All
9 right, so now let's go ahead and cover that,
10 because your question is, there's different ways
11 to cover that, so let's cover them all, and see
12 what should happen. Let's see what the
13 differences are.

14 So the first day I go in; and let's say
15 I've sold -- not to get too complicated, but let's
16 just say that I sold it at 90 over to January. I
17 go into the barge market and I'm able to buy
18 barges the next day at 82, so I buy them, and they
19 may be on call, so I buy some quantity, that's
20 fine. The next day there are barges offered to me
21 at \$12.50, which equates to 88 over. I buy it at
22 \$12.50, I sell the January, that's the proper

1 hedge. You would say that that's okay.

2 The next day I move on to the Illinois
3 River, somebody offers me 90 over down there, I
4 buy them from them on call. You say that's okay.
5 Then I tell you, oh, by the way, yesterday those
6 90 that I bought on call, that 90 undelivered,
7 they happen to be registered delivery stocks. But
8 I bought them from the elevator -- the owner in
9 the elevator, who just happened to sell them to me
10 at 90 over. You'd say that's fine.

11 The next day I turn around and I buy
12 some more, and I buy them at 12.50, and I hedge
13 them in the January, at 90 over. And then I turn
14 around, and I tell you, oh, those I bought at 90
15 were also delivery stocks but I bought them
16 through the CME, because the elevator operator who
17 had those other stocks now wanted 95. So I bought
18 them because they were cheaper, but they were the
19 seller through the Exchange, but in order to buy
20 them through Exchange I needed a long November
21 future, you tell me no, you don't have the right
22 to have a long November future in order to procure

1 your cash.

2 I can buy the same grain, from the same
3 person, yesterday, and you say it's fine. But
4 because I bought it from him through the Exchange,
5 you tell me I cannot do that. That is not
6 logical. So, I do need the ability to be able to
7 have a futures position, to be able to procure my
8 grain, and in that particular case, what we would
9 do, is we would buy November, sell January.

10 That would be the equivalent, because it
11 ends up, when it comes to fruition, delivery, it
12 turns into a basis trade that offsets our delivery
13 risk. But since it is the cheapest source of
14 cash, it is the equivalent of buying cash at that
15 point in time. And thus it is a legitimate hedge
16 and a reason to have it done that way.

17 MR. DANGER: Kristin, did you want to
18 follow up with any comments there?

19 MS. ROBERTUS: Now I would say I agree
20 with that example. I think in our example too,
21 what we were saying is if we have this legally
22 binding obligation to deliver and it's the

1 cheapest route to do that, we will do that.
2 Again, we would sell, in his example, the January,
3 buy the November, but in our case we would say, if
4 the -- that would be if market is not converged --
5 if the market then converges, we will get out of
6 that spread position, we would buy back the
7 January, sell out the November and then go into
8 the cash market and buy that.

9 But in our example, too, if we are
10 pricing with the Chinese in January, we'd likely
11 have agreed with them that we will take an
12 exchange of futures. We know we are going to get
13 a long futures position from that Chinese
14 customer, and we are just shorting that, it will
15 be covered when we price the contract. So, you
16 know, in our example we are saying, this is
17 happening, and when it's not converged, and we
18 believe that we're reducing the risk that we have,
19 and we are also promoting convergence in the
20 market.

21 MR. NICOSIA: And to add onto that real
22 quickly. In that same example that I used, if the

1 very following day the Chinese priced their
2 contract, where it turned to fixed and we had the
3 futures, which is exactly the same. It is a basis
4 contract, exactly the same as it was the day
5 before, the next day when I came to you and said
6 I'm going to procure my beans on the November.
7 You would say okay, because now it's a fixed price
8 with a future versus being on call, which are
9 exactly the same things, but you draw that
10 distinction between the two, when the relevance to
11 us is exactly the same. There is absolutely no
12 difference between those two sets of parameters.

13 MR. MCGONAGLE: Tim?

14 MR. BARRY: Yeah. I just want to say,
15 you know, we have both enumerated and non-
16 enumerated ags, and the type of transaction you're
17 describing, and what Joe was just describing, in
18 grains, it's something we see all the time Coffee,
19 Sugar & Cocoa, and we have for years -- you know,
20 we've been able to document the bona fide nature
21 of the unfixed price call, sale or -- call, sale
22 or purchase. But we've routinely used them, and

1 seen them work well, for hedge exemption purposes,
2 and for purposes of managing the risk that the
3 commercial parties have. So, it would not be
4 unusual at all in those spaces.

5 MR. MCGONAGLE: Kristin, and then back
6 to Lael.

7 MS. ROBERTUS: Yeah. I wanted to point
8 out, also I think further to what he was saying.
9 In our example, if the market wasn't converged,
10 even if we had that fixed price contract on that
11 July sale, we would take exactly the same action.
12 We would sell out the January and buy the November
13 if it was the cheapest option. So it would be no
14 difference to us the way we would hedge that if it
15 was unpriced versus priced in a market that wasn't
16 converged.

17 MR. MCGONAGLE: So we are -- we are
18 asking back and forth here, and what's come up a
19 couple of times, so in looking at these hedge
20 exemptions, so we focused on price risk or some
21 performance risk, and what is the intention of the
22 hedge? And so, you know, we are not talking, in a

1 number of these instances about price risk, you
2 are talking about -- or are you talking about
3 performance obligations? And so how do I get your
4 concerns about whether you are going to get your
5 product, or be able to deliver your product, risk
6 concerns surrounding who the counterparties are,
7 on to an idea of evaluating whether you've
8 increased risk and are therefore not entitled to
9 seek an exemption for that reason.

10 MR. DANGER: A simple -- to tee up the
11 hypothetical here, or an example, which is, is the
12 combination of an unfixed-priced contract, and a
13 fixed-price contract, in these examples that
14 you're working through, basically the same thing
15 as just buying a fixed-priced contract? In other
16 words, with the fixed-priced contract, when the
17 prices change in the market, the value of that
18 contract changes, but with an unfixed-price
19 contract the value of that contract isn't really
20 changing; there's no real price risk.

21 What you've got is performance risk, and
22 so what you have is, with unfixed-price contracts,

1 you've got concerns about the performance on that
2 contract. And so what you want to do is, from a
3 hedging perspective, is match up those risks, and
4 a way to do that, and I'm looking for your
5 thoughts on this, is the way you do this, with
6 hedging that unfixed-price commitment with another
7 unfixed-price contract, rather than a fixed-priced
8 contract which would seem to establish price risk.
9 Or am I getting it wrong?

10 MR. CAMPBELL: I mean, I want to look at
11 it from the aspect of a purchaser of an unfixed
12 price, a physical forward, you know, and I think,
13 if you are a purchaser of a physical commodity at
14 an index price, you have price risks that you
15 should be able to hedge. So, for example, if I am
16 an electric generator, I have a fuel requirement
17 that I need to fill. I may enter into an
18 unfixed-price physical contract to lock in my
19 supply and make sure I have the supply risk taken
20 care of.

21 But just because I've locked in my
22 supply risk and taken care of that, I still have

1 price risk, because that forward, physical futures
2 contract for fuel is at a variable indexed-base
3 price. I have price risk in the future and even
4 though it is unfixed, I as the generator should be
5 able to hedge that risk and lock it in when I see
6 the opportunity to.

7 MR. DANGER: Does everybody agree with
8 that. I mean, is that all unfixed price contracts
9 have price risk? I mean, is that the consensus
10 here?

11 MR. CAMPBELL: From a purchaser's
12 standpoint, absolutely.

13 MR. MCGONAGLE: Let's go to John.

14 MR. PARSONS: Yeah. So, if you break
15 this hypothetical of the supply into two pieces,
16 which is the contract to sell, and the obligation
17 to purchase, clearly the obligation to purchase
18 whenever you enter into the future, you are
19 reducing the risk, right. Because you have an
20 obligation at an unfixed price the moment you
21 execute the futures contract, you've reduced the
22 risk on that one half of the obligation.

1 It is true, though, that you've left
2 yourself wide open on what are you going to
3 receive for the stuff that you are procuring. So,
4 you know -- I mean I see where you are coming
5 from, the total risk is higher, but that was true,
6 the moment you made -- in the hypothetical he
7 constructed -- the moment you did the
8 unfixed-price sale, you created this risk. Now
9 when you go and procure a piece of it, using
10 something that is deliverable on the contract --
11 on the futures market, at a fixed price, you are
12 reducing the risk on the procurement, but you're
13 not reducing your total risk.

14 MR. DANGER: So it seems like -- I mean
15 just -- you disagree with their ideas on, that it
16 is a risk-reducing transaction?

17 MR. PARSONS: Yeah. I think I'm trying
18 to find a way to incorporate what people are
19 saying, and clarify. It's clearly a mechanism for
20 securing -- for procuring your supplies, so you
21 are going out and doing, and what they are looking
22 to do is do that at the cheapest price. You are

1 not reducing your total risk exposure.

2 MR. MCGONAGLE: Ed?

3 MR. PROSSER: I would like to first
4 point out that the best way to start a speculative
5 trade is not to sell a half a million ton of soy
6 beans to the Chinese. Ken, if there was a perfect
7 hedge. If there was a basis- only trade, is what
8 we would call it. Or an unfixed entity, or trade
9 option out there, we could use that. We spent all
10 this year in Dodd-Frank trying to make sure that
11 we get back on Exchange.

12 Surely we are not wanting to push us
13 back off into non-enumerated commodities in the ag
14 space. I mean, I think what we are trying to get
15 across, I hope we are getting across, this idea
16 that we are on this continual search for the best
17 hedge that we have. And a lot of these times we
18 make the choice of between the best of two bad
19 options, but it does reduce our risk, because we
20 do have an obligation. Certainly when that boat
21 shows up in New Orleans we've got to load it, and
22 there are opportunities to mitigate some of that.

1 Is it perfect? No. But it's the best that we
2 have today.

3 MR. McGONAGLE: So looking at your --
4 the example that you started with, so where do we
5 -- where can we -- where would you put our markers
6 for identification? And you know, there's
7 actually going to be an offsetting transaction
8 that justifies establishing this -- you know, as
9 someone referred to earlier, this pre-hedge. How
10 do we -- how are we going to articulate that in
11 this anticipatory world? Where do we -- what
12 should we be looking for? And how the firm is
13 managing its business, and how you're representing
14 that, the need for the hedge to us?

15 MR. PROSSER: I'll let Joe finish, but I
16 would think that in --

17 MR. McGONAGLE: A number of flags up
18 now, yeah.

19 MR. PROSSER: -- in the beginning all of
20 those things that we've talked about so often, the
21 204s, the process we go through with our DCMs on
22 hedge exemptions, again, if you are a new company

1 and just show up with a fixed -- with an unfixed
2 hedge, the CBOT is going to start to ask
3 questions. Have you ever done this business
4 before? What are you trying to do? I think they
5 are all --

6 MR. MCGONAGLE: So it's all facts and
7 circumstances approach effectively? I mean,
8 that's what we are hearing.

9 MR. PROSSER: In Gavilon's opinion, yes.

10 MR. MCGONAGLE: Yeah. David, Joe and
11 then we'll go to Mike and Kristin--

12 MR. PEARLMAN: Yeah. I'm going to make
13 a very quick comment, and just in furtherance of
14 Lael's example, because in the energy world, in
15 the purchase scenario he was describing, very
16 often the unfixed supply sale, is from a
17 counterparty that doesn't have a strong balance
18 sheet. So if you can get a firm, fixed price from
19 them that would be fine, if you were comfortable
20 with their credit. Because if they default, then
21 you're not going to be able to get the
22 mark-to-market value that you would get because of

1 the credit concerns, and you are not going to be
2 able to do that deal.

3 So you can bifurcate and actually do an
4 overall risk mitigating transaction by buying at
5 spot market from someone who was a logistically
6 capable supplier, who is going to have no issues
7 supplying you something at spot market and getting
8 it to your location. And then you go to the
9 Exchange and you hedge that with a hedge that is
10 going to be credit, completely bulletproof.

11 So you've got both pieces matched up.
12 You have your supply at a very reliable manner
13 brought to you, but you couldn't fix that price
14 and rely on that supplier. And then you have a
15 way to fix your price, and resolve the credit
16 issues you would otherwise have with the supplier
17 and you've reduced overall credit -- I mean,
18 overall risk, pardon me.

19 MR. DANGER: I heard you say something
20 slightly different than what we started out with.
21 Which was, we have an unfixed -- you have an
22 unfixed-price commitment and then you went and

1 fixed that price through a spot contract, and then
2 you hedged that price risk associated with that
3 spot -- fixed-priced spot contract with futures.

4 MR. PEARLMAN: I was going to -- I was
5 commenting on Lael's example which was, you were
6 making an unfixed purchase, and then you were --
7 effectively that existed, and then you were fixing
8 that price with the futures.

9 MR. MCGONAGLE: Joe.

10 MR. PARSONS: In response to your two
11 questions, on the end of the -- the one that you
12 just asked, which was, how are you able to look at
13 the need, or the ability to make a determination
14 about that hedge being proper or not? And you had
15 that information currently that is available.
16 You'll see, in order to be able to have that to
17 justify its position there's two things, the cash
18 market will have to converge and that the delivery
19 market is a cheaper source of supply, either due
20 to time, location, price, quality.

21 And the other thing is that you have an
22 offsetting need. So if you turn around and you're

1 long 20 million bushels at the Gulf as opposed to
2 a short 20 million bushels at the Gulf, it's two
3 very different needs of what it is that you have.
4 You have that information available so that you
5 would be able to see that and determine it.

6 To the other question that Ken had asked
7 about is it a risk-reducing transaction, and in
8 this case I fully disagree with John. It's
9 absolutely a risk-reducing transaction. As a
10 matter of fact when you bring it to the end, it
11 completely closes it out to zero, so your ability
12 to take the November, sell the Jan, that is the
13 same thing as buying 90-over at the Gulf.

14 The fact that you bought it through the
15 Exchange because it was a cheaper source of
16 supply, should in no way have anything to do with
17 changes of risk. It's not taking on flat price
18 risk, it's substituting a purchase of basis for
19 the other, because by being long the Nov, when we
20 look at only the Nov it looks like you are just
21 going long one month and it's a flat price.

22 But if you have -- offsetting other

1 positions, whether it's a sold January a sold
2 March, you'll have it as a basis contract, and
3 that is an almost perfect mitigant against that
4 basis sale that you have.

5 MR. DANGER: I mean, I don't want to
6 speak for John, but I mean I think that John is
7 going to say something like this; which is, that
8 when you do that fixed-priced contract, which is
9 the "risk-reducing transaction" in this case a
10 long futures contract, if the price of that long
11 futures contract goes down, then you suffer a loss
12 on that position.

13 MR. NICOSIA: No. You don't. Because
14 you are offsetting, it's the short against the Jan
15 so as they go down you make it back against the
16 January. So the November goes down you lose, but
17 you short the Jan against it, you've locked in
18 90-off, that's exactly what we do when we hedge,
19 that's the basis. So there is absolutely no flat
20 price risk in that scenario whatsoever.

21 MR. MCGONAGLE: Kristin, and then Mike.

22 MS. ROBERTUS: No. I would say the same

1 thing. In John's example I would agree, if the
2 only think we were doing was going long the
3 November, but the situation we are talking about,
4 going long the November and going short in the
5 January, is risk-reducing. We are completely
6 offsetting both legs of that transaction, so it is
7 risk-reducing.

8 MR. MCGONAGLE: Mike?

9 MR. RICKS: Yeah. I would be redundant
10 at this stage because I -- those were the points I
11 was going to make. If you have an unpriced sale,
12 is it appropriate to hedge it with the flat-priced
13 futures? No. Is it appropriate to hedge it with
14 a spread position; long the nearby, short the next
15 month? Absolutely. Is it appropriate to carry
16 that long, nearby futures position offset with a
17 short in a deferred into delivery and use that as
18 a way to fulfill that sale, if that is the
19 cheapest source of cash? Absolutely, that's how
20 these markets work.

21 And to deny that just because -- whether
22 a contract is priced or not, is purely

1 happenstance. It's the buyers right to decide
2 when to price. I mean it's how they manage their
3 risk; so whether it's priced or not, it's a
4 commitment, and that's all the people are trying
5 to say.

6 MR. MCGONAGLE: Ron?

7 MR. OPPENHEIMER: Yeah. Just a couple
8 of things; first is, calendar-month average
9 pricing wasn't in your series of questions, but
10 this discussion goes, you know, almost identically
11 to that, and so, you know, if we want to move on
12 and just park that one, and recognize that when
13 you go back and analyze, whether or not to grant
14 that exemption.

15 The other point I wanted to make, and
16 it's, you know, I love hearing the traders talk
17 about it, because they do ways -- do so in ways, I
18 can't, but you know what I'm hearing, and I think
19 it's appropriate to pull back just a little bit,
20 is the questions in this discussion and in the one
21 on cross-commodity was really about the subjective
22 valuation of the quality of the hedge; and not at

1 all about use of anything for excessive
2 speculation.

3 And I think it's really important again
4 that we pull back and we think about what these
5 rules are here for and what they are intended to
6 protect, and whether or not it fits in exactly a
7 definition that exists, and has existed for -- you
8 know -- in the statute for, you know, tens and
9 decades, and scores of years, isn't really the
10 question. The question is whether or not the
11 Commission should recognize it today, and I think
12 the overwhelming answer that you hear from the
13 commercial side is, it has to.

14 MR. MCGONAGLE: All right. We are about
15 ready to go to the second Panel. You all are
16 participating in the second Panel, so please don't
17 get up. But before we do that, we want to check;
18 is there any area in the conversation today, on
19 hedging, that we've missed, or that needs to be
20 underscored? You know, Ron just brought up one
21 other item that we hadn't talked about, and I just
22 want to touch that base now, as you were thinking

1 about what we've discussed this morning, if you
2 have any sort of final short remarks, let's get
3 those. Joe?

4 MR. NICOSIA: Mine will be real short.
5 I hope our Panel today has been able to provide
6 you with enough information of why you need to
7 re-include merchandising into the statute
8 language. Thank you.

9 MR. MCGONAGLE: David?

10 MR. PEARLMAN: Riva had asked at one
11 point, should we let the exchanges make these
12 decisions, or how should we deal with these
13 things? And my answer to that question is, yes.
14 They are doing a great job today in using, in the
15 non- enumerated space, their knowledge and
16 understanding, and have the dialogue with the
17 folks who were seeking the exemptions. And if
18 there is any way that that expertise can continue
19 under the new regime at the Federal level as well
20 as at their level, that would be much appreciated.

21 MR. CAMPBELL: I just want to reiterate
22 that -- again, that our companies have risk

1 management teams that are analyzing and assessing
2 these risks every day. And, again, if we are not
3 doing it right, if the trader is not hedging
4 properly or doing it right, he's losing money for
5 the firm and he's not doing his job, and there's
6 going to be consequences.

7 But, please, do not limit alternatives,
8 do not limit ways that companies like ours can
9 manage risk. At the end of the day, when you
10 limit ways to manage risk, that is going to be
11 reflected in higher prices for end use consumers.

12 MR. MCGONAGLE: Anyone else? Yeah?
13 Okay. So let me move to the second Panel. And
14 what I like about the second Panel is it talks
15 about a process for non-enumerated exemptions, and
16 so in my mind, that means we've figured everything
17 else out, and now we are looking to see what else
18 could -- needs to be done on a going-forward
19 basis.

20 In the Notice of Proposed Rulemaking,
21 there was some commentary about how to handle,
22 sort of, transparency. You know, the transparency

1 goal for non -- for determining further
2 exemptions. And so we put forth to the Panel
3 today, this question about whether we should
4 reserve back regulation 1.47, and if we reserve
5 back an opportunity for individual request for
6 non-enumerated exemption, how can we do that in a
7 way that informs? How can we best do that in a
8 way that informs the public? So I'll sort of
9 start with that general policy question, and
10 solicit your comments about whether and how we
11 should reserve 147. Go ahead, Ron.

12 MR. OPPENHEIMER: I'll take a shot. I
13 think that you have to look at it in two ways, and
14 I may not offer you a solution to both ways, but
15 you have to look at it in two ways. Some of the
16 requests under 1.47 are for systemic types of
17 changes. You know, structural changes, things
18 that -- new types of transactions that hadn't been
19 considered before. As they have been in the past,
20 most of the requests were for hedging swap risk in
21 ways that it hadn't been done before.

22 And those were sort of -- I don't know

1 what the right word is for it, but maybe call it a
2 class exemption. And those I could see the need
3 and the benefit from a public comment type
4 process. But when you look at the things we've
5 been discussing today, and the things that were in
6 the Working Group's petition, those will come up
7 on a transaction-by-transaction basis.

8 And there's just not time, opportunity,
9 or really an appropriate need for subjecting those
10 to public comment, and for subjecting those to the
11 lengthy period of time that would be necessary for
12 that. And it will impede the ability to do a
13 commercial transaction in the speed with which it
14 has it be done. So I think you need to -- you
15 break it up and look at it in two different
16 pieces.

17 MR. McGONAGLE: So let me draw that out
18 a little bit, because we've, I guess, received
19 some comments or questions concerning whether, at
20 the time of an application for an exemption, that
21 the firm submitting the application is good to go
22 until told otherwise. And whether that's a good

1 process, and is that a good -- why would that be a
2 good process?

3 MR. OPPENHEIMER: I think for the
4 individual transaction it should be. I think
5 maybe we'll look at Tom a little bit but, you
6 know, when you're dealing with the Exchange,
7 again, what you do is you apply in advance for a
8 hedge exemption. They tell you the sort of scope
9 of what you can do if you have an individual
10 transaction that you need to do, you can do that
11 within that umbrella. With the structure that is
12 being set up for federal spec limits, you don't
13 apply in advance necessarily, and then you just
14 notify afterwards.

15 And that flip of the process is what's
16 creating this problem for you, I think. And so I
17 think if we revert back, again, to an Exchange
18 process where, perhaps, you've either told the
19 Exchange in advance in your initial application,
20 or you go to the Exchange with the specific
21 transaction, you'd be in a position where it got a
22 level of review in a timely fashion, and the

1 commercial firm was able to do the transaction
2 they were looking to do.

3 MR. MCGONAGLE: He's drawing you out,
4 Tom.

5 MR. LaSALA: So he is. Vince, I do
6 think that the Exchange would have an appetite --
7 I know that Tim also -- you know, has some
8 thoughts on this, so I'm not going to speak for
9 him, but I think the exchanges would be open to a
10 1.47-like process but -- but whether you would
11 say, we are doing it on behalf of the Commission,
12 we are doing the initial review from the
13 participant, by the DCM, passing those results on
14 to the Commission.

15 I think there's an appetite there where
16 we can be an effective tool in assisting this
17 process. I think there are some -- certain
18 conditions or stipulations we'd need to make in
19 that. I'd say that, you know, we are acting in
20 good faith in reviewing the facts and
21 circumstances, and the appropriateness, as you
22 would --

1 MR. McGONAGLE: But would you be making
2 that information publicly available? Sort of, you
3 know, masking in some respects I guess, the nature
4 of the request, but giving information out to
5 market participants, so that they otherwise can
6 rely on the exemption that's been provided?

7 MR. LaSALA: In what I'm contemplating
8 here, I hadn't; however, I think that there should
9 or -- there could or should be some type of a
10 means by which, if you see DCMs coming to the CFTC
11 with repeat comparable examples that may be the
12 stimulus for the Agency to maybe even possibly
13 look at those types of, what you might call,
14 currently non-enumerated. Maybe they should be,
15 in fact, enumerated.

16 So I think, again, a process could yield
17 light to that. But I do think we need some type
18 of an understanding that in conducting that
19 activity, we are doing so in good faith, we may
20 render those decisions that the Agency, if you
21 decide otherwise, in hindsight, that you don't
22 agree, that we haven't somehow violated any kind

1 of a core principle.

2 I think we also probably need to
3 consider some type of a structure where we are not
4 drawing disparate conclusions, such that I think
5 it -- you know, example X seems to work by my
6 review, or my team's review of the activity and
7 another DCM's review is to the contrary. I think
8 we have to contemplate how we would do it.

9 MS. ADRIANCE: It seems as if what you
10 are suggesting is a maybe an additional process, I
11 mean there was 1.47, there was the Exchange
12 processes, there was the proposal which did not
13 continue 1.47, it's seems like you are talking
14 about a third, fourth alternative, which was in a
15 sense, perhaps, a joint effort between the DCMs
16 and the Commission, that you're envisioning. And
17 I'd just like to have you, kind of, explain maybe
18 a little further as to what -- how you think this
19 might work in terms of -- it sounds like you
20 thought each Exchange could do, you said, the
21 initial review, then it would come over to the
22 Commission. What is it that you say is the most

1 productive, most -- well, timely, productive, and
2 efficient way to carry this out?

3 And we would like to hear. And we've
4 gotten all kinds of comments as was mentioned. We
5 would like to hear from your perspective, and I'd
6 like to actually hear from both of your
7 perspectives, both exchanges, what is it you view
8 as the preferred way that we should look at this?
9 Because I mean we've heard some of the -- you
10 know, from some of those who use these things,
11 they want just the most timely, the simplest ways,
12 obviously, the best for them. What is it from
13 your perspective?

14 MR. LaSALA: Riva, again, you know,
15 frankly the questions that were posed to the Panel
16 are what provoked the thought as to --

17 MS. ADRIANCE: Yeah. You're right.

18 MR. LaSALA: You asked for other
19 alternatives, and so I did certainly give some
20 thought to what those alternatives could be, and
21 there was an eye towards an expeditious handling
22 on the part of the participant applying. You

1 know, some -- let's call it, you know, 30-day
2 window--some structure where the information comes
3 to the Exchange, and we've got a set amount of
4 time to respond. If we have questions, we'll have
5 the ability to go back. We have then the ability
6 to, you know, do one of, maybe probably three
7 things. Grant it, deny it, or if the -- you know,
8 the party doesn't seem to be satisfying us, and we
9 are saying we don't see this right now, they could
10 potentially withdraw it.

11 You know, let's say, for example, we
12 pass on it positively. We advise the Agency, send
13 the file over, you've got an ability there to
14 review it. Again, that seemed like an expeditious
15 means in attempting to process these. I do thing
16 that you'd have to have some kind of a process on
17 your side, where you're looking at the totality of
18 these documents that are coming at you, and to the
19 extent that they are consistent, maybe you want to
20 have a process where you consider moving them into
21 a more enumerated type classification. If you see
22 a conflict I think that's probably a bad outcome,

1 and that should be brought to the attention of the
2 DCMs. Or if you see it, you know, again, somehow
3 intercepted, we -- again, Tim and I haven't talked
4 about it at length, maybe there could be a process
5 of information-sharing during the initial process,
6 so that we can collectively see that we are on the
7 same page.

8 So I can't say to you that I've thought
9 from front to back, every possible step, but it
10 was simply an attempt to come up with an
11 alternative that I think that the industry was
12 looking for, because their concern was expeditious
13 answer.

14 MR. MCGONAGLE: Tim?

15 MR. BARRY: Yeah. I would agree. And
16 this is, I think, one of the happy circumstances
17 where each of the two exchanges looked at the
18 documents in front of us and came to the same
19 conclusion. You know, you almost suggested that,
20 in your question number three, are there
21 alternatives to the procedure such as exchange
22 review and approval that would support a

1 pre-approval reliance. I think we both -- the
2 light bulb went off on both exchanges at the same
3 time, that absolutely, that would be an
4 expeditious, appropriate process, with the
5 protections that Tom mentioned for the, you know,
6 presumption of good-faith actions on the part of
7 the exchange, and that the commercial entity who
8 has gone ahead and relied upon the initial
9 favorable ruling from the Exchange.

10 You know, we haven't talked in any depth
11 about trying to find a mechanism to ensure that
12 there would be consistent treatment across the
13 different DCMs of similar circumstances. That's
14 clearly is something that we probably should think
15 about. Obviously anything that would be done,
16 would be done subject to CFTC final approval and
17 review, and that would help provide a forum for
18 ensuring that the two exchanges don't have
19 disparate outcomes in similar circumstances.

20 But it does seem to be a reasonable
21 route, to try to address the concerns of the
22 commercials that this new process at the CFTC

1 alone might take too long. While, you know, still
2 meeting your objectives, and I think that --

3 MR. MCGONAGLE: So then the question
4 there, in terms of what is expeditious versus what
5 is time-constrained.

6 MR. BARRY: Right.

7 MR. MCGONAGLE: So if there's some
8 marker that's 10 days and yet you are hearing some
9 of these strategies that are very complex, then
10 you have the ability at an Exchange or at the
11 Commission level, frankly, to consider the nuances
12 in order to consider the application, including
13 the more broad application, not just for the
14 submitter. So, I think that's some of the items
15 that we are trying to get at, and you know, the
16 management of resources of course by the Agency as
17 well as the exchanges shouldn't be diminished.

18 MR. BARRY: Great.

19 MR. LaSALA: Yeah. Vince, one
20 additional point, and I don't mean to be a kill
21 joy here, but I do think that we'll probably have
22 to think a bit about maybe certain restrictions

1 with pre-assuming positions, depending on the
2 market. You know, for example, energy has got a
3 three-day spot period, if something is -- you know
4 is -- this is an un-enumerated application to
5 assume that position and with only three days to
6 liquidate if we didn't agree.

7 You know, there's liquidation
8 circumstances that could come up, so I'm just
9 simply saying I would have to give some further,
10 you know, thought to that, and I mentioned it to
11 Tim. And not all markets, we know they are not
12 all the same. Some of them have got a lengthier
13 delivery period, maybe in some it would be
14 appropriate, you could look at this in the
15 spot-month circumstance, I might be a little bit
16 more reserved than other markets that have got a
17 very, very compressed spot month period, and want
18 to get that application in advance.

19 MR. McGONAGLE: All right. So I'm a
20 little mindful of time, but I know John has been
21 patient, so.

22 MR. WETJEN: Vince, can I jump in real

1 quick?

2 MR. MCGONAGLE: Of course.

3 MR. WETJEN: Both of you mentioned the
4 desire to have some sort of presumption of good
5 faith on the part of the Exchange if you are
6 reviewing these requests. But what would -- what
7 do you have in mind? Do you have in mind
8 basically an understanding between staff and the
9 DCMs? Or, do you have something more specific in
10 mind? I'm just kind of curious what exactly -- I
11 mean we always presume you are all acting in good
12 faith, in other words, I mean.

13 MR. LaSALA: Commissioner Wetjen, I
14 think that what we were both just simply thinking
15 is, yes, we are acting in good faith. If for some
16 reason the staff, you know, ultimately in review,
17 concluded otherwise we -- our comment between us
18 is, we'd hate to see a core principle action, you
19 know, coming against -- you know -- either of the
20 designated contract markets. I'd like to think
21 that wouldn't happen, but that's just simply the
22 concern. We certainly act in good faith, and it

1 would be our intention to do so.

2 MR. BARRY: Yeah. Precisely, and if we
3 are going to set this up under Commission regs as
4 an appropriate process, we don't want to find
5 ourselves subject to adverse actions at the end of
6 it, if we've acted in good faith.

7 MR. MCGONAGLE: John?

8 MR. PARSONS: So, there are lots of ways
9 of structuring something like this, and most of
10 which, to pay attention to all of the different
11 considerations, most of which are beyond me. I
12 only have two simple points. One is, I think it's
13 very important for the Commission to have internal
14 to the Commission the capacity, and that capacity
15 is only going to be there if it's regularly
16 exercised.

17 So if there's deference given to other
18 institutions for large volumes of these things, or
19 speed, or what have you, whatever, but there
20 should be a certain amount of de novo analysis and
21 review going on within the Commission on this kind
22 of thing. I also think as far as public comment

1 period, it's important for things that are
2 happening in large volume to somehow periodically
3 come to the fore from the public.

4 I think it would be very good if we had
5 some sort of statistics, for example, of the scale
6 of bona fide hedging exemptions, and of different
7 types, including those that are being granted that
8 are non- enumerated. And that would give a
9 greater capacity for others to sort of say, hey,
10 wait a second it's time to check into that one,
11 and let's find out what's inside that category.

12 MR. MCGONAGLE: Great. Thank you. I'm
13 more mindful of time. I think unless there any
14 further comments on this particular Panel, we'll
15 close out this session this morning. My thanks to
16 all of your feedback, we'll consider all the
17 comments of course going forward. We are going to
18 take a break for lunch and we'll start back up at
19 1 o'clock.

20 (Whereupon, at 12:06 p.m., a
21 luncheon recess was taken.)

22

1 England Fuel Institute and the Commodities Market
2 Oversight Coalition.

3 MR. GALLAGHER: Good afternoon, I'm Ed
4 Gallagher with Dairy Farmers of America and I'm
5 also representing the National Council of Farmer
6 Cooperatives and the National Milk Producers
7 Federation.

8 MR. PROSSER: Good afternoon, I'm Ed
9 Prosser. I'm the Vice President of Agricultural
10 Trading for Gavailon LLC in Omaha, and I'm here to
11 represent the National Grain and Feed Association.

12 MR. JESKE: My name is Jerry Jeske. I'm
13 Chief Compliance Counsel for Mercuria Energy, a
14 global energy end user.

15 MR. MCGONAGLE: Great, thank you. Good
16 afternoon everyone. So our third session today
17 concerns spot month limits and the conditional
18 exemption. The Commission's re- proposal would
19 provide a conditional spot month limit exemption
20 that allows traders who do not hold or control
21 positions in the spot month physical delivery
22 reference contract to acquire positions in the

1 cash settled contract up to five times the spot
2 month limit. Some commenters have suggested that
3 a conditional spot month limit exemption equal to
4 125 percent of estimated deliverable supply may
5 have the unintended consequence of draining
6 liquidity for a physical delivery core reference
7 futures contract. Other commenters have noted
8 that market participants may be active in both
9 physical delivery and cash settled commodity
10 derivative contracts during the spot month.

11 And with that, we'll look to our first
12 question on the Board, which is if the spot month
13 limit on a physical delivery futures contract is
14 updated in accordance with a reasonable
15 deliverable supply estimate, would that increased
16 level permit sufficient liquidity for bona fide
17 hedgers, including in cash settled contracts? And
18 if we can go to Terry Duffy from CME on that
19 question.

20 MR. DUFFY: I will be happy to address
21 that question; would like to make a couple of
22 other comments if it's okay. When you're looking

1 at taking a cash contract and giving it 5X, the
2 position limits that's being settled off of a
3 physically -- and priced off a physical delivery
4 contract, I am very concerned about the liquidity
5 in the price discovery contract. There's been
6 many examples where people can look for higher
7 head room to gain advantages for their own
8 economic being. And what's critically important
9 to me and everybody at CME Group is the
10 credibility of our marketplace. I've been in the
11 business for 35 years. I traded for 23 of those
12 and there's nothing more important than the
13 credibility of a market. And if you don't
14 understand the viable pricing of that or if you
15 think that pricing is skewed, I assure that will
16 do nothing for the benefit of the farmers,
17 ranchers and other producers in this country that
18 rely on these products to mitigate their risks.
19 So when you look to increase the position limits
20 in a cash settled version of a physical contract,
21 I think you're looking at no different dangers
22 than you looked at when you had LIBOR. I think

1 you're looking at dangers that are beyond what you
2 can possibly control. When you're looking to fine
3 somebody a half a billion dollars for fixing a
4 trillion dollar market, now you're looking to
5 encourage participants to go out of the price
6 discovery market and put them into a higher
7 headroom on a derivative of a derivative. Makes
8 absolutely no sense to me and I think that we
9 should be very cautious how you proceed in this
10 because what's critically important is the people
11 that rely on these products to do their price
12 discovery, and do their risk management, and have
13 the confidence that the consumer is going to have
14 in the actual price of that product.

15 MR. MCGONAGLE: So where do you see the
16 balance between the cash settled and the physical
17 contract? How can we draw this appropriate line?

18 MR. DUFFY: If you want to have a
19 contract that's settled off cash, create an index
20 to do so, and then if that position limit is
21 different that would be one thing, but if it's
22 being settled off of a physical you will do

1 nothing more than siphon liquidity out of the
2 price discovery market and put it into the cash
3 settled market.

4 So we have a couple of examples at CME
5 Group, one being lean hogs, one being feeder
6 cattle that were originally physically delivered
7 marketplaces. They ended up going to cash settled
8 but there's proprietary indexes that were created
9 with the industry to get the credibility of the
10 pricing. The contracts that you're discussing,
11 some of them are just lookalikes of a derivative,
12 and I think that will be very damaging to the
13 outcome.

14 MR. MCGONAGLE: Ken in my ear is asking
15 us if we can focus for a minute on the NG
16 contract. And looking at current estimates of
17 deliverable supply potentially for that a contract
18 might have it at 15,000 for deliverable supply.
19 Under the current proposal, if we applied 25
20 percent, that would increase the limit from 1,000
21 to just under 4,000, approximately. And so if we
22 did that calculus today, does that alleviate or

1 comments on that. So first I'll take your
2 question directly. ICE supports the looking at
3 what is the deliverable supply today and revising
4 those estimates and then making those estimates
5 reflect changes in the futures contracts, the
6 physically settled contract to the cash settled
7 contract in lock step with the way the rules are
8 in place today. We're 100 percent supportive of
9 that. The main reason is, is that as a market
10 operator today, one of my main responsibilities to
11 my market participants is to make sure that we
12 have futures contracts that do one thing and those
13 futures contracts have to help facilitate the
14 convergence of the futures price to the underlying
15 physical product when it comes down into that
16 final settlement period. And I highlight that
17 because when we're talking about the gas contract
18 specifically, the data points to -- and when I
19 look across ICE futures U.S. Exchange which
20 includes agricultural contracts as well as our
21 U.S. energy complex, natural gas market and
22 futures market is a model for convergence across

1 any of the futures contracts that we have. All
2 the data points to that. So number one we have a
3 contract that works very well and it's the only
4 contract where it has the conditional limit today.

5 Second thing I'd point out is that with
6 the panel we had this morning and then the panel
7 this afternoon we're talking about some new rules
8 that are going to be coming in place. So when you
9 have, yes the potential for deliverable supply
10 going up, you have the potential for position
11 limits going up, but at the same time with
12 aggregation rules that are proposed, those
13 aggregation rules, when you're aggregating
14 positions across ICE, CME, and OTC markets are
15 immediately going to halve that position. You
16 also have more restrictive definitions around
17 hedge exemptions. Another headwind that our
18 market participants are going to see in certain
19 contracts where we believe that if you have an
20 increase in deliverable supply and more energy
21 that's out there that needs to be hedged, coupled
22 with headwinds of position aggregation rules that

1 are coming in place, you put that confluence of
2 issues on a contract that is the most successful
3 contract there is in the futures marketplace right
4 now at helping to facilitate that final
5 convergence of the cash price to the physical, to
6 me it is a dangerous combination.

7 As an operator of markets I believe in
8 the Hippocratic oath and that's do no harm. We
9 have a contract right now that works, it works
10 very well, why change it. And from ICE's
11 perspective we are a proponent and suggest the
12 Commission build upon this success by applying
13 this to other commodities.

14 MR. MCGONAGLE: I'm not following my own
15 direction. If we could go to Sara and then to Ed.
16 Thanks.

17 MS. TOMALTY: Thank you. I thought I'd
18 chime in here because most of my comments are
19 going to be related to natural gas given that
20 we're a natural gas producer association. First
21 I'd like to say we're not opposed to speculative
22 position limits. We think that they serve a very

1 important role in a well functioning market.
2 However we do support CME and ICE's efforts to
3 increase deliverable supply estimates. The
4 estimates, as CME has stated, come from data
5 that's from 1996. They also utilize data from
6 BENTEK, which is a world leader in aggregating
7 data, but the data they aggregate is based on
8 what's public to FERC and FERC, as you know,
9 regulates interstate pipelines. The data does not
10 see intrastate and in-state production volumes, so
11 I think they're missing a big piece of the market
12 that I think CME and ICE can find when they revise
13 their estimates.

14 In addition we support raising the
15 deliverable supply estimate and that serving a
16 basis for the futures delivery market limits, but
17 even the revised levels are not likely to provide
18 sufficient liquidity for our hedging needs in the
19 cash settled market. An example of this that
20 we're seeing currently in the market is when ICE
21 converted futures to swaps and implemented
22 position limits based on 25 percent of deliverable

1 supply for all of the new converted cash settled
2 contracts that are now futures. They're required
3 by law to set them at 25 percent of deliverable
4 supply. What we're seeing in the market and
5 almost immediately after that happened was a lot
6 of decreased liquidity. Producers have been
7 unable to set hedges on ICE for the next winter
8 because the bid-ask spreads are so wide. For
9 example, in New England for next winter the
10 bid-ask spreads have increased from about one to
11 two cents to a dollar, so there's no transacting
12 going on and we can't set hedges for next winter.
13 In addition, we're seeing more frequent price run
14 ups and downs with insufficient liquidity for
15 market participants to get in and out of those
16 positions. For example, both Tech Co. M2 and
17 Transco Zone 6 non-New York have had significant
18 price run ups this spring of a dollar and that was
19 on less than one contract trading per day and some
20 periods two weeks with no transactions. So we're
21 seeing a lot of movement based on nothing
22 happening in the market.

1 And finally energy companies are having
2 difficulty setting marks at several locations for
3 risk management purposes because there are no
4 longer enough transactions to identify marks at
5 certain trading locations. Therefore we see a
6 need to increase deliverable supply to set the
7 basis for the futures limit, but we also see a
8 need based on the examples we're seeing in the
9 formerly swap market to have a higher cash settled
10 limit that's not based on 25 percent deliverable
11 supply.

12 MR. MCGONAGLE: Ed Gallagher?

13 MR. GALLAGHER: You may find it odd that
14 the dairy guy has something to say about gas but
15 the livestock that support our members do produce
16 a lot of methane so I thought I'd comment. My
17 comment is in general and it's going to tie back
18 to class three markets because we've got some
19 challenges with the rule on class three, but
20 there's some commonality relative to cash settled
21 futures contracts and there's a little bit of a
22 discriminatory process I think we have to work

1 through. But in general I think in a lot of
2 markets the common theme you will hear from me is
3 everybody is a little different, they have their
4 own unique issues and we have to figure out some
5 way to address each of those and a one size fits
6 all approach may not work across the board. And I
7 am struck by the fact that when I look at most of
8 the existing physically delivered contracts, they
9 at this point have, instead of a 5X ability to do
10 cash settled contracts, they have an infinite X.
11 And so I think you folks would have some pretty
12 good data since you've been collecting some of the
13 swap data to be able to see what's the size of the
14 swap market relative to a physical commodity and
15 what impact if any it's having on the things that
16 you're concerned about on convergence and other
17 issues that you have concerns about.

18 MR. MCGONAGLE: Sean Cota.

19 MR. COTA: I think you really need to
20 take a look at what the fundamental point of these
21 markets are, which is, from my perspective,
22 particularly from our coalition's perspective, is

1 to supply and purchase commodities. So when you
2 talk about physical markets in particular and the
3 28 hard commodities that we're talking about, the
4 physical deliverable becomes a real issue when
5 you're calculating, whatever the number is. As
6 the cash settled becomes a larger percentage of
7 the total amount of the trading, they really
8 become economically equivalent. And if the
9 constraints on them are a lot less, the overhead
10 expense is a lot less, people are going to
11 naturally migrate to that. So the folks that like
12 to --

13 MR. MCGONAGLE: They're going to migrate
14 away from the physical to the cash?

15 MR. COTA: Away from the physical to the
16 cash. And if you're the large section of trading
17 group, which is, you know, the high frequency
18 traders, because commodities are where they like
19 to play the most because there's the most
20 uniformity in these contracts, what happens is
21 that the physical contracts get diminished. So my
22 argument is that if the limits are appropriately

1 low and equivalent, whether it be cash settled or
2 physical, that you may suddenly have a market that
3 changes to supply differently. So for example, in
4 the heating oil market, the heating oil market
5 which is the one that I grew up with, the heating
6 oil is the smallest part of that contract to the
7 point where it's now no longer the contract, okay.
8 It's now called that but it's really diesel. So
9 if you were to have certain markets with -- if the
10 limits were sufficiently low in those markets, you
11 would find instead of having one price for the
12 heating oil which set the price for jet fuel, set
13 the price for diesel, depending upon the different
14 points, you'd end up with more contracts for
15 different supply points. Natural gas is one that
16 supplied -- there's very little central supply in
17 it. That's not in the incentive of financial
18 players because they want to do the most volume in
19 uniformity in contracts; they're going to have
20 more liquidity in that. But if you're going to be
21 actually delivering to a market in this great game
22 of chicken where you have to decide whether you're

1 going to actually supply it or take it or settle
2 out that position prior, the folks that actually
3 use these markets for their intended purpose, you
4 need to reinforce that. So 25 percent of a market
5 is still high. We have three wheat contracts as
6 an example currently under the old regime.
7 Generally their limits are lower. Those exchanges
8 I think are somewhere between five and ten percent
9 for their own limits and that they seem to have
10 worked so far. So we're going to have much higher
11 limits to start with, even at 25 percent. For one
12 entity to own in an economically equivalent market
13 150 percent, if they did the max out of the
14 combined market, to me just seems absurd.

15 MR. MCGONAGLE: So concerns that you
16 have are setting the position limit or -- sorry,
17 the spot month limit even at 25 percent is being
18 too high, and then separately with this
19 multiplier. So if you have it in a situation
20 where you could go zero up to 125 percent, that
21 the 125 is too large. And so the concerns -- I
22 just want to catch it -- is sort of similar or

1 different from the CME's about the drain of
2 liquidity away from the physical contract and
3 where do we draw that line?

4 MR. COTA: I think there are two
5 elements. One is that if you have a differential
6 in the limits that you will drain liquidity out of
7 the physical market into the cash settled market.
8 I think the other element is, if the limits are
9 lower, people are going to then migrate to other
10 contracts. If you take a look at for the CME's
11 Clearport, for example. If you're buying jet fuel
12 in California, okay, it's the Long Beach jet fuel
13 contract that's priced off from the heating oil.
14 It trades, it doesn't trade a lot because everyone
15 who wants to provide the most liquidity in that
16 market wants to trade in the heating oil part of
17 that contract because that's where the money is
18 made. The rest is risk mitigation. So the more
19 that you have these limits being really high for
20 the very high volume ones, the less, in my
21 opinion, the less flow that will go into those
22 other markets that actually deliver to those

1 specific markets.

2 MR. MCGONAGLE: So let's go to Ed
3 Prosser.

4 MR. PROSSER: Thank you. I'm going to
5 limit my comments to the Ag enumerated because
6 that's the space we play in. And I'd like to
7 start by echoing Ben's comment, do no harm. These
8 markets serve two great goals, convergence and
9 price discovery. Convergence is difficult. In
10 fact I was trying to think as everybody was
11 talking, I've been in this space for about 30
12 years. I don't know any of the Ag commodity
13 markets that in that time that we haven't totally
14 changed the delivery process. Terry mentioned
15 cattle and hogs, both went cash delivery. The
16 corn and bean contract changed to river delivery
17 in '98. Recently we changed Kansas City and
18 Chicago wheat. We worked as an industry with our
19 DCM to change the contracts fundamentally because
20 convergence is such an overarching goal. This is
21 a very delicate balance in the delivery of these
22 physical commodities, time and space and weather.

1 I think that before we use arbitrary mathematical
2 formulas to set spot month limits we need to go
3 back to the tried and true method where the DCM,
4 with the local knowledge of each one of the
5 contracts and the overriding interest to make sure
6 that that contract has integrity, because that's
7 who comes to play in that market. We need to
8 serve that purpose first. Everybody would like it
9 to be as big as it can be, but the definition of
10 what it can be needs to start with the DCM to say,
11 "You know what, in our interest this is the size
12 of the corral, so we can't take any more cattle
13 than this. These are the size of our elevators,
14 this is the all the grain we can put." But
15 getting away from the DCM's unique involvement in
16 that process I think would be a mistake.

17 MR. McGONAGLE: Jerry.

18 MR. JESKE: Well, I don't want to sound
19 redundant but I agree with a lot of what Ed just
20 mentioned. And the one thing that strikes me in
21 terms of this 25 percent is historically where
22 does 25 percent come from? I think maybe staff

1 and the Commission might want to consider the
2 origins of this arbitrary 25 percent. And as it
3 relates to deliverable supply, I would say that
4 the CME's numbers that they've offered up are
5 rather conservative. Cushing, Oklahoma isn't what
6 it used to be. The flow of oil in this country is
7 a lot different than what it was historically
8 because of the fracking. You ever go over the
9 Bakken area at night, see how much natural gas is
10 being flared off? It's substantial. So this
11 concept of deliverable supply I think needs a
12 little bit of attention. And hasn't been really
13 something that to my knowledge has been focused on
14 enough.

15 MR. MCGONAGLE: So the calculation of
16 deliverable supply, where should the Commission
17 consider going?

18 MR. JESKE: Internationally. The
19 Commission I believe should consider all aspects,
20 what the concept of deliverable supply really is
21 rather than fixing it to a hub because that's not
22 how the market works. And I think we had a lot of

1 commentary in the first panel about how one sets,
2 you know, contracts. Interstate contracting,
3 right, that's where these rules come from, from
4 the statute which talks about not to encumber
5 interstate commerce. Well, as Ed mentioned it's
6 not broken; I don't know what we're trying to fix
7 here. And if it's not broken I would encourage
8 the Commission to be reserved about how they
9 employ new regulations that are onerous on all the
10 firms that are already used to a process with the
11 DCMs and is working well. That's something that I
12 would hope would be considered wholeheartedly.

13 MR. MCGONAGLE: Layne?

14 MR. CARLSON: Thank you. It's going to
15 sound like a little bit of piling on but it's
16 really reinforcing the comments that Ed and Jerry
17 have already made and others on this panel, but I
18 just want to start off too and say that MGEX
19 appreciates the opportunity to attend and comment
20 on the Commission's proposed rule making on limits
21 for derivatives. But maybe quick background, MGEX
22 was established over 130 years ago and we're a

1 designated contract market, and we're a
2 derivatives clearing organization. As such the
3 Commission's proposed limits and proposed rule
4 making are going to have a dramatic effect upon
5 our marketplace and particularly our participants
6 who trade from around the world. We have a little
7 bit of a unique take, but again supporting what
8 others have already commented on, the Ag
9 commodities that have traded in our marketplace
10 since 1881 and our most actively traded contract
11 is Hard Red Spring Wheat, which is one of the 28
12 named core reference contracts identified by the
13 CFTC in its proposal. The Hard Red Spring Wheat's
14 physically settled contract, which means that the
15 methodology that's proposed by the Commission to
16 establish spot month limits, based on that 25
17 percent of the estimated deliverable supply,
18 really doesn't take into account some of the
19 variables that exist. Others have already
20 mentioned global transportation, weather issues,
21 supplies, all play a factor in here. Further
22 there's no strong or consistent correlation

1 between open interest or open positions entering a
2 spot month and the actual deliveries that occur
3 during a spot month. Likewise there's no strong
4 or consistent correlation between this physical
5 supply and the actual deliveries that occur during
6 a spot month in our wheat contract. Therefore
7 kind of establishing spot month limits based on a
8 formulaic approach without a demonstrable or
9 measureable benefit is not something that should
10 be adopted for our market or for our wheat market
11 in particular.

12 And I might also quickly add that the
13 Commission's proposed formulaic approach to
14 establish non spot month limits is even more
15 problematic and is more likely to result in harm
16 in our marketplace and harm legitimate trade
17 activity. The primary reason that the
18 Commission's proposal throws out 75 years of
19 equality among position limits, among the 3
20 domestic wheat contracts including Kansas City,
21 Hard Red Winter, and CME's Soft Winter. The
22 proposal creates such a wide discrepancy of

1 position limits that's more likely to inhibit
2 spread activity among the three wheat contracts.
3 Inter-market spread trading in wheat serves a
4 valuable economic purpose. I think the prior two
5 panels there's been a number of participants who
6 have emphasized that already. And again position
7 limits based on a formulaic approach without a
8 demonstrable, measureable, or even likely
9 improvement in the fundamental principle of price
10 risk, price discovery, or risk management is not
11 good for our marketplace, it's not economic sound
12 policy.

13 I would say finally too that the issue
14 equality among position limits in the three wheat
15 contracts have been supported by a number of wheat
16 industry Ag groups and organizations in comment
17 letters already submitted to this Commission --
18 and I would urge the Commission to consider those
19 in their final rule making.

20 MR. MCGONAGLE: So I segue to the next
21 question about recommendations concerning a
22 different methodology in lieu of the 25 percent.

1 I also note that Ed has his flag up, so, Ed.

2 MR. GALLAGHER: Thank you. Carrying out
3 from the previous question leading into the next
4 question. For class three, the spot month is a
5 very short period of time of about five days at
6 the end of each contract which trades monthly.
7 And so it's really not a relevant issue for us in
8 the class three markets as long as the single
9 month limit is less than the spot month limit.
10 You don't want to have that reversed because that
11 will create an issue. What our issue is, is that
12 they're too narrow, the limits that you've
13 suggested. My interpretation of the rule is that
14 we've got -- since we've got a cash settled
15 futures contract and where others have a physical
16 futures and a cash settled derivative, they've got
17 basically X plus X, they've got 2X of a limit,
18 we've only got 1X and that's too constraining for
19 our market. Relative to again, to dairy, we're
20 young, we're nurturing, it's growing. We've got
21 concerns that if you -- there's no practical way
22 in the class three futures market that whatever

1 your limits are will impact price discovery
2 because futures do not impact the cash price
3 discovery mechanism. There's no concern over
4 price discovery. It's impossible to corner a
5 market using class three fluid because of its
6 perishability. That for our market to err on the
7 conservative side is more risky than to err on the
8 liberal side. And we'll see over time, there will
9 be markets like we haven't gotten into yet, but
10 our nonfat dry milk market or our whey market,
11 when they are first introduced, to have a set
12 number -- so this gets into the second -- to have
13 a set number of what something could be that is a
14 base minimum, that it won't go below that number.
15 And then if you want to have spot month or -- and
16 our issue is all months combined, single months
17 and all months combined limits -- if you want to
18 have those numbers be based on some sort of a
19 formula, but that the limits will never be any
20 less than X, I think we'd be okay. And I do -- it
21 was Jerry that brought up the notion that markets
22 change, deliverable supplies change, and I think

1 there can be some risk at them changing in a way
2 or the perception of what is a deliverable supply
3 change in a way that creates a problem if you are
4 doing it only by formula and you don't have some
5 minimum level, is lacking.

6 MR. MCGONAGLE: So now I'm wondering
7 whether I jumped ahead a little bit too quickly
8 because I wanted to go back and want to hear a
9 little more about this interaction on the cash and
10 the physical and the -- you know a calculation --
11 you know, it's not broken, don't fix it, drain the
12 liquidity. So how can I -- and so this isn't to
13 you because -- or maybe it is to you -- but in
14 dealing with the 2X scenario how do we make sure
15 that we're preserving market price integrity and
16 the price discovery process while ensuring against
17 manipulative behavior? And then sort of how do I
18 get that balance between the cash and the
19 physically settled contracts?

20 MR. DUFFY: If I may I think that you
21 have to draw the difference on a derivative of a
22 derivative. If you're looking to set deliverable

1 supply for both cash settled products and
2 physically settled contracts, update the
3 deliverable supply, but the cash settled contract
4 has to be based on an index. It can't be priced
5 off of the physical contract. And that's really
6 where the rubber meets the road here where you're
7 looking at manipulation, you're looking at all
8 types of activity that's going to siphon out the
9 liquidity. I can't harp on this enough; I said it
10 in my opening remarks earlier. This is exactly
11 what will happen. What's important is, what was
12 said earlier down there at the end of the table,
13 is that hedgers need to have the ability to hedge.
14 There is hedge exemptions that are available but
15 then you have to have somebody to take the
16 opposite side of the market. So if you update the
17 deliverable supply, you should be able to
18 accommodate both sides of the trade. But at the
19 same time, but give somebody five times larger
20 position again on the conditional side that's
21 based off of a physical market to me is asking for
22 real trouble.

1 MR. MCGONAGLE: Where would you go --
2 what type of recommendation would you have on that
3 relationship of one to one or zero to five?

4 MR. DUFFY: I would have it one-to-one
5 obviously. I think one-to-one makes sense. I
6 think it has worked. We could talk about the
7 natural gas contract all we want. I think someone
8 at the other end of the table said that these are
9 27 or 28 different products; they all should be
10 treated a little bit differently. We're setting
11 one example that's conditional today and I've
12 already given you evidence that we're seeing a
13 decrease in trading going into expiration of these
14 contracts over the last three years. In a very
15 low volatility period.

16 MR. MCGONAGLE: So whether as it applies
17 to the other contracts a similar type of model as
18 having a conditional exemption apply to other
19 contracts?

20 MR. DUFFY: I don't believe there should
21 be conditional exemptions for other contracts that
22 are settled off of a physical market.

1 MR. MCGONAGLE: If I can go to Ben and
2 then I'll go to Jerry. So just keep it at NG.

3 MR. DUFFY: I'm sorry?

4 MR. DANGER: I guess the question here
5 was do you just want a condition limit one to one
6 for NG --

7 MR. DUFFY: On all products.

8 MR. DANGER: On all products?

9 MR. DUFFY: No, on all products.

10 MR. DANGER: On all products?

11 MR. DUFFY: I don't -- but I think the
12 NG decision was a bad decision and I don't know
13 the basis for what it was and all of a sudden now
14 it's being introduced into 28 other products which
15 have nothing to do with NG so I'm still confused
16 how it happened in NG to be honest with you. We
17 didn't own NYMEX when that happened.

18 MR. JACKSON: So let me help there and
19 let me address a couple of the comments that Terry
20 made earlier as well. One, I was very confused by
21 the connection between cash settled contracts and
22 benchmark manipulation that was brought up

1 earlier, especially coming from an exchange
2 operator that has more cash settled contracts than
3 -- so first that connection on benchmark
4 manipulation I find interesting. The second is
5 the data on natural gas liquidity and we've
6 provided multiple sets of it and it says there's
7 zero evidence that liquidity has been drained from
8 the contracts. So I'd be very curious to see this
9 information and this data for you to share that
10 with all of us. We believe again what we have in
11 place today works very well, it acknowledges the
12 difference between financially settled contracts
13 and physically settled contracts. I operate in a
14 world as does Terry where we have both of those
15 types of contracts. I'd be the first to say that
16 physically settled contracts have a lot more risk
17 associated to them, a lot more things that the
18 exchange has to very acutely manage on a day-in
19 and a day-out basis when it comes to those
20 particular contracts, when you're going into the
21 delivery process, when you're matching buyers and
22 sellers, making sure that you have buyers and

1 sellers that can actually take and make delivery,
2 when you're dealing with third parties that are
3 part of that ecosystem such as warehouse keepers
4 for some of the contracts. There are a lot of
5 different specific risks that those contracts have
6 that are not present in cash settled benchmarks.

7 And just for a bit of the history lesson
8 well, how did we get here? In 2010 the Commission
9 required that each cash settled contract that
10 referenced a physically settled contract, that the
11 spot month position limits were a match and that
12 they mirrored for all contracts, but in
13 recognition of two things, one that cash settled
14 contracts do represent a lower risk and they have
15 little negative influence on the final settlement
16 price of the physically settled future, combined
17 with the fact that at that time a lot of the
18 natural gas market was moving from OTC to futures.
19 And a lot of that business was done in cash
20 settled types of contracts that the Commission
21 granted this conditional limit on the natural gas
22 contract at that point in time. So we have four

1 years of data all of which points to this has been
2 incredibly successful and I'm complimenting Terry
3 as having one of the most successfully physically
4 delivered contracts with the best price
5 convergence, 10 times better than what he sees in
6 his corn, wheat, and soybeans futures contracts
7 today.

8 MR. MCGONAGLE: So I don't want to
9 create two panels here. If I can go to Jerry
10 who's been so patient and then I'll come back to
11 Mr. Duffy.

12 MR. JESKE: Well, Vince, in the interest
13 of keeping the peace, we're a customer of both
14 exchanges, so in terms of the conditional limit,
15 we've availed ourselves of that conditional limit
16 and the spot physically delivered contract is of
17 course important. I think everybody here would
18 agree that we want well functioning markets that
19 are reliable, period. And I don't think there's
20 any debate about that. Volume means something to
21 the exchange. It means revenue source, right.
22 Does it necessarily mean liquidity though? So

1 let's not get that confused, because really the
2 issue here is not to be so concerned about what
3 liquidity or you want to call it volume -- let's
4 be honest about it, call it volume -- is there
5 more volume on ICE than there is on CME? That's
6 really not the concern I think of the market
7 participants. The concern is convergence and
8 whether or not convergence operates properly. And
9 I think Ed mentioned it earlier -- or there was a
10 couple of mentions to wheat and I would like to
11 bring to the panel's attention, particularly the
12 Commissioner's, back in 2009 Professor Irwin,
13 University of Illinois wrote I thought a very good
14 study in connection with the wheat contract.
15 There was a problem there. I think everybody
16 should focus on that a little bit because that
17 should be the fear. The fear shouldn't be if the
18 volume numbers go through the roof at ICE or if
19 they go through the roof at CME, that's a great
20 thing; that would be fabulous. It's not a zero
21 sum game. Everybody should be able to have the
22 ability to contract freely, whether that's on ICE

1 or CME, hedge their risk wherever they find it
2 most appropriate. It's called business judgment.
3 It should not be a --

4 MR. MCGONAGLE: So do you see
5 convergence being affected by the conditional
6 exemption?

7 MR. JESKE: I don't think so.

8 MR. MCGONAGLE: Anyone else want --

9 MR. JESKE: Again I point to the
10 empirical evidence which I know of. In fact cash
11 settlement was one of the potential solutions to
12 the problem of the wheat contract if you'd read
13 through the paper. That was an option. I think
14 Ed mentioned that there was some fixing that went
15 on in terms of the deliveries but I think, you
16 know, going back to deliverable supply, going back
17 to the fundamental commercial participants and
18 where they take the commodity from point X to
19 point Y through a delivery perspective. I mean I
20 can talk about the energy market whether it's
21 electricity or oil, but you're taking about the
22 end consumer in the oil spectrum is going to be a

1 refinery, right. So you need to look at it in
2 that context. Electricity also needs to be looked
3 at a little bit more closely. I think there's
4 some confusion as to what does generation mean.
5 Load is demand. That is not a factor of supply.
6 Supply is generation. So I think the generation
7 capacity that exists in this nation needs to be
8 looked at a little bit more liberally or at least
9 maybe more understanding. I'm sorry,
10 Commissioner.

11 COMMISSIONER WETJEN: Just to jump in.
12 Sorry, Jerry. You mention and I think Terry
13 mentioned it before that two -- was it the feeder
14 cattle and the hog contract at one point were
15 physically settled, but no longer are, they're now
16 cash settled. And presumably that was to address
17 some kind of convergence issue? Terry?

18 MR. DUFFY: That was to address a lot of
19 different issues. As you can realize in the hog
20 industry the vertical integration that was going
21 on, the captive supply concerns that were going
22 on, the credibility of the pricing of how the

1 price was being -- the contract was being
2 delivered. You were no longer pricing the
3 contract as a live contract, it was becoming a
4 lean carcass and that's the way the industry was
5 pricing it. So there's a whole host of reasons
6 why that contract needed to be changed or it was
7 going to go away and there would not be a price
8 discovery vehicle for the hog producers of
9 America.

10 On the feeders it was a very similar
11 situation which happened many years ahead of the
12 hog contract. So yes, that's the history of why
13 those went that way. It wasn't for just purely
14 convergence, it was because of the way the
15 industry had switched.

16 MR. PROSSER: Commissioner, might I add
17 thought that in each one of those instances there
18 was a USDA referee index. That cash index is
19 created independently of the trade. That is
20 entirely different than a derivative off of a
21 derivative when we allow one derivative market to
22 set the settlement procedure for the other one. I

1 do think those are two different issues.

2 MR. MCGONAGLE: Sara, where do we go?

3 MS. TOMALTY: While I like what Jerry
4 said about volume not equaling liquidity, we use
5 the swap market to hedge our huge exposure to
6 Henry Hub. Global energy companies have exposures
7 beyond the U.S. and so we need that market to be
8 sufficiently large. Although we may have a hedge
9 exemption we need more counter parties. So it's
10 not just a volume issue, you need counter-parties
11 in the market with which we can transact. So
12 setting the limits higher, I think, promotes more
13 liquidity based -- in terms of counter parties as
14 well as volume.

15 You asked what risks are involved in the
16 futures delivery market versus the cash settled
17 market; we don't see the same risk involved in the
18 cash settled market from having a limit at 1,000
19 contracts because -- well, we're actually
20 promoting the futures delivery limit to be higher
21 so we wouldn't want that to be at 1,000 contracts
22 either. But with respect to the futures delivery

1 market it actually goes to delivery so there is
2 more risk of market manipulation. You know, you
3 actually do have index manipulation, corners or
4 squeezes, banging the close. I don't think you're
5 going to see the same risks involved with the swap
6 market where there's cash settlement.

7 MR. MCGONAGLE: We do have a trader
8 question which is going into the spot month why
9 would a trader be interested in having a large
10 physical and a large cash position? I put that
11 out to the broader panel but --

12 MS. TOMALTY: Oh, can I speak to it?

13 MR. MCGONAGLE: Absolutely.

14 MS. TOMALTY: As a global energy company
15 we have a need to be in the physical market.
16 Henry Hub is now a liquid market with a lot of
17 different pipelines delivering to Henry Hub and
18 there are going to be more pipelines proposed to
19 deliver to Henry Hub. I think Cheniere is also
20 adding. So we do have a need to be in the
21 physical market. We are delivering to Henry Hub,
22 we participate in the spot market, and often go to

1 delivery. At the same time we have global
2 exposure to Henry Hub so we have a need to be in
3 the cash markets, cash settlement markets as well,
4 to hedge our exposure. I think we would propose
5 that you get rid of the obligation to not hold
6 physical delivery position in order to have a five
7 times limit in the cash market because I think you
8 want energy companies speculating in the market.
9 We transact based on fundamentals. You know, we
10 are important to the speculative market to bring
11 markets in line with fundamentals. I think it's a
12 good thing for energy companies to have some
13 flexibility to speculate as well as to hedge.

14 MR. MCGONAGLE: And where would you draw
15 that line in terms of going from zero and five to
16 what and what? I mean we're, you know, concerned
17 -- let me say primarily concerned in the proposal
18 that talks about the opportunity for manipulation
19 or disruptive practices between these markets
20 using one to leverage a result in another.

21 MS. TOMALTY: We support the cash
22 settled limit being higher. Whether you do it 5X

1 the deliverable supply limit or based on some open
2 interest level. That's up to -- I think the
3 exchanges are pretty well versed in figuring out
4 what those levels should be. But, we do need, as
5 I've mentioned, we need more flexibility, we need
6 more counter-parties in those markets.

7 MR. MCGONAGLE: Yes?

8 MR. DUFFY: I support higher limits for
9 cash settled contracts also, but not when they're
10 settled against the physical. If you want to
11 create an index like the gentleman down at the end
12 of the table said, when we did create an index
13 with all different products we worked with the
14 industry to create one. We just didn't settle it
15 off of an existing contract that's physically
16 settled in the marketplace. I think that is a
17 detriment to the users of the credibility of the
18 price. Either get rid of the physical market
19 totally and come up with a cash settled for
20 everything if that's the way the market wants to
21 go. It then gives no position limits because
22 they're all dollars and who cares. We don't have

1 to worry about it. But that's not the situation
2 because you go down to the end of the table and
3 you ask these folks if you want to have cash
4 settled products and they go out to their user
5 community they're going to say absolutely not. We
6 need to have physically delivered products in
7 order to keep the credibility, the buyability of
8 that pricing for us to use throughout the
9 industry.

10 So we should really break this apart and
11 say if you want to talk about cash settled
12 position limits is one thing, but they can't be
13 based off of a physical market. It's just -- I
14 don't even understand the concept of that. And as
15 far as our cash settled business that we have done
16 we created cash settlement at CME. We came up
17 with the first cash settled contracts in the early
18 '80s in our financial products with the industry,
19 with the government. We worked with everybody to
20 do this. But on other products it would be easier
21 to go to cash settled and not have to worry about
22 the delivery process. But that's not what the

1 industry needs. So you have to work with what the
2 industry needs.

3 MR. MCGONAGLE: Ed?

4 MR. GALLAGHER: We like cash settled
5 products and not just in milk. We have some
6 financially settled swaps in feed grains that are
7 cash settled that we enjoy because we don't have
8 to worry about anything to do with delivery in
9 those positions. So there is a demand from some
10 of us for some cash settled products.

11 MR. MCGONAGLE: In these questions that
12 we've posed here today am I missing anything?
13 What should we be focusing on? What questions
14 should I be putting out to the panel? Jerry, you
15 put your flag up first.

16 MR. JESKE: Well, I was just going to
17 mention that optionality is important to
18 commercial users. So I think the first panel
19 concentrated on this to some degree. Whether you
20 have some price exposure that you can hedge
21 relative to a cash settled or a physically settled
22 contract you need to have that outward

1 been mentioned that you need to ask is really how
2 do you measure these markets for a physical
3 deliverability. In the end I found that physical
4 delivery markets have always performed ahead of
5 everybody else, you know. My suppliers would shut
6 me off quicker than a DCM would. DCM will always
7 deliver the products. And if I don't have product
8 I'm out of business. So even if you're
9 financially cured later as is the case with say
10 the Bear Stearns derivatives in some instances
11 you're still out of business. So physical
12 delivery is a key element of this and if the
13 question is that these limits don't make sense
14 then -- first there needs to be ratio. You need
15 to reinforce the physical market as much as
16 possible. I agree with Terry that if you don't
17 think it has validity then get rid of the physical
18 market altogether for that particular commodity.
19 But as consumers we need these commodities to be
20 delivered. If the market needs to be measured
21 differently then measure it differently. But the
22 ratios of one and a half times all that exists for

1 a particular market to me doesn't make sense. And
2 if you need reference points for a larger
3 worldwide trading as Sara said, you know, they
4 have real concerns. There may need to be a
5 different sort of contract for that that doesn't
6 exist now.

7 MR. MCGONAGLE: Ed.

8 MR. GALLAGHER: I wholeheartedly echo
9 Jerry's comments on trade options. That would be
10 a train wreck in the dairy industry if the trade
11 option -- if a milk marketing contract that has
12 some volumetric optionality, that in no way, shape
13 or form was ever devised as a derivative becomes a
14 swap, it could blow through position limits with
15 just one contract. It would be a real problem
16 with the dairy industry.

17 MR. MCGONAGLE: And so we're going to
18 hang onto this topic maybe a little more. Ed?

19 MR. PROSSER: I just wanted to make one
20 other comment about wheat contract equivalency.
21 Applying this mathematical formula to each one of
22 the markets has given the Commission to recommend

1 that we increase wheat limits in Chicago.
2 Incidentally we're going to raise Chicago's wheat
3 limits above both Kansas City and Minneapolis.
4 Does the Commission know that the physical market,
5 its smallest production size is smallest in the
6 United States is Soft Red Winter Wheat which is
7 the wheat that's represented most closely to the
8 Chicago market? So it gets back to this idea that
9 75 years of history and the local knowledge of the
10 DCMs, I think, should be very well represented
11 when we decide to set these limits.

12 MR. MCCONAGLE: Opportunity for closing
13 comments, observations? All right. Thank you for
14 a very exciting panel. I appreciate it. We're
15 going to take a 15 minute break and we'll have our
16 next panel on aggregation.

17 (Recess)

18 MR. MCGONAGLE: Welcome everyone to the
19 fourth panel session today to discuss aggregation.
20 And if I can have the panelists introduce
21 themselves. We'll start with Ken Raisler.

22 MR. RAISLER: Thank you. Ken Raisler

1 with Sullivan & Cromwell on PEGCC, the Private
2 Equity Growth Capital Council -- it's a mouthful
3 there -- which is a trade association for private
4 equity interests.

5 MR. MCCOY: Bill McCoy of Morgan Stanley
6 and I'm here today on behalf of the Futures
7 Industry Association.

8 MR. SWEENEY: Michael Sweeney,
9 Sutherland Asbill on behalf of the Commercial
10 Energy Working Group.

11 MR. NEVINS: Hi. Thanks for having me
12 here today; it's Matt Nevins with the Asset
13 Management Group of SIFMA. I work with our asset
14 managers who manage mutual funds, private funds,
15 and other client accounts including investments in
16 these instruments.

17 MR. CERRIA: Hello, Chuck Cerria from
18 Hess here on behalf of Commodity Markets Council.

19 MR. LASALA: Good afternoon, Tom LaSala,
20 CME Group.

21 MR. WINDELER: Good afternoon, Curt
22 Windeler, Director of Market Regulation,

1 Intercontinental Exchange.

2 MR. PARSONS: Good afternoon, John
3 Parsons, MIT.

4 MR. MCGONAGLE: Great. Thank you. So
5 the fourth session today as I mentioned is a
6 discussion on aggregation of positions. In
7 circumstances where one firm owns an equity
8 interest in another firm some commenters have
9 suggested that the only relevant criteria for
10 aggregation should be whether one firm controls
11 the other and so focus -- what is the interaction
12 between ownership and control as well as the
13 availability of an exception are some of the
14 questions that we're going to get into. To give
15 us a little bit of an overview, though, we've
16 asked Ken to talk about aggregation. Thanks.

17 MR. RAISLER: Thank you, Vince, and
18 thank you, thank the Commission and the staff for
19 organizing this roundtable and for the work that
20 has been done to date in the aggregation space.
21 Let me set the stage. I think and predict this
22 panel might be a little less exciting or perhaps

1 controversial than the last one. But nonetheless
2 the issues are extremely important. I believe and
3 predict there will be consensus on this panel to
4 the first two issues that the Commission has
5 raised and that is the issue of importance of
6 control rather than ownership in evaluating the
7 issue of aggregation. With proper separation
8 between trading groups or trading units, we
9 believe, and I believe the Commission has
10 supported this in broad terms in the proposed rule
11 makings, that that is the judgment that needs to
12 be evaluated rather than the issue of ownership.
13 If you look at the variety of business group
14 structures whether they be one company with
15 different business lines, parent- sub
16 relationships, joint venture relationships, or as
17 in the private equity space, private equity funds
18 and portfolio companies, it is not the ownership
19 issue that is the material issue to be evaluated.
20 Instead it's the issue of who controls and how the
21 separation of the trading activity can be assured
22 to the satisfaction of the Commission and/or the

1 exchanges. And that's historically been the case
2 and we see growth in that area, both in the 10-50
3 where the ownership is between 10 and 50 percent
4 and where the ownership is above 50 percent we
5 don't believe materially that the evaluation
6 should be significantly different. As long as
7 there is the control separation, we're in the
8 right place.

9 We believe and would recommend speaking
10 on behalf of PEGCC, and I believe some members of
11 the panel will have other specific issues with the
12 rule makings as proposed, but we see three issues
13 that we recommend clarification with respect to --
14 in order to put aggregation in the right place.
15 As I said, I think we're going in the right
16 direction. The first and most important is that
17 in the above 50 percent category we don't believe
18 it makes sense to have the Commission have to
19 pre-review and approve each application. In the
20 case of only the private equity space we believe
21 there'd be literally thousands of such
22 applications to be reviewed and instead we commend

1 the Commission's approach in the 10 to 50 percent
2 category of having a notice filing with the
3 Commission. That's a critically important point
4 both in terms of taking into account Commission
5 resources but also providing certainty to the
6 marketplace and avoiding an interim step of having
7 to aggregate for a period of time.

8 The two other changes that we recommend
9 are also important but not as important as the
10 first one. One is that rather than having each
11 board member of the owned entity make a
12 representation -- and this is again in the 50 to
13 above 50 category -- make a representation that
14 they are not conflicted in terms of getting
15 information. We believe that representation is
16 more properly made at the owner entity level who
17 of course controls those board members and
18 otherwise is in a better position to make that
19 representation. And then the last request would
20 be that there is an unusual provision in the
21 proposed rule about a penalty period of three
22 months, a cooling off period of some kind. We

1 believe that's inconsistent. If somebody is not
2 in compliance with the aggregation rules as
3 they're defined they would of course not be able
4 to take advantage of disaggregation and would have
5 to aggregate, but as long as they're in compliance
6 they should be able to come back in without a
7 cooling off period.

8 So those -- and I think those changes
9 made, we would be in extremely good position, but
10 broadly stated the focus here should be on control
11 and not ownership. Thank you.

12 MR. MCGONAGLE: Thanks, Ken. So I
13 wonder what -- probably makes sense to just wheel
14 out to the other panelists to hear feedback on
15 other particular items of interest or concern as
16 Ken identifies at least on behalf of his clients
17 on the above 50 percent category an ability to get
18 a notice filing rather than having the Commission
19 discretionary review. So I'll put out so I can
20 see whether on this panel there are additional
21 issues that we should be thinking about as we go
22 through this discussion and the comment period.

1 Matt.

2 MR. NEVINS: Sure. Thanks again for
3 having me here today and thanks for doing this
4 panel; much appreciated, very important issue. So
5 I'm just going to pick up where Ken left off and
6 start out by saying from the asset management
7 community's perspective here, we completely agree
8 that the key fundamental determinate of
9 aggregation should be trading control as opposed
10 to ownership. We think ownership generally should
11 not be a relevant factor in making the
12 determination as to which positions get
13 aggregated. From the asset manager's view this
14 raises some very unique issues that I'd like to
15 just sort of get into some of that detail on now.
16 The first is that in addition to having an impact
17 on the commodity derivative positions that they
18 may put on for a fund or a client that they're
19 managing positions for, asset managers would then
20 be required to look at the equity ownership in the
21 funds or the accounts that they're managing. In
22 other words, if there is an ownership requirement

1 that goes into the aggregation determination,
2 they're going to need to look at the level of
3 equity ownership that they have in an operating
4 company. And if they're over the relevant
5 threshold, whether it be 10 percent or 50 percent,
6 then they are going to have to work with that
7 operating company to figure out the positions that
8 that operating company has on and get those
9 positions taken into consideration with the
10 positions that the manager itself is putting on
11 for the fund or account that it's managing. We
12 think that's completely inappropriate where the
13 manager has absolutely no control over those
14 positions that are being put on by the underlying
15 operating companies. So again we think that
16 that's an irrelevant part of the equation here.
17 We think that the focus should maintain on trading
18 control, on having the ability to select the
19 individual positions that are being put on.

20 As far as the requirements related to
21 making a notice filing or to perfect the exemption
22 itself, we think that as proposed they'd be fairly

1 onerous and burdensome. If we're talking about a
2 situation where ownership of equity, so ownership
3 of positions is not a relevant factor, then a
4 notice filing would seem to us to be more
5 appropriate. If ownership of equity positions as
6 opposed to ownership of a trading account is part
7 of the factor that means -- or is part of the
8 factors that need to be taken into consideration,
9 then it would require an asset manager to do due
10 diligence of the positions that are held by that
11 operating or portfolio company that they've
12 invested in, coordinate with them to make sure
13 that they're getting that information or having
14 some other means of getting a data feed so they're
15 able to either make the filing that's required to
16 get an exemption or to actually take those
17 positions into account and aggregate them in order
18 to determine their own compliance with the rule.
19 So I think generally speaking we'd be okay with a
20 notice filing requirement if there was not an
21 ownership component of the aggregation
22 determination.

1 On the issues that are related to having
2 a requirement for over 50 percent, I would agree
3 with Ken that having to go back to the Commission
4 and seek approval seems unworkable, especially for
5 an investment manager who has to make trading
6 decisions on a fairly nimble and quick basis in
7 order to manage risk in their portfolios and
8 manage the positions in their portfolios. So I
9 would agree with the point that Commission
10 approval should not be required even over 50
11 percent.

12 MR. MCGONAGLE: So just focusing for a
13 second on this ownership question, if we consider
14 taking away ownership and focused on control then
15 the ownership set levels is de minimus, 10
16 percent, 10 to 50 there's certain considerations
17 for control, and then 50 plus not just the
18 Commission review but, you know, compliance or
19 application of not only the control standards set
20 at 10 through 50 but additional enhanced standards
21 to evaluate. So how do you see that process
22 changing? What's important about the control

1 analysis? Have we articulated in a proposal those
2 elements that you think would be -- that gets at
3 the concerns for aggregation just using a control
4 evaluation?

5 MR. CERRIA: Hello, Vince. Yes,
6 actually we think you've done a really good job in
7 this most recent proposal. I don't think I'm
8 going to give the full cites but there's really
9 good nuggets throughout the proposal. I'm going
10 to point to 150.4(b)(i), (b)(ii), and (b)(iii),
11 okay, where you're looking at really the essence
12 of whether the entities are separate, the degree
13 of separateness, how differently they trade or how
14 differently they go about their business, if
15 they're independently run from a control
16 standpoint, and the day-to-day trading standpoint
17 which is an in the moment kind of thing, okay. So
18 that you may have regardless of ownership a senior
19 vice president or a CFO who has a high degree of
20 interest in what's going on but his interest is at
21 a very overarching high level and never really
22 descends down to the trading day-to-day operations

1 and you need to focus on the facts and
2 circumstances there. And this really does need to
3 be a facts and circumstances analysis, and I think
4 you've hit on all of the correct factors in those
5 sections that I've highlighted. So good job on
6 that part of the proposal.

7 MR. MCGONAGLE: And then getting at that
8 if there was a proposal that did not consider
9 ownership at some level then what balance do you
10 see for control?

11 MR. SWEENEY: Yeah, thanks, Vince.
12 Where I draw the line would be the control test
13 would be applied at the trading level. I mean, I
14 think the thing that the Commission has to
15 consider -- and I do agree with Chuck that the
16 proposal is a good proposal -- that at the owner
17 level, there's very little actual day-to-day
18 business, at least in our experience working with
19 energy companies, where there's the type of
20 knowledge, there's obviously expertise in
21 management and other people who can oversee a
22 business, because they have corporate governance

1 responsibilities and fiduciary responsibilities.
2 But on a day-to-day basis they don't have the
3 actual real time knowledge to control or direct
4 the trading at the trading level. So if you were
5 to structurally put something in you would want
6 the test and the procedures to be at the trading
7 level for the recognition that it's not controlled
8 at the top level of the company. I think that's,
9 at least in our experience, a fundamental premise.
10 There's not a lot of folks that we are aware of --
11 even in various corporate structures. So we have
12 clients who work with -- they're a single entity,
13 they have multiple business units or platforms
14 that trade within it, people that have separate
15 subsidiaries that are owned. The trading
16 decisions and the trading functions and the
17 business dealings are done at that desk platform
18 level, it's not controlled up top. So I think if
19 you put it at the trading level to start with the
20 recognition that the parent level or the owner
21 level is not directing that and allow people to
22 show that, at least block, create some sort of

1 conduit protection for information sharing I think
2 you start out at a better place.

3 MR. MCGONAGLE: Bill.

4 MR. MCCOY: Thank you. Yes, and FIA in
5 its comment letter echoes some of the same ideas
6 that with respect to common control over direction
7 of trading should be the primary focus. And as we
8 indicated, and as Michael has alluded to, there
9 may be other functions, risk management functions
10 where the access to the data may be appropriate so
11 that -- for example credit functions and other
12 corporate governance functions that are needed,
13 compliance functions that need to be aware to
14 protect the overall enterprise. But that, in
15 terms of the direction of trading and positions,
16 where there are appropriate information barriers,
17 such that traders do not have access to the data
18 of the other organizational entities, that's where
19 I think one has to start in looking at segregation
20 and therefore determination that they may not be
21 aggregate.

22 MR. MCGONAGLE: So I want to go to Tom

1 in a second but I sort of want to pull together
2 some of the comments starting with Ken. Our
3 concern about sort of overwhelming the Commission
4 resources, right, and so, you know, if 50 percent
5 ownership let's assume that, you know, ownership
6 is part of a recommendation, if 50 percent
7 captures too much why, you know, not jettison 50
8 percent -- move that up and maybe that has a --
9 and then we're dealing with a smaller group. And
10 what I'm getting at is, we haven't talked about
11 this sort of the exception or the catch coming
12 back around for substantially identical trading
13 and who's going to have that burden to evaluate
14 whether in this myriad of subsidiaries or shell
15 companies or whatever that there's actually more
16 connection between the trading activity than might
17 first be known or recognized.

18 MR. RAISLER: Yeah, I mean I think,
19 Vince, that PEGCC was of the view that at 50
20 percent you could create a different standard or
21 an enhanced standard. Obviously, the rule as
22 proposed would be that if you're above 50 percent

1 you need to comply with the 10 to 50 requirements
2 and then these additional requirements. Certainly
3 PEGCC would not object to going from 10 to 100 and
4 not making that draw line at 50. I think, though,
5 the issue of evaluation, I really would think --
6 and I think Tom LaSala was about to speak to this
7 and will when we call on him next -- that the
8 exchanges historically have looked at that, have
9 looked at trading in concert, have looked at
10 accounts that seem to have very similar trading
11 behaviors and, you know, when that has happened
12 they have taken action and, presumably, so too
13 could the Commission. But the presumption is that
14 the notice filing would be a representation by the
15 legal entity company, JV Affiliate whatever that
16 they have in place, the kind of separations that
17 are in 150.4(b) (ii) and that in so complying
18 they're stuck with that and if they misrepresent
19 that to the Commission of course there's the
20 possibility of review and sanction of them.

21 MR. MCGONAGLE: So, Tom.

22 MR. LASALA: Thanks, Vince. I guess

1 I'll begin with the point about the ownership
2 simply. I guess the background for my position
3 is, I think you know for years NYMEX COMEX
4 administered disaggregation I would say in
5 compliance with 150.4 and in that context we
6 didn't have a hard line, you know, drawn in the
7 sand with 50 percent or a barometer, but it was
8 control based. You know, looked at the structures
9 of the organizations, what information was shared,
10 where were people sitting, what assistants did
11 they share, what technology did they potentially
12 share. So we were looking for a distinct
13 separation, where the separation is clear, is
14 distinct. And also we would run trading in
15 concert analysis. So we had that ability, we
16 exercised that ability. And as Ken said, you
17 know, where appropriate, we'd look at that today.
18 So there's a thorough examination. I don't
19 frankly see the real distinction whether someone
20 is more than 50 percent or between 10 and 50
21 percent if in fact you're answering the questions
22 about the separation identically, you're testing

1 them the same. I'm not sure why we need to go to
2 necessarily a higher standard. And, you know,
3 certainly talk about that. But I guess I was open
4 and receptive when I thought I heard you say maybe
5 in support of what Ken introduced earlier that,
6 you know, a notice filing, that something even
7 beyond 50 percent might be appropriate. I guess
8 the point I'll add to that is I would still have
9 some concerns that maybe for Ken's clients that
10 some of the requirements that kick in, the no
11 consolidated balance sheet or the restriction to
12 the 20 percent of the spec limit for the company
13 that was owned, while that not might be a problem
14 for some I think it will be a problem for others.
15 In the context of the 20 percent it does seem
16 arbitrary and frankly I'm not quite clear what the
17 consolidated balance sheet really means if you've
18 satisfied the full scope of the test.

19 MR. MCGONAGLE: So where would you make
20 your recommendation?

21 MR. LASALA: I would make a
22 recommendation that there be a notice filing with

1 no restriction as to percentage. I would
2 eliminate those last two criteria for an excess of
3 50 percent and I would advocate that the agency
4 perform some type of testing in all instances.
5 You will be getting data with the OCR to do
6 trading in concert. You'll have that ability. We
7 have that ability today. So I think that there
8 should be some back testing. That back testing
9 arguably could occur, you know, on some repeat
10 level. I think just remind you -- and I know
11 you're sensitive to this -- you know, you've got
12 people notice filing when they're making
13 representations to you and to us, they're subject
14 to, you know, being disciplined if they are
15 misrepresentations or if either of us detect, you
16 know, basically inconsistency in those
17 representations. I think people take those
18 representations seriously and, you know, the
19 extent that someone, you know, doesn't, they'll be
20 sanctioned.

21 MR. MASSAD: Let me make sure I
22 understand your points. You know a lot of this is

1 looking for bright lines for us. We're obviously
2 a Commission of limited resources. We don't have
3 lots and lots of people to evaluate the facts and
4 circumstances of every situation. In suggesting
5 that we use control instead of ownership and in
6 other areas of the law ownership is an indicia of
7 control. In the securities area obviously a much
8 lower ownership is an indicia of being an
9 affiliate. So I'm trying to understand if you're
10 arguing for this control and saying even, you
11 know, even a 50 percent ownership should not be
12 considered a bright line. Is that I guess because
13 you think the policy purposes we're trying to
14 serve here are different because it feels
15 different than say how other areas of the law
16 might operate, or maybe there are other areas of
17 the law where you think a similar standard is
18 used.

19 MR. RAISLER: I would emphasize that
20 there is the percent standard which is sort of a
21 de minimis standard below which there is no
22 requirement. I think though that from a variety

1 of perspectives the way in which companies and
2 affiliated entities and portfolio companies and
3 the like trade really has created between them and
4 their sister/parent, whatever it is, a form of
5 separation such that if they were to be aggregated
6 or required to be aggregated it would
7 fundamentally change their business model. And I
8 think all that we're asking is that the Commission
9 recognize the way these organizations are
10 structured creates a formal separation that allows
11 representation to be made. I think historically
12 and the line of 50 percent would be, you could
13 argue a higher standard because by definition if
14 you own more than 50 percent of an entity you do
15 have some element of control of its behavior and
16 so you need perhaps a more affirmative
17 representation as is provided in the above 50
18 percent category, that you're not in fact
19 exercising certain authorities that you otherwise
20 have; you're waiving those authorities. But I
21 think the notion of allowing this to happen is
22 actually not new as Tom indicated. Although not

1 formalized in regulation, the proposals people
2 have had have gone to the exchanges with respect
3 to relief and the exchange has evaluated the
4 separation and made that resource determination.
5 So I don't think we're asking for some radical
6 adjustment in that analysis.

7 MS. ADRIANCE: Just to kind of also have
8 you address-- since what has been suggested by a
9 number of people here that the focus should be on
10 control not ownership, however the determination
11 of control is made-- that the focus should be on
12 control and not ownership. And I want to just
13 pull in some -- if anyone can address the CEA, the
14 language in CEA which does not just limit itself
15 to focus on control. There is language there that
16 the proposal was trying to address and so any
17 feedback as to how the Commission should address
18 because, you know, obviously we're going to have
19 to address in any final rule making we're going to
20 have to address that. What should we be doing?

21 MR. MCGONAGLE: So congratulations,
22 Riva. I think you got almost everyone to turn up

1 their chart. But if we can go to Bill and then I
2 guess just straight down the line.

3 MR. MCCOY: Right. Well, I thought to
4 try to bring this together I was thinking that
5 we're focusing-- that we're talking about control
6 and I think the discussion that as Tom mentioned
7 among the different requirements or the above 50
8 percent one that many of the market participants
9 may have difficulties with, and FIA has certainly
10 highlighted it, is with respect to looking at the
11 requirement of consolidation and financial
12 statements under GAAP where, of course, that is
13 the definition of control as are a number of other
14 statutory provisions that control. But that's to
15 some extent corporate control over the entity as
16 opposed to where, I think the purpose of the
17 statute, in this case with respect to the limits,
18 is control over the decision making on the
19 trading, on the positions, as opposed to general
20 corporate control. And as I mentioned earlier one
21 of the things FIA asked for is clarification
22 regarding how, while there should be no sharing of

1 data of access of information, among the separate
2 affiliated entities, where one is seeing
3 disaggregation with respect to the traders, the
4 ones who are making the decisions in trading, that
5 there may be risk managers, credit departments,
6 compliance groups, et cetera, where some shared
7 information needs to be in place because from a
8 perspective of corporate control there needs to be
9 the oversight, generally, of the enterprise.
10 However, to the extent that the statute and the
11 historical perspective that the Commission is
12 taking and the exchanges have taken, of focusing
13 on the control of decision making, I think that
14 underscores why putting in this additional
15 requirement of reliance on consolidation of
16 financial statements seems ill placed.

17 MR. SWEENEY: Okay. A couple of
18 thoughts. I agree with obviously with Ken and
19 Bill's comments. Mine will be additive. I think
20 in terms of when we talk about control and how you
21 get there and ownership and indicia of control, I
22 think if you're talking about enhanced standard

1 applied to entities where ownership interest is
2 over 50 percent, you know, similar to other rules
3 proposed by the Commission there can be, you know,
4 some type, in my view, of corporate delegation or
5 corporate authorization to allow for that
6 independent trading by the actual owned entity
7 where they're specifically authorized to engage in
8 that activity by the senior management. And that
9 authorization would be given in the context that
10 the owning entity would only maintain such minimal
11 control as is consistent with their fiduciary or
12 corporate governance responsibilities to
13 diligently supervise the trader. I mean that's
14 something to think about. And that would also be
15 done in the context of other applicable legal
16 obligations of the owning entity.

17 And then in terms of the statute, the
18 Commission still has the discretion to use, you
19 know, section 4a(a)(7) as, you know, to exempt
20 from the statute certain activity. If you placed
21 -- it can be used in concert with the additional
22 controls if they're present. Just, you know, a

1 thought. You know, something to think about.

2 MR. MCGONAGLE: Matt.

3 MR. NEVINS: Okay. Thanks. I'm going
4 to try to add onto the comments of all of my
5 fellow panelists up here. I agree with everything
6 that's been said so far. Our comment letter in
7 February went into great detail in explaining our
8 view and the distinction between corporate control
9 and trading control. I'm going to try and very
10 briefly summarize here. I think the question that
11 the Chairman asked is a very good one. I think
12 you need to look at other regulations in order to
13 gauge whether it's appropriate or what the
14 appropriate level of control is in the context of
15 position limits and position limit aggregation.
16 So here you're concerned about potential
17 manipulation in the market, large size in the
18 commodities space to potentially take advantage of
19 positions. I think in other contexts, in the SEC
20 context on, you know, large trader and ownership
21 reporting in the FTC and DOJ context with
22 Hart-Scott-Rodino, it does make sense to look at

1 corporate control and look at ownership levels for
2 determining, you know, again using FTC and DOJ as
3 an example, antitrust concerns for large
4 acquisitions. Here, where you don't have a common
5 controller over the positions in commodity
6 derivatives itself, it doesn't make sense to me to
7 require aggregation based solely on ownership. So
8 in other words, if there is a division and a split
9 between who has the ability to put those commodity
10 positions into place between the investor and the
11 investee company, it doesn't seem appropriate to
12 say, okay, you're imputed to control those
13 commodity derivatives positions in the market and
14 therefore aggregate those positions for making a
15 determination as to whether that parent level
16 entity has the ability to manipulate the commodity
17 derivatives market. Where there is a complete
18 split between the power to put those positions in
19 place, I think ownership is much less of a
20 relevant consideration. In fact, it's probably an
21 irrelevant consideration.

22 The other thing I'd say just adding onto

1 my fellow colleagues here, on the over 50 percent
2 threshold, we also agree that accounting
3 consolidation is a red herring here. The
4 accounting rules require a consolidation from time
5 to time even where ownership levels are slight.
6 There are, you know, accounting considerations as
7 to why an entity may be required to be
8 consolidated on the books, on the balance sheets,
9 so you have the liabilities and assets shown
10 together in one place where there really is no
11 other control. And I think that in order to make
12 an exemption contingent upon accounting
13 considerations also does not seem appropriate
14 here.

15 MR. MCGONAGLE: So would you recommend
16 an analysis of control just of the factors that
17 we've outlined at the 10 to 50 percent level and
18 not the additional factors, or where do you -- do
19 you have a line drawn there?

20 MR. NEVINS: I would focus completely on
21 control without looking at the ownership
22 percentages.

1 MR. MCGONAGLE: Right. I guess what I
2 was getting at is that there's different
3 additional control factors at above the 50 percent
4 and so if we marked away or walked away in some
5 respect from ownership evaluation, what level of
6 control analysis gets the job done?

7 MR. NEVINS: I'm sorry, Vince, I'm not
8 sure I fully understand the question. But again I
9 wouldn't make a distinction based on the
10 percentage of ownership.

11 MR. MCGONAGLE: So I guess you're saying
12 that for certain control evaluation there's
13 outlined a number of factors including the
14 accounting factor you set out.

15 MR. NEVINS: Right.

16 MR. MCGONAGLE: Which only comes into
17 play at above 50 percent. And so what I was
18 looking at was sort of the line drawn for control
19 factors. I think we got -- Chuck had indicated
20 earlier that he liked --

21 MR. NEVINS: I wouldn't have put
22 accounting at all.

1 MR. DANGER: Yeah, let me just -- this
2 is part of our learning about your thoughts. And
3 I get that everybody seems to be not liking the
4 ownership aspect of this whole thing and so my
5 question would be what organizational difficulties
6 do you face in complying with the proposed
7 position limit rule regarding the ownership
8 interest over 50 percent? So what are the
9 organizational difficulties that you face in
10 dealing with that? So, and that would help us
11 understand what your troubles are in terms of
12 complying.

13 MR. CERRIA: Thanks, Vince. Ken, before
14 I get to that let me just finish up on what Vince
15 was -- and I know Vince you were nodding to me
16 here. And the one prong I think that I would
17 recommend that I was talking about -- and I'll
18 just read it out loud, "Procedures that are in
19 place reasonably effective to protect coordinated
20 trading decisions by such person." Okay. So that
21 would be in the 150.4(b)(iii) or (ii). I had one
22 too many "i" so it's (ii). Okay. So that answers

1 that.

2 And, Ken, from an organization
3 standpoint, I mean, one of the reasons that I
4 wanted to come here today is because I have
5 actually many years of experience at this before
6 the divestitures of downstream at Hess where we
7 had Hess Corporation in the business as an energy
8 company, a fully integrated energy company, and we
9 had Hess Energy Trading Company at the 50 percent
10 level, it wasn't over, okay. And it still is
11 actually, which is a worldwide trading company.
12 And they are very separate and distinct; totally
13 different corporate missions, like Ken alluded to
14 a lot of the factors in his remarks a few minutes
15 ago. And forcing them to share information -- and
16 I want to note that when we're talking about this
17 issue, we're talking about not only the futures
18 positions or whatever, you know, whatever
19 derivatives are going to be covered by the spot
20 limits, we're talking about the associated
21 physical positions, too, which is really the
22 entirety of the business, okay. And so they just

1 don't share -- they're set up purposely not to
2 share information. They are separate and
3 distinct. They have their own trading platforms,
4 their own guidelines, risk management. There is
5 overarching policies from a corporate governance
6 standpoint, Mr. Chairman, where the enterprise is
7 examined and -- I'm just telling you how we did
8 it. It's only indicative of what we did. It's
9 not something that I'm propagating for everybody.
10 And so that level of oversight is -- I think I'm
11 now famous for using altitudinal illusions. So
12 that's a 50,000 footer, okay. And what we're
13 talking about here is actually at the root level
14 of day-to- day trading, okay.

15 And the other point I want to make is
16 that these are intraday limits. So, I mean, we
17 can't be -- I just physically don't think we can
18 be aware of everything going on all over our --
19 everybody else's business all over the world
20 through the day to make sure we don't bump up on a
21 limit. And obviously I'm talking about at the
22 last three trading days for energy. And, you

1 know, and so being aware of all of that and
2 differentiation about how at the moment a trader
3 is making decisions is not a normal corporate
4 process that other corporate departments are
5 doing, you know. So a trader is a very localized,
6 regionalized function that's happening right now.
7 I mean there were comments made in the prior panel
8 about how localized the decision is. It's a
9 delicate balance of time, space, and weather. You
10 know, all of these things are what's, you know,
11 going on at the time of the trade right now.

12 And the last thing I want to offer to
13 Riva's suggestion and even Mr. Chairman's
14 suggestion with regard to resources -- and this is
15 like out of the blue so take it for what it's
16 worth. Earlier panels, the exchanges actually --
17 now they don't know I'm saying this so, Tom, don't
18 hit me, but the exchanges offered to help you with
19 an information sharing process before with the
20 issues on the prior panel. Riva, if you want to
21 associate a higher level of review because of
22 ownership, because you feel indebted to the

1 statue, maybe involving the exchange in that
2 review or something would help. You would still
3 make it effective upon notice so that, you know,
4 we don't halt the business or retard the business,
5 but, you know, the exchange has provided a very
6 valuable function in this aggregation analysis
7 through the last, you know, 15-20 years, absent
8 before they harmonized with CBOT, and Tom will
9 mention that; I'm confident he will. And we
10 should defer back to that and rely on that. And
11 it could be a way for you all to use the resources
12 wisely. I think I'm done.

13 MR. MCGONAGLE: Tom, another person has
14 put words in your mouth so let's see, where do you
15 come out?

16 MR. LASALA: Vince, I think I was
17 frankly a bit remiss before to you, the Chairman,
18 the Commissioners and I didn't -- I left off
19 probably a various essential component. I did not
20 mean to be ignorant to the ownership criteria.
21 And what I mean by that is, just to give you a
22 little background in terms of structure, we

1 primarily aggregate in the very first instance on
2 ownership. So we're looking at that 10 percent,
3 we're establishing groups in our systems, we're
4 looking at the positions individually and across
5 the group. So it would not be at all unusual for
6 us to get a trigger of a prospective violation
7 because we're aggregating across the group. So
8 hypothetically, entities on the same side of the
9 market trigger what might be, I'll say, a limit
10 issue or an accountability concern. It is that
11 that may lead to if it wasn't otherwise initiated,
12 engagement with the participant that would have in
13 the past or could lead to this detailed analysis
14 of, you know, the control base structures and
15 potential disaggregation. But even when we
16 disaggregate we still have them, I'll call,
17 "aggregated in a group" so we're still tracking
18 the group of companies that have common ownership.
19 So I think it's a very holistic, you know, I'll
20 say, analysis as to the corporate structures. So
21 again I did not want to infer before that I was
22 ignorant or was throwing the ownership component

1 out and I had left that out. So I apologize.

2 MR. MCGONAGLE: I appreciate that
3 clarification, Tom.

4 MR. LASALA: Thank you.

5 MS. ADRIANCE: Yeah, as a follow up to
6 that. It seems to me that what you're suggesting
7 is that you think the better process is to use the
8 tools that you are suggesting you have to, in a
9 sense, allow -- when you've done a review-- to
10 allow disaggregation but then to review, follow up
11 and do a continuing oversight to make sure that
12 that disaggregation was appropriate. So in a
13 sense you're starting from the, okay we did the
14 process, we determined that the control here is,
15 you know, is enough. All of the factors for
16 independence, it's reasonable to allow
17 disaggregation but then -- so the approach would
18 be let's allow the disaggregation when it seems to
19 be appropriate, but we'll continue with our review
20 and that's where we catch a problem rather than
21 starting out from the other side of well, we can't
22 allow it because there is an issue of possible

1 control. So am I understanding that correctly?
2 That you think you have the tools in place, you
3 think the ability to go back and look and review,
4 such that it wouldn't be an issue if there
5 actually was identical trading strategies, if
6 there was issues that came up?

7 MR. LASALA: I'll speak on behalf of CME
8 Group. I believe we have those tools. I'll let
9 Kurt speak on behalf of his organization.

10 MR. MCGONAGLE: Kurt, did you have
11 something? And then we'll go to Ken.

12 MR. WINDELER: Yeah. Absolutely. And I
13 apologize for everybody that thought there would
14 be a more dynamic exchange between Tom and myself
15 but we're largely in agreement with --

16 MR. LASALA: We've disappointed.

17 MR. WINDELER: Yeah, it's going to be
18 quite dry. We largely do agree with what Tom has
19 laid out, in that, look, ICE has a long history of
20 engaging in the same practices that the CFTC, as
21 well as other SROs, are engaging in on a daily
22 basis and that is to surveil these markets, to

1 adequately manage and administer an effective
2 position limit monitoring regime that takes in
3 this information, tries to analyze for that common
4 control and independence or ownership factors
5 every step of the way. To Tom's point, in
6 clarification here, in fact ICE doesn't wholesale
7 dismiss ownership. That is one of the first
8 indications of a common trading strategy, a common
9 aspect to a position that may need to be
10 aggregated. And to the point that it's a "set it
11 and forget it" type of situation, that's not the
12 case whatsoever. Exchanges are actively engaged
13 with participants from the onset of the initial
14 large trader report to the identification of a
15 102, across markets, across contracts, across
16 accounts we're looking at essentially those
17 control and ownership structures. And to the
18 extent that when we engage and have a discussion
19 with a firm we go through, what I think the
20 Commission has appropriately identified as, very
21 good tests for independence in (b) (2) (i).
22 Essentially those are the tests that we are

1 looking for that's going to dismiss any further
2 concern about ownership. And so we are in
3 agreement that the additional tests for greater
4 than 50 percent, they largely create a situation
5 where it's not actually going to be something that
6 a firm is likely going to be able to relieve
7 itself of in terms of an exemption.

8 The last thing I'll say, since it seems
9 to be a hot topic and a lot of people are chomping
10 at the bit speak, is that I think that essentially
11 in order to effectively surveil these markets not
12 only does it require a lot of manpower, a lot of
13 systems, a lot of administration, but it also
14 requires a lot of coordination. And I think
15 that's most appropriate to say that it needs some
16 coordination between the SROs, but certainly with
17 the Commission, because any indication or
18 determination about aggregation that differs, that
19 the Commission may make in regards to any sort of
20 test or not for the federal limits that are being
21 proposed, and the impacts on those markets,
22 obviously dramatically impacts the surveillance

1 that we're doing at the exchanges. And so I would
2 suggest that not just having this bright line test
3 as a good measure in good certainty in the
4 industry, but beyond that, as far as the
5 implementation goes that there's quite strong
6 coordination between the surveillance groups to
7 ensure that what that knowledge that is at the
8 exchanges is shared with the Commission as well in
9 what the Commission hears and understands from
10 their conversations and interactions with the
11 firms is shared appropriately.

12 MR. MCGONAGLE: All right. Thanks,
13 Kurt. Before we go to Ken I did want to put out
14 for people to think about, one question concerning
15 questions or concerns surrounding how we've
16 articulated the substantial identical trading
17 strategy. I alluded to it earlier but I want to
18 make sure that to the extent that there's comments
19 about how we've articulated that as a process,
20 that we get to hear what you have to say.

21 So, Ken, back to you.

22 MR. RAISLER: I'll defer to others on

1 that last question. But two points if I can
2 picking up on Tom and Kurt. I appreciate as well
3 that the Commission has had and continues to have
4 a Form 40 and now the Form 40S, both of which ask
5 the question do you -- you know ownership of more
6 than 10 percent, either owning more than 10
7 percent or being owned more than 10 percent by
8 others. So you have that data point consistent
9 with the exchanges for purposes of evaluation. I
10 did want to answer Ken's question about the 50
11 percent and the burden associated with that by
12 illustrating that in the context as we did in our
13 letter from the PEGCC. We have a situation where
14 the PE funds may own dozens of companies. They
15 may own up to 100 percent of those companies.
16 Their ownership of those companies is effectively
17 benign. They often times will put members of the
18 PE fund or the PE parent on the board of these
19 portfolio companies, but otherwise they don't get
20 involved in the business of the portfolio
21 companies and certainly don't coordinate or even
22 are not knowledgeable about the trading at the

1 portfolio company level. And so just imagine a
2 scenario where you'd have to aggregate all of that
3 information at the PE fund level and then allocate
4 to the PE fund portfolio companies whatever
5 headroom was available under a single limit or
6 other similar situations. So the model for PE
7 funds is uniquely ill suited to an aggregation
8 regime and in fact completely inconsistent with
9 the business model, which is, there is corporate
10 ownership but there is no functional control over
11 a whole variety of activities at the portfolio
12 company level including, specifically, trading
13 activities.

14 MR. MCGONAGLE: Bill.

15 MR. MCCOY: Yes, thanks. I thought I'd
16 first address something further about operational
17 difficulties and then if I may go to Vince your
18 question regarding substantially identical trade
19 strategies. So first just another scenario -- and
20 I agree with what we've heard about a number of
21 the difficulties of implementation, but another
22 scenario I wanted to discuss is the presupposition

1 I think we've been talking about right now is
2 where the corporate enterprise of many different
3 affiliates, of various investments in portfolio
4 companies is almost an ongoing concern state. But
5 I wanted to address the difficulties in terms of
6 acquisitions. So a new entity being acquired
7 there is a host, as you know, or a myriad of types
8 of issues one has to conduct in due diligence as
9 part of that. And you could imagine as part of
10 that a checklist that would include an
11 understanding of the various types of positions
12 that the target entity may have in terms of
13 reference contracts if that entity owns interests
14 in subsidiaries which owns interests in
15 subsidiaries and then other interests, it gets
16 that much more complicated. Add that to the fact
17 that many of these cases, just the mere fact of
18 the potential acquisition may be material non
19 public information, so there's a very small group
20 that is entitled to have the information prior to
21 the public announcement of the acquisition. Now
22 there may be time between that announcement and

1 actual closing, but that time may be very
2 compressed. So you can now foresee much of the
3 operational difficulties of those that need to
4 implement the calculations for aggregation doing
5 so in a very short period of time after being
6 permitted to be aware of that fact.

7 So one of the things that FIA had
8 proposed in its letter to the extent that the rule
9 does look at ownership at any level is to allow
10 for essentially a safe harbor grace period whereby
11 in doing -- and the FIA has asked for notice
12 filing as opposed to approval, that a firm would
13 be able to during that notice period, or a
14 reasonable period, be able to submit the notice
15 filing. And provided that they would be entitled
16 to not aggregate the positions; the fact that they
17 fail to provide the filing until that period of
18 time has gone by would not work against them. And
19 then further, should a firm fail to timely file a
20 notice period, then that would be a violation a
21 notice requirement. But it shouldn't equate to
22 being a daily violation of position limits going

1 back to the original date that the acquisition.
2 So you can see how these operational difficulties
3 of any type -- what one -- one important theme
4 here would be that this rule making not somehow
5 create implications on the capital markets and
6 merger and acquisition type activity because of
7 the difficulties of implementing and providing for
8 the flow.

9 MR. MCGONAGLE: You would propose that
10 we articulate in any aggregation that sort of the
11 failure to make the filing is separate from --
12 would be separate from some underlying other
13 violation?

14 MR. MCCOY: And just because one hasn't
15 filed if one otherwise would be entitled to
16 aggregation one should not then be deemed to be in
17 violation of the position limit itself. So it
18 would be whatever notice -- failure to file the
19 notice that would have been required after the
20 grace period to have gotten.

21 MR. MCGONAGLE: So I'll say I understand
22 the point.

1 MR. MCCOY: Great. If I go to
2 substantially identical trading strategies, the
3 FIA in addressing this has noted that there is
4 obviously here a lack of objective criteria, as
5 there often is in rule making, and that will
6 create its own challenges. One of the things
7 though that the FIA pointed out in its letter,
8 it's remembering first that the statute of course
9 ties the concept of concerted trading activity to
10 an express or implied agreement. And the FIA
11 asked for clarification because just to show how
12 one could, in FIA's view, misinterpret the scope
13 of it, they pointed to example seven in the
14 position limit proposal whereby this example seven
15 of Appendix C of bona fide hedging positions where
16 there was a -- I won't go into all the detail--
17 but a sovereign entering into a contract with a
18 farmer whereby payments are made. And one can
19 look at that bilateral contract and say looks very
20 much like a swap. Okay, so that's a reference
21 contract, fine. And then one reads through the
22 example, it's discussing this in the context of

1 what constitutes a bona fide hedging position and
2 the example goes on to indicate where the two
3 parties have entered into this bilateral contract
4 and then the sovereign is the party that hedges
5 its obligations using another reference contract,
6 that the two parties -- one would read this as
7 saying the two parties must solely as a result of
8 that bilateral agreement must aggregate their
9 positions. And the FIA has said in its letter and
10 we have stated that we asked for clarification
11 because this is not an agreement, just based on
12 the facts that were presented. It's not an
13 agreement by two parties to act in a concerted way
14 of each entering into a trading strategy. The
15 farmer, from his perspective, doesn't care if the
16 sovereign is hedging its obligations. He just
17 wants to know his price risk is being covered by
18 that agreement. There's not an agreement between
19 the parties to coordinate their individual
20 trading. So it's a really good example in the
21 rule as to where there are dangers if we don't
22 have a clear understanding as to the type of

1 criteria when we're talking about identical
2 trading strategies.

3 MR. MCGONAGLE: Mike.

4 MR. SWEENEY: Yeah, I just want to touch
5 base on a couple of operational considerations and
6 go directly back to Ken's question. From the
7 commercial energy perspective, a number of
8 companies have taken efforts already to separate
9 their trading operations. So you said what are
10 the challenges of aggregating is you're going to
11 have to undo that. Now there's certain companies
12 that will have to remain separate due to other
13 regulatory requirements and the rule addresses
14 that. But from my perspective and I think from
15 the working group's perspective, it's a much
16 cleaner approach if you focus on independent
17 control and having the right criteria established,
18 worked out between the Commission and the market
19 participants and the rule making process than to
20 pull things back together for a couple of reasons.
21 Chuck mentioned first a lot of the trading is
22 regional and localized. So you can have in one

1 context folks in Calgary, Houston, and in another
2 part of the U.S. trading and then they may be
3 trading the same derivative contract on-exchange,
4 but what they're hedging in their particular
5 physical portfolio are distinctly different and
6 for distinctly different purposes. And they're
7 not talking to each other; they're not aware on a
8 real time basis what's going on. And if you force
9 that aggregation then you create a scenario where
10 you actually start to have to police those flows
11 of information more than you would if they were
12 actually independent and you ensure that they're
13 -- you know, that the trading is independent.

14 Another scenario that comes up different
15 than the one I just mentioned in the energy
16 industry is that often times different parts of
17 the business compete. They have distinctly
18 different missions for what they do and the
19 purpose they serve in the market and they can look
20 at a position and have distinctly different views
21 of how they would use -- you know, an opportunity
22 to do physical business that then they will go and

1 hedge, they have distinctly different strategies
2 and views as to how they would go about it. And
3 that information flow, if there is information
4 flow, you're going to have to police that again so
5 that is not used improperly.

6 So I think just one point, you know,
7 what is the challenge? Well, you'd have to put
8 something back together or put something together
9 either you didn't have and the time and effort
10 that would go into policing those flows of
11 information. I think it's just a much cleaner
12 approach, assuming people could satisfy your
13 indicia, ultimately determined, is to keep things
14 independent at the trading level. And then
15 whatever is coordinated at the highest level could
16 come up in a shared circumstance and be very
17 contained. That's just a thought.

18 MR. DANGER: I'm just going to ask I
19 think is the easy question, which is, I mean,
20 aren't ICE and CME right now applying ownership
21 perspective on aggregating futures positions right
22 now? So they're doing this right now and somehow

1 it seems to be working for everyone, okay. And
2 so, you know, I'm thinking well what is the
3 challenge here? So they're doing that in respect
4 to energy contracts, Ag contracts, metals, and all
5 that. I think, and maybe I don't completely
6 understand so I'm looking for clarification on
7 exactly what's --

8 MR. LASALA: I think, Ken, we are but by
9 virtue of the current construct of our rules we're
10 limited to where we can disaggregate to, you know,
11 beyond 10 percent to eligible entities. So that
12 is a constriction that, you know, again I think
13 that Chuck would be a great example. You know,
14 that restriction and other commercial entities
15 that aren't eligible entities are completely
16 locked out and they might say, you know, for all
17 the good reasons that he made earlier -- you know,
18 I have got no look into this group, we are so far
19 apart, we share no systems, no people, no
20 anything, yet now you've got me in a position
21 where you're making me somehow try and coordinate
22 what I do with this entity when in the normal

1 course that's not at all what we'd do.

2 MR. MCGONAGLE: So just for structure
3 here, so, Kurt -- we'll go Kurt, Chuck -- I know
4 Matt's been patient but -- and also John. I want
5 to make sure that we get to him. So we'll go Kurt
6 and Chuck and then Matt and to John, yeah.

7 MR. WINDELER: Certainly. And I'll just
8 clarify that in fact like I mentioned before we
9 certainly do take ownership into consideration.
10 That's essentially largely one of the first
11 indicators to us that there needs to be an
12 aggregation is you are aware the clearing firms or
13 reporting firms are one of the front line
14 indicators to us in the large trader reporting
15 process to net and aggregate accounts by control
16 in this special account as it comes across to us
17 and identify it in a 102. And so ownership
18 certainly is one of those indicators as we take a
19 look beyond just what we're collecting in the
20 large traders. We're looking at 102s, Form 40s in
21 our discussions with the firms. But I think what
22 we're trying to say here is that having a separate

1 test based on a percentage of ownership that sets
2 out different obligations for seeking exemptive
3 relief is where I don't think that we're seeing
4 the value in this process. If we've already
5 established under (a)(1) that aggregation has to
6 occur with greater than 10 percent ownership or
7 control in that test we've already established
8 that ownership is going to have a factor in it.
9 It's just the additional tests when you get to a
10 greater percentage that I think was what we're in
11 disagreement with.

12 MR. MCGONAGLE: Chuck.

13 MR. CERRIA: Okay. Ken, before the
14 divestiture of the downstream, we were subject to
15 the new regime and it was very hard. Now you may
16 not know how hard and it's kind of one the reasons
17 I wanted to do this today because thank god we had
18 no violations, okay, but there was a lot of angst
19 going on behind the scenes at our shops trying to
20 get information and stay within the limits when
21 the spot month limit was going. It was very hard
22 forcing this issue with two very dissimilar

1 businesses who don't communicate, don't talk, and
2 take umbrage at knowing that the other guy's got
3 some position information. So I want that to be
4 clear, okay. And that's really one of the driving
5 forces that brought me here today to make sure
6 that you all are clear that when there is true
7 separateness and it truly is arms-length, you
8 know, you really should respect that business
9 judgment that was made by the entities.

10 MR. MCGONAGLE: Matt and then John.

11 MR. MR. NEVINS: Sure. So I'm going to
12 pick up on something that Ken raised a little bit
13 earlier. It struck a chord with me and that was
14 the analogizing to Form 40 which I think is a good
15 place to look. I think we at SIFMA AMG in our
16 comment letters and others have made analogies to
17 Form 40 as well. But I think it raises a very
18 important distinction for the asset management
19 industry and that's that there really is a
20 difference between having a 10 percent control
21 over -- or more over a trading account versus
22 having a 10 percent or more ownership interest in

1 an operating company. And then Ken followed up on
2 this point as it relates to private equity funds
3 but it's really even broader than that. It
4 applies to registered funds, it applies to private
5 funds that may not be private equity funds, and it
6 applies to client accounts that asset managers
7 manage that may not even be structured as a fund.
8 But the Form 40, you know, requires reporting of a
9 10 percent or more interest for a reporting trader
10 or the accounts of a reporting trader. I think
11 that's again an important distinction. So when
12 asset managers are filling out a Form 40 they're
13 filling it out on behalf of the trading accounts
14 that they own, they're not -- and, you know,
15 looking to investments that may be in one of their
16 funds, equity investments over a 10 percent
17 threshold and then getting the commodity positions
18 in the underlying operating company. Our concern
19 is that the way that the proposal was worded could
20 be construed to go beyond trading accounts and
21 then require aggregation of interests in an
22 operating company. And to the point that Chuck

1 just made, and I think it's a very good one, that
2 applies to operating companies as well as it
3 applies to, you know, the fund business that where
4 you don't have information sharing, naturally.
5 Why would we wind up in a situation where let's
6 say a fund manager then needs to try to figure out
7 a way to go out and reach out to the operating
8 businesses that their funds are investing in over
9 a certain equity percentage to get those commodity
10 positions. You're sort of incentivizing sharing
11 of information, incentivizing, you know,
12 potentially even working together where that
13 otherwise wouldn't exist. So I would support
14 Chuck's statement that separation should be
15 maintained.

16 I think this follows over into some
17 comments I have on substantially identical trading
18 strategies. Vince, I know you wanted to go there;
19 I don't want to monopolize the floor. I'll hold
20 my comment for now if you'd like and can come back
21 to that.

22 MR. MCGONAGLE: Go ahead.

1 MR. NEVINS: Okay, sure. So I think
2 substantially identical trading strategies also
3 raises unique issues for the asset management
4 industry and I'm going to give you an example in
5 the fund-of-funds context which has been a great
6 concern for us. So I think we understand the
7 purpose and the rationale behind why the
8 Commission has proposed aggregating substantially
9 identical trading strategies but it does not
10 translate well to the fund industry. So you could
11 have let's say a fund manager that manages a
12 fund-of- funds which is a very common strategy in
13 the registered fund space, it's a common strategy
14 in the private funds space. And indeed for
15 institutional clients they may have accounts that
16 a manager manages and then invests in separate
17 funds within that account. So if you're fund
18 manager A and you have part of your portfolio --
19 let's say you manage an asset allocation
20 fund-of-funds and part of that portfolio is going
21 to be invested in physical commodity based funds,
22 right. If two of those funds are deemed to be

1 substantially identical trading strategies, right,
2 then that fund manager may then need to aggregate
3 all of the positions in each of those underlying
4 funds if they invest their fund-of-funds into both
5 of those substantially identical trading
6 strategies funds. I'll try to crystallize that
7 example a little bit better. Let's suppose you
8 have a \$1 billion, you know, mutual fund that
9 allocates \$1 million to commodity fund investing
10 and then it takes \$100,000 and invests it in, you
11 know, commodity fund A and \$100,000 and invests it
12 in commodity fund B, and they happen to fall into
13 the definition of substantially identical trading
14 strategies. Then you'd have a \$200,000 investment
15 in a \$1 billion fund that you as a fund manager
16 potentially have to aggregate all of those
17 positions in those underlying funds, fund A and
18 fund B, up to your fund-of-funds manager and have
19 that reported in one single aggregation position.
20 That doesn't make any sense from an asset
21 manager's perspective. It's something we're
22 highly concerned about and we think that -- you

1 know, it gets back to the ownership aggregation
2 requirements as well as the substantial identical
3 trading strategy aggregation requirements; that
4 you need to think about the passive investor's
5 perspective, whether it's a private equity fund
6 and the issues that Ken raised earlier, or whether
7 it's a registered fund, a private fund, or other
8 client that an asset manager is investing on
9 behalf of. It's a completely different set of
10 circumstances. There is not acting in concert and
11 they shouldn't require aggregation.

12 MR. MCGONAGLE: John.

13 MR. PARSONS: Yeah, so I just wanted to
14 make one comment about some discussion that alarms
15 me. I'm not sure if I really understand exactly
16 what's going on. I think most of the indicia you
17 folks have outlined are very relevant criteria.
18 What alarmed me is discussions here -- I mean I
19 understand when you have a parent corporation who
20 owns two separate corporations, a railroad and an
21 energy company and they don't really operate them
22 together and so on as is discussed in some of the

1 comment letters. I hear here conversation about
2 trading desks and day-to-day trading strategies
3 and the like, as if those can be fundamentally
4 independent. And I find that very alarming. It
5 seems to me if you have one energy company it may
6 have a desk in Houston, it may have a desk in
7 Stanford, Connecticut, but many of the indicia you
8 described would be relevant. But to imagine that
9 because day-to-day they operate independently
10 somehow those positions should not be aggregated
11 would be very alarming to me. It would seem to me
12 to violate both what I understand are many ways in
13 a company that strategies are tied together as
14 well as the whole purpose of the limits here.
15 Just to illustrate as an example, but it's only
16 one, credit considerations certainly are going to
17 come to bear for both of those desks no matter how
18 they are operated day- to-day independently,
19 they're going to come to bear when there are
20 credit problems for the corporation as a whole and
21 they're going to force common actions at those two
22 separate desks and that will impact how the

1 speculative positions then impact the market. And
2 that's very relevant for the purposes for which
3 this rule is here. And I just said I'm alarmed
4 because from my experience with businesses those
5 things are not really independent in a -- the way
6 it's managed as a whole over a longer time frame
7 and with the corporate structure as it is, makes
8 it very relevant, the two separate desks being one
9 position for the purposes of this rule.

10 MR. MCGONAGLE: We were talking about
11 the -- from the traders going up and what
12 responsibility do the owners have looking down.
13 Chuck?

14 MR. CERRIA: Collecting my thoughts
15 because I want to make sure I articulate correctly
16 what I'm thinking and what the reality is so I can
17 calm down John's alarming tendencies. So let me
18 start with you mentioned credit, John, okay. And
19 credit is one of those overarching corporate
20 policy procedures and I guess policy that I was
21 talking about before when I said corporate
22 overarching policies and procedures are separate

1 from the day-to-day trading that goes on, okay.
2 And, yeah, we had credit policies in place that
3 applies throughout the company and it actually
4 applied to both the trading company and Hess
5 Corporation. And so those policies are
6 implemented though on a company-by-company basis
7 and that is not really relevant to the positions
8 that we take when we're hedging our -- or for
9 whatever purpose we're doing on the futures
10 exchanges in derivatives, okay. The trading is a
11 day-to-day -- again corporate is up here, trading
12 is right down here and, you know, it's not that a
13 trader for the same company is ignorant of what's
14 going on around, he's just not in the moment right
15 now what's going on from an intraday standpoint
16 across the world or even across the ocean. He's
17 into his regional localized market and he's doing
18 what he has to do. And so we need to understand
19 the differences between overarching corporate
20 procedures and in the moment trading right now to
21 hedge a particular transaction that we need to
22 cover for.

1 MR. MCGONAGLE: We'll go to Mike and
2 then if they are just some final comments for the
3 panel and then we'll close it out.

4 MR. SWEENEY: Okay. And I'll be quick.
5 I think that, you know, John make a fair point, in
6 the context of what we've been discussing when you
7 -- if we're going to focus on control, standard
8 trading level control versus ownership we're
9 talking about an enhanced look that what is
10 independent trading, lack of control. Some of the
11 things that just the working group had put out and
12 just worth reiterating for the record, if you're
13 going to look at things, look at like, for
14 tangible things, lack of common guarantors, is
15 there a provision of independent credit. I think
16 when you people have separately identifiable
17 assets, I mean a business that is trading around
18 -- for example Canadian crude production versus
19 U.S. crude production, could have definitely --
20 you can look at it that way, because they're
21 different assets, they're different risk profiles,
22 they have different businesses. If you maintain

1 separate lines of business, there's different
2 products. You know, you may use the same
3 derivatives but, you know, for certain things you
4 may use natural gas for part, you know, hedging
5 your power business, assuming cross commodity
6 doesn't get you -- that was an attempt at humor --
7 but at the same time you're using natural gas for
8 natural gas trading or other purposes. So you're
9 keeping some of those additional criteria is
10 really what we're talking about now I think or
11 where the conversation has evolved is if you're
12 going to allow disaggregation above 50 percent
13 there's certainly going to be an enhanced set of
14 criteria for the Commission-- I assume that would
15 be applied if the Commission was going to consider
16 it to allow this disaggregation. So as you think
17 about that factor that those things, you know,
18 sort of tangible things.

19 MR. MCGONAGLE: Thank you. Matt.

20 MR. NEVINS: Yeah, I just want to make a
21 couple of additional comments that are related to
22 the independent account controller exemption and

1 some of the things we've talked about already
2 today. So the first thing I'd say is that I think
3 and we acknowledged this in our SIFMA AMG comment
4 letter back in February that the Commission has
5 moved in a positive direction in some elements of
6 the rule proposal on aggregation, in particular
7 including an independent account controller
8 exemption back into the rule proposal we think is
9 a positive way of proceeding. I also believe that
10 including ways to disaggregate, if an ownership
11 standard is going to be used, above those
12 ownership thresholds is also appropriate. But as
13 you've heard today from me and others there can be
14 some improvements for sure, around -- if ownership
15 remains a part of this thing -- around how you
16 perfect those exemptions. As far as the
17 independent account controller goes, again I
18 commend the Commission for including that concept
19 back into the new proposal, but we were a bit
20 perplexed about why it was conditioned upon
21 registration status as a CTA or CPO or a general
22 partner in an exempt or excluded CPO as part of

1 the test for the independent account controller
2 exemption. So as you continue to consider how to
3 move forward we think that is not an appropriate
4 factor to be taken into account to perfect the
5 independent account controller exemption. We
6 think the other factors clearly make sense.

7 Getting back to the discussion from
8 earlier, again I would stress that it is the
9 ability to control trading that is key and
10 fundamental in general in determining whether
11 positions should be aggregated which again is why
12 the independent account controller exemption makes
13 sense and we think that that concept should be
14 woven throughout the aggregation proposal in
15 general, and also be followed in however you
16 perfect your exemption requirements.

17 Lastly, as it relates to substantially
18 identical trading strategies it struck us that you
19 would be required to aggregate those substantially
20 identical trading strategies even if you would
21 otherwise be able to avail yourself of the
22 independent account controller exemption. That's

1 something that we also believe at SIFMA AMG does
2 not make sense. So in other words if you have
3 completely separate independent account
4 controllers you have different advisors that are
5 totally separate and making completely separate
6 decisions and they have absolutely no commonality,
7 no indicia of working together, why should their
8 substantially identical trading strategies,
9 however that's ultimately clarified and defined,
10 why should those strategies be aggregated? That
11 we have separate control, separate trading, those
12 should remain separately allocated for and not
13 aggregated.

14 MR. MCGONAGLE: So separate?

15 MR. NEVINS: You got it.

16 MR. MCGONAGLE: Do we have any final
17 closing comments, remarks? Chuck?

18 MR. CERRIA: Thanks, Vince. I want to
19 just mention one thing that I haven't mentioned, I
20 don't think anybody's mentioned actually. And so,
21 you know, there was a thought that when you come
22 up with a rule and you promulgate it that you give

1 the industry some time to comply before, so it
2 will be effective, but there's a compliance period
3 of maybe six months or something like that. I was
4 going to ask for 15 years, but I think I'll go
5 with 6 months, okay. Only kidding. But seriously
6 that's the only other point I want to -- I think I
7 -- I don't want to keep repeating everything.

8 MR. MCGONAGLE: All right.

9 MR. NEVINS: Thank you.

10 MR. MCGONAGLE: Thank you everybody.

11 Thanks everyone for their participation. Staff
12 will consider the comments going forward. This
13 concludes the staff roundtable on position limits
14 and aggregation.

15 (Whereupon, at 3:27 p.m., the
16 PROCEEDINGS were adjourned.)

17 * * * * *

18

19

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

CERTIFICATE OF NOTARY PUBLIC

DISTRICT OF COLUMBIA

I, Stephen K. Garland, notary public in
and for the District of Columbia, do hereby certify
that the forgoing PROCEEDING was duly recorded and
thereafter reduced to print under my direction;
that the witnesses were sworn to tell the truth
under penalty of perjury; that said transcript is a
true record of the testimony given by witnesses;
that I am neither counsel for, related to, nor
employed by any of the parties to the action in
which this proceeding was called; and, furthermore,
that I am not a relative or employee of any
attorney or counsel employed by the parties hereto,
nor financially or otherwise interested in the
outcome of this action.

(Signature and Seal on File)

Notary Public, in and for the District of Columbia

My Commission Expires: May 31, 2018

